

UNITED STATES COURT OF APPEALS
FOR THE DISTRICT OF COLUMBIA CIRCUIT

- - - - - X
:
AMERICAN LUNG ASSOCIATION AND :
AMERICAN PUBLIC HEALTH :
ASSOCIATION, :
:
Petitioners, :
:
v. : Nos. 19-1140, et al.
:
ENVIRONMENTAL PROTECTION :
AGENCY AND ANDREW WHEELER, :
ADMINISTRATOR, :
:
Respondents. :
:
- - - - - X

Thursday, October 8, 2020

Washington, D.C.

The above-entitled matter came on for oral
argument pursuant to notice.

BEFORE:

CIRCUIT JUDGES MILLETT, PILLARD, AND WALKER

APPEARANCES:

ON BEHALF OF THE STATE AND MUNICIPAL PETITIONERS:

STEVEN C. WU, ESQ.

ON BEHALF OF THE POWER COMPANY PETITIONERS:

KEVIN POLONCARZ, ESQ.

ON BEHALF OF THE COAL INDUSTRY PETITIONERS:

MARK W. DELAQUIL, ESQ.

Deposition Services, Inc.

12321 Middlebrook Road, Suite 210

Germantown, MD 20874

Tel: (301) 881-3344 Fax: (301) 881-3338

info@DepositionServices.com www.DepositionServices.com

ON BEHALF OF THE ROBINSON PETITIONERS:

THEODORE HADZI-ANTICH, ESQ.

ON BEHALF OF THE HEALTH AND ENVIRONMENTAL
PETITIONERS:

SEAN H. DONAHUE, ESQ.

ON BEHALF OF THE STATE AND MUNICIPAL PETITIONERS:

MICHAEL J. MYERS, ESQ.

ON BEHALF OF THE BIOGENICS PETITIONERS:

DAVID M. WILLIAMSON, ESQ.

ON BEHALF OF THE RESPONDENTS:

JONATHAN D. BRIGHTBILL (DOJ), ESQ.

MEGHAN E. GREENFIELD (DOJ), ESQ.

BENJAMIN CARLISLE, ESQ.

ON BEHALF OF INTERVENORS:

LINDSAY SEE, ESQ.

ON BEHALF OF THE RESPONDENT-INTERVENORS:

JAMES P. DUFFY, ESQ.

C O N T E N T S

<u>ORAL ARGUMENT OF:</u>	<u>PAGE</u>
ISSUE I:	
STEVEN C. WU, Esq. On Behalf of the State and Municipal Petitioners	5; 112
KEVIN POLONCARZ, Esq. On Behalf of the Power Company Petitioners	28
JONATHAN D. BRIGHTBILL, Esq. On Behalf of the Respondents	53
LINDSAY SEE, Esq. On Behalf of the Intervenors	83
ISSUE II:	
MARK W. DELAQUIL, Esq. On Behalf of the Coal Industry Petitioners	124; 195
THEODORE HADZI-ANTICH, Esq. On Behalf of the Robinson Petitioners	151; 197
MEGHAN E. GREENFIELD, Esq. On Behalf of the Respondents	166
JAMES P. DUFFY, Esq. On Behalf of the Respondent-Intervenors	189
ISSUE III:	
SEAN H. DONAHUE, Esq. On Behalf of the Health and Environmental Petitioners	199; 331
MICHAEL J. MYERS, Esq. On Behalf of the State and Municipal Petitioners	245
JONATHAN D. BRIGHTBILL, Esq. On Behalf of the Respondents	258, 330
LINDSAY SEE, Esq. On Behalf of the Intervenors	314

ISSUE IV:

DAVID M. WILLIAMSON, Esq. On Behalf of the Biogenics Petitioners	335, 380
BENJAMIN CARLISLE, Esq. On Behalf of the Respondents	356
JAMES P. DUFFY, Esq. On Behalf of the Respondent-Intervenors	376

P R O C E E D I N G S

THE CLERK: Case Number 19-1140, et al. American Lung Association and American Public Health Association, petitioners, versus Environmental Protection Agency and Andrew Wheeler, Administrator.

JUDGE MILLETT: Before counsel starts, I'm just going to give you all a heads up that the Court will be taking at least one break if not more, depending on how arguments go during this proceeding. And we'll do it, when we do do it, we will do it between sort of the four divided issues that we have in this case. Okay? All right, Mr. Wu, you may start.

ORAL ARGUMENT OF STEVEN C. WU, ESQ.

ON BEHALF OF THE STATE AND MUNICIPAL PETITIONERS

MR. WU: Thank you. May it please the Court, Steven Wu representing the state and municipal petitioners. For this portion on the repeal, I will be sharing time with Kevin Poloncarz, who represents the power company petitioners, and I'd like to reserve four minutes for rebuttal.

EPA repealed the Clean Power Plan on the ground that Section 111 unambiguously prohibits the agency from considering available emission reductions that can be achieved by power plants on the electric grid shifting generation from dirtier to cleaner sources. But nothing in

1 the statute imposes such an unambiguous prohibition. To the
2 contrary, the statute's use of the phrase best system is
3 broad language that gives EPA flexibility to consider a wide
4 range of methods that would effectively reduce emissions
5 from regulated sources.

6 And while the statute does impose constraints on
7 EPA, such as requiring that any system it chooses be
8 adequately demonstrated, it does not, then, impose the
9 constraint that EPA claims is unambiguous here. The breadth
10 of that language contrasts with other provisions of the
11 Clean Air Act that use consciously narrower language to talk
12 about the methods that either EPA or sources can consider
13 emission limitations.

14 Section 111 itself elsewhere refers to
15 technological system, and other statutes talk about control
16 technologies, retrofit technologies, and so on. But Section
17 111(a)(1) does not use that limiting language. And what
18 this shows is that when Congress did want EPA to set
19 emission limits based on a more limited subset of methods,
20 it said so expressly. And its decision not to do so in
21 (a)(1) is a meaningful one that should be given credence
22 here. EPA's ability --

23 JUDGE MILLETT: Mr. Wu, can I just ask one
24 technical question? Because it didn't seem consistent to me
25 throughout the briefing. When you used the phrase

1 generation shifting, are you talking about simply using, as
2 the grid might, shifting from a coal fire power plant as a
3 source to a gas fire power plant or a wind or solar-powered
4 one, or are you using that as more of an umbrella term that
5 would include things like credit trading?

6 MR. WU: It's a little bit of both. Generation
7 shifting --

8 JUDGE MILLETT: Well, that's the problem in the
9 briefing. Sometimes they're carved out as two, distinct
10 things, and sometimes it's used as an umbrella. So I just
11 wanted to make sure --

12 MR. WU: Sure.

13 JUDGE MILLETT: How you were using it.

14 MR. WU: Sure. And let me try to be specific
15 about it. Generation shifting I think is most accurately
16 understood as referring to the physical ability, the natural
17 ability of plants to shift generation among themselves.
18 Things like trading and credit schemes are ways of taking
19 advantage of generation shifting. Basically, they leverage
20 the ability of sources to engage in such shifts and use
21 something like a trading market to allocate that generation
22 shifting among all the sources on the grid. But they're not
23 synonymous in the sense that generation shifting can happen
24 without a trading market, and there are methods that
25 leverage generation shifting, such as investment in cleaner

1 energy, that don't require some sort of market to be set up,
2 either by a state or by the, or by the federal government.

3 I think all that's necessary for regulation that
4 takes advantage of generation shifting is for there to be
5 some decision about how the, what power plants do on the
6 grid can be credited to their emission limitations, whether
7 they're imposed by the state or the federal government.

8 JUDGE MILLETT: Okay.

9 MR. WU: And in terms of the statutory argument
10 here, I mean, the position that EPA previously took, which
11 is that it had the discretion to consider how power plants
12 operate on the grid and shift generation between them is
13 particularly appropriate given the regulatory stage at which
14 EPA is making this (indiscernible) determination. It's the
15 role of EPA in setting the best system and the states in
16 establishing --

17 JUDGE MILLETT: Wait. You've been, for me, at
18 least, you've been freezing up occasionally. So, could
19 you -- this is very hard to do, I know. But if you could
20 back up about 30 seconds in your remarks because I missed
21 something in there.

22 MR. WU: Yes. I'm sorry. I have some remote
23 schooling go on in the background I have not been able to
24 stop.

25 JUDGE MILLETT: Completely understandable.

1 MR. WU: All right. Well, let me rewind. But
2 it's, the position that --

3 JUDGE PILLARD: It's your --

4 MR. WU: -- EPA previous --

5 JUDGE PILLARD: Go ahead. You were saying that it
6 was useful, but all that matters is there be some way to
7 credit to plants the limitations that they achieve, which is
8 (indiscernible) clarifying because one of the questions I
9 have is why does it, you know, there's a lot of emphasis in
10 some of the briefing, and an entire amicus brief of the grid
11 experts devoted to it. Why does it matter that the grid is
12 integrated when at the end of the may it please the Court
13 the regulated actors are the ones who are making decisions
14 and are the target necessarily of both federal and state
15 regulatory steps?

16 So I guess the question is apparently, why does it
17 matter? I understand functionally that it's, like, makes
18 sort of automatic shifting, you know, as a physical matter.
19 But in terms of, as you said, at the end of the may it
20 please the Court, one has to take a measure of who's doing
21 what and who's complying.

22 MR. WU: Well, that's right. And I think because
23 the Clean Power Plan, another 111 regulations, applied two
24 sources at the end of the may it please the Court by
25 spending emission limits on them, that that does fit

1 squarely within EPA's authority to regulate such sources.

2 And where the fact of the electric grid features
3 into that scheme is in this way. What EPA is basically
4 doing is in setting the stringency of those emission limits,
5 looking out in the world and figuring out what is
6 practically and readily available to sources to achieve
7 those limitations. And I think the answer to that question,
8 which is what is available for sources, is what the power
9 shifting or the generation-shifting ability of the grid
10 answers.

11 What it says is that sources are able to do things
12 that reduce carbon dioxide emissions, not just by doing
13 things on their own plant, by changing their own equipment,
14 but also by engaging in things that the industry has long
15 engaged in, such as trading and other measures that result
16 in reductions of emissions from elsewhere on the grid. And
17 the reason why it makes sense --

18 JUDGE PILLARD: (Indiscernible) Mr. Wu, that EPA,
19 given what you've just said, that EPA would have the
20 authority to impose a carbon tax on sources on the grid?

21 MR. WU: Not directly. What EPA has authority to
22 do is to issue guidelines, or for 111(b) sources to do it
23 itself, that result in standards of performance for sources,
24 which means emission limitations. And what we are debating
25 here is what EPA (indiscernible) when it sets under the

1 guidelines or the emission limitations itself. In other
2 words, what it can consider in deciding what is feasible for
3 sources on the grid to attain. Saying earlier, that range
4 of options is what really is at the crux of this debate
5 here.

6 What EPA did in the Clean Power Plan was to
7 understand, correctly, as a fact of the world that power
8 plants not only can reduce emissions in the grid through
9 their own actions within sort of the four corners of their
10 plant, but also by doing things like purchasing allowances
11 when markets allow it, that reflect a reduction of emissions
12 from elsewhere on the grid, and that accomplished the same
13 objectives as a reduction from the source itself. And I
14 think that point that I just made about the substitution of
15 that emission reduction is another fact that follows from
16 the unique nature of the pollutant that's at issue here.

17 JUDGE MILLETT: Can I just ask on that
18 substitution or purchasing an allowance on the grid, grids
19 cover lots of states. Are these allowances purchased from
20 someone on the grid that's in-state, or are they out-of-
21 state? Because if they're out-of-state, then they're not
22 going to help the state accomplish reductions in emissions.

23 MR. WU: In the Clean Power Plan, the states have
24 the flexibility to set up trading markets, I think, for
25 themselves. And I forget now, unfortunately, if they had

1 the ability to partner (indiscernible) across state lines on
2 a voluntary basis. But they certainly weren't compelled to
3 do so. And again, the point of the trading schemes is to
4 allow sources to achieve emission limitations by purchasing
5 these allowances that represent these emission reductions.
6 It makes sense for carbon dioxide --

7 JUDGE PILLARD: Are you agreeing with the premise
8 to Judge Millett's question that it wouldn't allow a state
9 to attain its, or to meet its requirements if it sources
10 purchase credits from out-of-state? I'm not sure, I'm just
11 not sure whether that follows, and I'd be interested in
12 whether you agree --

13 MR. WU: So, where I am unfortunately drawing a
14 blank is whether the Clean Power Plan (indiscernible)
15 interstate trading. What I would say is that --

16 JUDGE MILLETT: Just as a statutory matter, would
17 it make textual sense if a state could, every utility in a
18 state kept emitting full throttle but was buying credits
19 from a different state, and so that state's emissions never
20 changed, but some other state that had a lot of credits
21 because they have a lot of solar or wind power or something,
22 it probably stayed where it was too.

23 MR. WU: So it would make statutory sense, and
24 more importantly, it would make sense given the nature of
25 the pollutant that's at issue here. Because what is

1 distinct about carbon dioxide in particular is that it's
2 (indiscernible) global level really. And it's really
3 indifferent to the particular source of the pollutant. And
4 so if a source is responsible for a reduction of emissions
5 elsewhere in the grid, including in another state, then that
6 action has the same salutary benefit as if the source
7 reduced its own emissions. And that distinct feature is
8 what makes it particularly reasonable for EPA in the Clean
9 Power Plan to have considered generation shifting and the
10 ability of sources to engage in things like trading as part
11 of the compliance mechanism for establishing these emission
12 limitations here.

13 And I was saying earlier that it also made sense
14 where EPA sat on the regulatory structure because, again,
15 EPA's reasoning here in the repeal rule is that because
16 states set performance standards for individual sources,
17 EPA's discretion is similarly limited (indiscernible) what a
18 single source can do. But what nobody disputes is that EPA,
19 in determining the best system, is not deciding what happens
20 at individual sources. It is instead establishing
21 guidelines across the sector that states can then implement
22 by establishing source-specific standards. And so it's
23 particularly appropriate for EPA at that threshold stage to
24 be considering not what is feasible for individual sources,
25 but what happens when sources interact on this

1 interconnected grid, and precisely what EPA did in the Clean
2 Power Plan.

3 JUDGE PILLARD: EPA does now seem to contest that
4 in disallowing certain options by the states, but we'll get
5 to that. We'll get to that later. Just as a matter of
6 statutory interpretation, both rules are promulgated under
7 the authority of 111(d)(1). And given that, what does it
8 matter whether (a)(1) is ambiguous if it's clear that (d)(1)
9 focuses on individual sources?

10 MR. WU: I think the answer to that is that (a)(1)
11 is the provision that establishes EPA's authority and
12 obligation to make the best system determination, (d)(1)
13 then explains how states, in implementing source-specific
14 standards have to issue standards that reflect that best
15 system determination. But again, (a)(1) explicitly refers
16 to the administrator, says that the administrator, you know,
17 shall determine what has been adequately demonstrated for a
18 best system. And then (d)(1) then sort of reflects that
19 standard upon the states as well.

20 JUDGE PILLARD: It's very odd. I mean, everyone
21 seems to agree this is the definitions provision, that that
22 would be the place that Congress delegates authority to the
23 EPA. But that is, in fact, your position, that that's where
24 EPA's role is described, insofar as it's described at all.

25 MR. WU: That's correct, at least on this part.

1 (d)(1) describes a separate role for EPA, which is to
2 promulgate regulations for states to follow in issuing the
3 state plans. But again, there's nothing that forecloses
4 Congress from including in what you might call a
5 definitional provision authority for EPA or other agencies
6 to act. Any number of statutes, including the Clean Air Act
7 and the Clean Water Act, have substantive definitional
8 provisions that do a lot of the work in defining the scope
9 of the regulatory program and regulated sources compliance
10 obligations.

11 And we're not relying on an inference from the
12 text. The text itself says the best system of emission
13 reduction is something that EPA has to demonstrate has been
14 adequately demonstrated and puts in constraints on what EPA
15 has to consider in reaching that best system determination,
16 including considerations of cost and energy requirements
17 across the grid.

18 JUDGE PILLARD: Your brief talks about the
19 language and responding to EPA's arguments and petitioners
20 in support of EPA that, saying that it doesn't have to have
21 an indirect object. But at some level, I mean, what the
22 best system is has to contemplate action by regulated
23 parties, right?

24 So it just, it struck me that there are
25 alternative arguments. First, you know, no indirect object.

1 And then, you know, if there's an indirect object, it's the
2 operators or the system or maybe the pollution. And I guess
3 I'm just not following how it could, how a regulatory metric
4 that EPA is coming up with, even though I understand the two
5 steps, but the states are the ones that are kind of chapter
6 and verse figure out what the sources are supposed to do.

7 But when EPA comes up with a regulatory metric,
8 doesn't it necessarily have to think about, you know, if the
9 regulated parties did such-and-such vis-a-vis emissions,
10 like, that has to have been (indiscernible), no?

11 MR. WU: No. We absolutely agree with that. And
12 I think the dispute between the parties is not about whether
13 regulated sources have to be considered in the best system
14 determination. It is instead about really like how many
15 sources have to be considered. And I want to make this
16 really concrete. Our argument in response to this indirect
17 object point is not that application, you know, you can't
18 infer an indirect object from there. What we are disputing
19 is EPA's argument that in order to understand (a)(1), you
20 can't look at that provision by itself. You have to look at
21 (d)(1), and that (d)(1)'s use of the singular to describe
22 any existing source or any particular source therefore
23 restrains EPA's authority under (a)(1). That's the textual
24 move that we are rejecting here. And the reason that that
25 textual move doesn't work is because (a)(1) can be

1 understood by itself, including if you infer from context an
2 indirect object for the word application.

3 As we point out in our brief, (a)(1) can be
4 understood to be referring to the application to regulated
5 sources or to the emissions that they generate in the
6 plural, which would easily encompass EPA's discretion to
7 consider the way that multiple sources contribute to this
8 global problems of carbon dioxide pollution. By contrast,
9 EPA's decision to sort of splice in the (d)(1) definition
10 and its use of the singular to restrain (a)(1) is neither
11 compelled by the text, nor does it make sense.

12 One of the reasons it doesn't make sense is that
13 (d)(1) is only one of the provisions in 111 where the phrase
14 standard of performance is used. And in provisions like
15 111(b), they don't use the singular to describe standards of
16 performance. That statute, that provision refers to
17 standards of performance for sources in the plural. So it
18 doesn't make sense to think that a single provision's use of
19 that phrase in the singular sense restrains EPA's authority
20 under (a)(1) in general.

21 It also doesn't make sense, because again, where
22 EPA --

23 JUDGE MILLETT: You would agree that in
24 formulating the best system, Congress surely wanted the
25 Administrator to have in mind sort of where the boots on the

1 ground are going to be. I mean, it's not a best system if
2 the people are going to have to put this into action. You
3 can't do, I mean --

4 MR. WU: That's correct. That is correct. And
5 that is accomplished by (a)(1)'s requirement that EPA
6 consider what is achievable for these emission reductions,
7 taking into --

8 JUDGE MILLETT: Accountable by whom? By the state
9 or by the facilities, by the individual facilities, the
10 collective facilities? I mean, you could end up having the
11 same object issue going on there. Achievable by whom?

12 MR. WU: I think by regulated sources, again in
13 the plural. And the reason that's both sort of permissible
14 under the statutory text, and again, sensible for something
15 like carbon dioxide where what the regulated agency should
16 care about is sort of the overall emissions of that
17 pollutant, not its particular source here.

18 And I do want to back up a little bit and make
19 this --

20 JUDGE PILLARD: Let me just ask you there, though.
21 I mean, I understand that as a policy matter that the fact
22 that this is carbon dioxide needs a lot of constraints that
23 might have made sense where other more localized pollution
24 don't make sense here. But we're construing a statute that
25 applies trans-substantively to all different kinds of

1 pollutants. So to use that as a basis to confer anything
2 about the statutory language seems to me a nonstarter.

3 MR. WU: Well --

4 JUDGE PILLARD: Am I misunderstanding how you're
5 using that?

6 MR. WU: Right. I disagree with that, Judge
7 Pillard, for this reason, which is that 111(a)(1) was
8 written broadly precisely to allow EPA to consider a broad
9 nature of pollutants and to consider industry and pollutant-
10 specific factors for regulation. And the Jorling amicus for
11 one of the drafters of 111(a)(1) sort of explains this in
12 detail. I mean, part of the reason for that is that one of
13 them was meant to be sort of a catch-all provision in
14 contrast to the more specific programs that are set up
15 elsewhere in the Act.

16 The other is that it makes sense for Congress to
17 delegate to an expert agency these sort of factual
18 determinations about what is necessary for a certain
19 industry, what is necessary for a certain pollutant to
20 protect the public, which is the ultimate objective of the
21 statute. I mean, that's precisely what EPA did in the Clean
22 Power Plan.

23 I mean, I do want to emphasize, the concept of
24 generation shifting here is not one that EPA came up by
25 itself or imposed on the industry unwillingly across the

1 board. As my colleague will explain in a few minutes,
2 generation shifting and schemes that leverage it, such as
3 trading, were already a part of the industry. They were
4 used for the industry's own economic purposes, and they were
5 also a key feature of other regulatory programs involving
6 power plants, such as the CSAPR, the cross-state air
7 pollution rule, the acid rain program, and state programs
8 like the Regional Greenhouse Gas Initiative.

9 And so the idea, and what those programs show,
10 even though they're under different statutes for the most
11 part, what they show is that when sources and regulators
12 confronted the question of how you reduce emissions from
13 power plants, one answer, again, as a practical boots on the
14 ground matter, is to allow schemes that leverage generation-
15 shifting. And they reasoned that there has been a
16 convergence on this idea is because the industry has used
17 it, and experience has shown that it is a way of
18 accomplishing emissions reductions in a meaningful way at
19 less cost to both sources and to the economy as a whole.

20 And it is EPA's rejection of that judgment, not
21 just as a matter of expertise, but as an unambiguous
22 interpretation statute that represents the (indiscernible)
23 in this case.

24 JUDGE MILLETT: Can I ask, the definition of
25 standard performance is 7602(1), requires continuous system

1 of emission reduction. Do you agree that that is part of
2 what the obligation is here in setting a standard of
3 performance, that 7602(1) continuous system? What's your
4 reaction to those two definitions of standard of performance
5 for purposes of existing sources?

6 MR. WU: Well, I think that that is meant to
7 foreclose sort of what Congress at the time considered to be
8 just really intermittent sources of regulation. And I think
9 we do take the position that the types of schemes that we're
10 considering here, that EPA considered in the Clean Power
11 Plan, would satisfy that definition regardless.

12 JUDGE MILLETT: How does generation shifting do
13 that, since it makes, it presupposes that one generator
14 stops performing? It should --

15 MR. WU: It --

16 JUDGE MILLETT: -- fits right within the
17 intermittent concern.

18 MR. WU: It doesn't presume that they stop
19 generating. The way that these credits are generated on
20 like an allowance market, for instance --

21 JUDGE MILLETT: So this is where I was getting
22 confused between, I'm talking about generation shifting like
23 just on the grid, back and forth between different things
24 without the credits, all right?

25 MR. WU: Sure.

1 JUDGE MILLETT: That wouldn't comply with it, so
2 you'd have to have a cap and trade program to go with it?

3 MR. WU: No. Let me answer it in this way.

4 JUDGE MILLETT: Sorry --

5 MR. WU: Because that when a source, when a source
6 reduces, when a cleaner source, for instance, sorts of ramps
7 up its generation on a continuous level in a way that will
8 either generate credits on allowance market or through some
9 other scheme, the natural effect of that increased
10 generation is to reduce the output of dirtier sources on the
11 grid. That's just a matter of physical reality of how the
12 grid operates. And so it's not a situation where like a
13 source will just shut down for six hours or a day for
14 example, and that that happens to have effects on the rest
15 of the grid because that, that is, again, not the way that
16 these sources operate and practice. And the physical
17 feature that these regulations take advantage of is this
18 automatic ability to adjust by dirtier sources when cleaner
19 sources increase their production.

20 And I think that is sort of the key feature of the
21 Clean Power Plan that EPA is erroneously rejecting here. I
22 mean, what generation shifting allows is for sources to take
23 advantage of the more efficient generation of energy by
24 other sources on the grid. And the reason that sources have
25 preferred generation shifting and similar schemes in the

1 past is because it's often cheaper to take advantage of
2 another source's more efficient generation than to be
3 compelled to install technology at your own plant.

4 And because that method has been available, it was
5 entirely appropriate for EPA, and it was authorized by the
6 statute to consider the availability of that method of
7 emission reduction in setting emission limits for power
8 plants.

9 JUDGE MILLETT: If my colleagues don't have any
10 further questions, we will go on to hear from Mr. Poloncarz.
11 Did I say that correctly?

12 MR. POLONCARZ: Yes, Your Honor.

13 JUDGE WALKER: Judge Millett, can I ask Mr. Wu --

14 JUDGE MILLETT: Yes.

15 JUDGE WALKER: -- a quick question or two? Mr.
16 Wu, do you think that the CPP is a major rule?

17 MR. WU: We do not think it implicates the Major
18 Questions Doctrine here for a couple of reasons. One is
19 that it reflects the authority that Congress expressly
20 delegated to the agency to consider the best system. But
21 the other is that it doesn't impose regulations on anybody
22 other than sources that have long been subject to 111 and to
23 many other provisions of the Clean Air Act.

24 And I guess the final point I'd say there is that
25 it's also not major because the method that it adopted,

1 which is in dispute here, is not one that was revolutionary.
2 What EPA did is what it's required to do under the statute,
3 which is to look at practices that are already extant in the
4 industry.

5 And what the Clean Power Plan did was essentially
6 leverage those existing practices, and maybe, or at least
7 the intent was to somewhat accelerate them at the time. And
8 that sort of incorporation of existing industry practice to
9 impose regulations only on sources that are well-within the
10 ambit of EPA's authority does not trigger the Major
11 Questions Doctrine.

12 JUDGE WALKER: Mr. Wu, when the petitioner NRDC
13 called this a groundbreaking policy, do you disagree with
14 that description?

15 MR. WU: We don't disagree. I think that
16 description doesn't have to match up with the doctrine of
17 the Major Questions Doctrine. And I think the reason --

18 JUDGE WALKER: What about when petitioner Solar
19 Energy Association called it historic and critically needed?
20 You don't think that's describing a major rule?

21 MR. WU: It is describing a major rule in the
22 common sense. But I think those statements have to be
23 understood in context, which is a world in which power plant
24 regulation, and especially of greenhouse gas emissions had
25 not been a feature of EPA for years, notwithstanding years

1 of evidence that it was causing serious harm to the public.
2 And so this, and so the Clean Power Plan was a major and
3 groundbreaking rule, and that it represented an effort by
4 the federal agency to engage with this major problem for the
5 first time. But that fact, the fact that it was action
6 rather than inaction, which made it significant, does not
7 mean that what the agency was doing was exercising a power
8 that was beyond its delegated authority. And I think that
9 second part is why, explains why this isn't a Major
10 Questions Doctrine.

11 JUDGE WALKER: Am I right, and I might not be,
12 that the CPP was not the first EPA regulatory initiative to
13 attempt to address the problems of climate change?

14 MR. WU: It was not. There had earlier been like
15 the tailpipe rule, for instance, to deal with climate change
16 from automobiles, if that's what you're, if that's what
17 you're asking about.

18 JUDGE WALKER: Do you think that this rule,
19 compared to the tailpipe rule, was major in terms of its
20 scope and impact?

21 MR. WU: (Indiscernible). It was major, again, in
22 the sense that it finally imposed regulations on power
23 plants that are one of the major contributors to climate
24 change. But both the way that it did it and the sources
25 that it regulated sort of fell well within what EPA had been

1 delegated the authority to do, which is to consider what
2 emissions from power plants cause major problems, and to
3 choose those systems of emission reduction that have been
4 adequately demonstrated, which is proven here by the fact
5 that the industry had long been engaging in generation
6 shifting for both its own private purposes and for other
7 regulatory purposes as well.

8 JUDGE WALKER: And I appreciate that, Mr. Wu. And
9 I know that, you know, well, let me just ask, let me try it
10 one more time. And I'm not predicting you will agree, but I
11 just want to see how, I would appreciate it if you can help
12 me walk through how challenging I think it is to find that a
13 program of this kind does not meet the test for a major
14 rule.

15 When it was announced, when the CPP was announced
16 in the White House's East Room, President Obama called it
17 the single most important step America has ever taken in the
18 fight against global climate change. And he said it was the
19 equivalent of taking 166 million cars off the road. I
20 understand that EPA had engaged in climate change regulation
21 before some of those regulations involved cars on the road.
22 Certainly, we didn't wake up the day after those earlier
23 regulations and find that there were 166 million fewer cars
24 on the road.

25 So, if you would, and then I'll let this go, with

1 regard to you and your time, but how can something not be a
2 major rule when it's the single most important step America
3 has ever taken in the fight against what I think you and the
4 other parties on your side of this case, and I think even
5 the parties opposing you, most of them at least, would call
6 in terms of climate change one of the most pressing and
7 consequential issues of our time.

8 MR. WU: I think the answer is that something can
9 be a significant and very important rule without, as I said,
10 transgressing on the, or triggering the special scrutiny
11 that comes from major rules in the constitutional sense.
12 And (indiscernible) from the way in which (indiscernible)
13 non-regulatory status quo, which is a situation where the
14 Supreme Court held in Massachusetts, the Clean Air Act had
15 long given the agency the authority and the obligation to
16 regulate carbon dioxide and greenhouse gas emissions.

17 There were, in fact, no such regulations of power
18 plants until really the Clean Power Plan came into
19 existence. And that change from the status quo is what was
20 being heralded by the President and by (indiscernible) to
21 the Clean Power Plan such as the petitioners here.

22 Now, that is not synonymous with saying that what
23 EPA did was as a legal or constitutional matter a major
24 exercise of its power. I think to the contrary, and if you
25 look at the arguments that were made by both EPA and other

1 parties in the Clean Power Plan litigation, the argument
2 there was that EPA was exercising well-established powers
3 there. What made it significant was that it was doing so
4 for the first time to regulate a major problem. So, that's,
5 I think, the way that I would reconcile the significance and
6 the conceded significance of the Clean Power Plan, and with
7 our argument that it fits well within the wheelhouse of what
8 EPA has been delegated the authority to do.

9 JUDGE WALKER: Thanks, Mr. Wu. I appreciate that.

10 MR. WU: Thank you.

11 JUDGE MILLETT: Okay. Any other questions from my
12 colleagues? No? Okay. Then I apologize for the false-
13 start, Mr. Poloncarz, but we'll hear from you now.

14 ORAL ARGUMENT OF KEVIN POLONCARZ, ESQ.

15 ON BEHALF OF THE POWER COMPANY PETITIONERS

16 MR. POLONCARZ: Thank you, Your Honor. May it
17 please the Court, Kevin Poloncarz for power company
18 petitioners. Because Mr. Wu will be doing rebuttal for our
19 side, I am not reserving any time for rebuttal.

20 EPA should have known it took a wrong interpretive
21 turn when it contorted reading of Section 111 led it to
22 conclude that the statute categorically excludes the actual
23 means by which the power sector has been reducing emissions
24 of CO2. And I want to explain, Judge Pillard, you had your
25 question about why it matters. Generation shifting is the

1 inescapable physical and operational reality of all power
2 plants. It's how grid operators balance supply and demand
3 at least cost to consumers, taking into account other
4 constraints like transmission and pollution controls.

5 Power plants are unique in their interconnection
6 to one another, and that interconnectedness is what makes
7 generation shifting a particularly appropriate system of
8 emission reduction for them. It also means that regardless
9 how a standard is set, whether it was set based upon gas or
10 coal firing, whether it was set upon partial carbon capture
11 and sequestration, it's inevitably going to cause generation
12 to shift to lower emitting units. And clean power plants
13 simply acknowledge this reality.

14 Generation shifting is also not a radical new
15 approach to emissions control. Prior rules for this sector
16 under this very section and other sections of the Clean Air
17 Act were based upon generation shifting. Reading Section
18 111 for bid generation shifting requires EPA to do three
19 things. First, it infers an indirect object for application
20 of the best system where none was specified by Congress.
21 That imposes much more weight on the preposition for than it
22 can bear, and then it substitutes two wholly different
23 prepositions, at and to, for the one that's actually
24 provided by Congress.

25 Third, it tries to shoehorn the definition of

1 standard of performance into 111(d)(1), and to make it fit,
2 it has to cut out 28 critical words. This is not a plain
3 textual at Chevron Step 1.

4 I want to talk about some of the other rules that
5 were premised upon generation shifting.

6 JUDGE MILLETT: But just to back up there, you
7 don't dispute that standard of performance as defined in
8 7411(a)(1) is the same standard of performance that's
9 referenced in, and that states are determining in (d)(1),
10 right?

11 MR. POLONCARZ: That's correct.

12 JUDGE MILLETT: Okay.

13 MR. POLONCARZ: Here, performance, as it's defined
14 in (d)(1), as it's defined in (a)(1), is where EPA makes the
15 determination the best system, and in (d)(1), the state that
16 imposes those standards of performance on the --

17 JUDGE MILLETT: Right. But state standard of
18 performance has to, in the words of (a)(1), reflect --

19 JUDGE WALKER: Reflect.

20 JUDGE MILLETT: -- whatever emissions guideline
21 gets spit out at the end of EPA's application of the BSER.

22 MR. POLONCARZ: The level of emission reduction
23 achievable through application of the best system of
24 emission reduction. So it can be a standard of any various
25 sort. And it's important, I think, Judge Millett, to

1 acknowledge that the details of the Clean Power Plan aren't
2 really germane to this argument. What's really germane is
3 that EPA decided that the statute categorically and
4 unambiguously excludes anything other than what can be done
5 in the four corners of a power plant. And we disagree with
6 that and think that that's just not supported by the
7 statute.

8 I'd like to talk about some of the other rules
9 that were based upon generation shifting. Judge Pillard, do
10 you have a question? I'm sorry.

11 JUDGE PILLARD: No, proceed. I'd like to hear
12 about that.

13 MR. POLONCARZ: In the Clean Air Mercury Rule,
14 which was also promulgated under 111(d), EPA based the best
15 system on the combination of a cap and trade system and the
16 technology that was needed to achieve the cap. EPA didn't
17 intend that all the sources would install the control
18 technology. Instead, they based the stringency of the caps
19 on the availability of what they called dispatch changes.
20 That's generation shifting.

21 Also, because the near-term emission cap was set
22 to take effect years before they anticipated there'd be
23 broad availability of the control technology, EPA expressly
24 contemplated that trading would need to occur to comply.
25 And other rules for the power sector were also premised on

1 generation shifting and trading, both in setting the
2 stringency of the emissions limits and for purposes of
3 compliance. This includes the Cross-State Air Pollution
4 Rule where EPA specifically rejected a direct control
5 approach, meaning putting scrubbers on sources --

6 JUDGE MILLETT: That's not under 7411.

7 MR. POLONCARZ: No, it isn't, Your Honor --

8 JUDGE MILLETT: (Indiscernible), right?

9 MR. POLONCARZ: It's under 110 out of the Good
10 Neighbor Provision. And the Good Neighbor Provision
11 similarly has no express, clear statement that EPA can go
12 beyond the boundaries of the individual source in
13 determining what makes sense to reduce the pollution.
14 And --

15 JUDGE MILLETT: I don't mean to interrupt, and I'd
16 love to, I want to hear your whole list, but are there
17 others that are under 7411 under the Mercury Rule?

18 MR. POLONCARZ: Well, the only other one under
19 7411 that was really premised upon trading is the Municipal
20 Waste Combustion Rule, and that was a joint rule under
21 Section 129 as well. So it's a little bit inapt, but under
22 that rule, if a source wanted to elect trading or averaging
23 among units, it had to achieve deeper reductions, a lower
24 NAAQS limit. And so there, again, is an acknowledgement
25 that when there is the availability of going beyond the

1 single source, deeper reductions can be achieved, and that's
2 consonant with the statute.

3 And, you know, beyond the Cross-State Air
4 Pollution Rule and other rules for this sector, trading is
5 just something that's ubiquitous throughout the Clean Air
6 Act. And this Court upheld in the Small Refiners Lead
7 Phase-Down case a standard for lead content in gasoline,
8 that was specifically premised on the fact that not all
9 refiners would be able to comply, but that they would need
10 to buy blending components or credits from other refiners.

11 Another example is just the averaging, banking,
12 and trading rules for new motor vehicles, which were upheld
13 by this Court in NRDC v. Thomas. There, it's not expressly
14 authorized by the statute at all, but this Court says, yes,
15 this is permissible. In sum, the flexibility afforded by a
16 trading-based approach has allowed the agency in many
17 contexts under many statutory provisions to set the standard
18 beyond what's achievable by the lowest common denominator.

19 I want to talk a little bit about the relevance of
20 the singular and the plural, because I think it's really
21 misleading the way that EPA posits this in its brief. EPA
22 said that in (d)(1), what really matters is that it talks
23 about any existing source. I mean, first of all, the
24 Supreme Court has made clear that any is an expansive term
25 and could really just mean, you know, some without regard to

1 quantity. So saying that a state needs to prescribe
2 standards for any existing source can really just mean you
3 need to apply standards to every source in your state, and
4 you can't provide free passes to some. That's all it could
5 possibly mean.

6 But in addition, you know, in (d)(2), EPA says
7 it's really relevant that in (d)(2), as opposed to (d)(1),
8 it speaks in the plural remaining useful lives of the
9 sources and the category of sources. So they say, well,
10 (d)(1) speaks in the singular, (d)(2) is in the plural. But
11 then EPA in the next move says that this distinction is of
12 no consequence because its authority under (d)(2), that's if
13 it's issuing a federal plan when a state's failed to do so
14 and it has to go and issue a federal plan in its place. It
15 says its authority to do so would also be circumscribed to
16 measures that can be applied in a singular source. It
17 either means something that Congress used the singular in
18 (d)(1) and the plural in (d)(2) or it doesn't.

19 EPA also would have us believe that the title of
20 111(d) is relevant because it speaks of the remaining useful
21 life of a singular source. In full, Your Honors, the title
22 reads Standards of Performance for Existing Sources, plural,
23 semicolon, Remaining Useful Life of Source. If the title
24 matters at all, then its use of the plural for existing
25 sources completely eviscerates EPA's argument that the clear

1 intent of Congress as reflected by the singular for any
2 existing source in (d)(1). EPA can't have it both ways and
3 say these distinctions between singular and plural matter
4 unless they don't.

5 I also want to comment on the indirect object
6 argument, why it makes no sense from our perspective. EPA
7 says that the primary object in the first sentence of (d)(1)
8 is each state's plan. They admit that. And they say
9 grammatically, for any existing source is the indirect
10 object of each state's plan in (d)(1). But they also then
11 say it's the indirect object of application of the best
12 system in (a)(1), concluding that for any existing source is
13 the indirect object of two separate regulatory acts in
14 separate statutory sections is not only not compelled by the
15 statute, it's nonsensical.

16 And then, to make it fit, they have to cut off 28
17 words from the definition of standard of performance. It
18 makes no sense if they try to put the whole definition in.
19 So they cut it off and put an ellipsis in the place where it
20 talks about the Administrator's act of determining what the
21 best system is. If the whole definition were inserted, it
22 would imply that the Administrator determines the best
23 system for any existing source, which everyone acknowledges
24 is just not the way the regulation works. And so --

25 JUDGE PILLARD: So can you say just a little bit

1 more about that, Mr. Poloncarz, how the system works? And I
2 understand your argument in gross that we shouldn't take
3 language that's speaking to how states sort of bring the
4 requirements down to the individual, regulated entities.
5 But what is usually, and I realize we're talking about this
6 in more detail later, but just to sort of help us get
7 oriented, what is usually the form of the guidance that EPA
8 gives as a result of having assessed and determined the best
9 system?

10 MR. POLONCARZ: We'll talk about that a lot later.

11 JUDGE PILLARD: I know, I know. But just give us,
12 like, because you're in the industry, or representing the
13 industry, I'm just curious what your understanding is of
14 that in brief.

15 MR. POLONCARZ: Well, in this particular instance,
16 Your Honor, it's not all that relevant that, for this
17 industry because we've only had two rules under 111(d), the
18 Clean Air Mercury Rule, which established a very
19 comprehensive cap and trade system, and EPA established
20 those budgets, and it involved multi-state trading, et
21 cetera. And now, and then we had the Clean Power Plan under
22 111(d) as well. But the best system must reflect the level
23 of reduction that's achievable through application of the
24 best system of emission reduction. And so that, in my mind,
25 means the EPA needs to look out at the terrain and say for

1 this particular source category, for the interconnected
2 electricity grid, electrons flow across state lines. There
3 is no differentiating among them. We need to determine what
4 constitutes the best system.

5 We know, unlike other sectors, we know that if you
6 add a new, clean source to the grid, just because of the
7 physics and economics of the way the grid works, another
8 source, a dirtier source, is going to stop operating. And
9 it's not going to be dispatched. That's different than any
10 other source category.

11 If you have petroleum refiners and somebody starts
12 producing renewable diesel or renewable, that doesn't mean
13 that somebody else whose market is now being served by
14 renewables can't export it. That's just not the way the
15 grid works. We can't export large, vast quantities of
16 power. And so there's a certainty there that those
17 reductions will occur from the source category. And so from
18 my perspective, what I would expect, and what I think my
19 clients would expect when EPA determines the best system of
20 emission reduction is that they look out and they assess
21 what is actually achievable. And that's what we wanted EPA
22 to do. We wanted them to look at what could be accomplished
23 with generation shifting. And in my client's view,
24 something more meaningful could be accomplished than the do-
25 nothing rule that was promulgated in replacement of the

1 Clean Power Plan.

2 JUDGE PILLARD: But just very briefly, you said
3 the word budgets. So it's some quantum of --

4 MR. POLONCARZ: It's a quantum. Yes, Your Honor.

5 JUDGE PILLARD: State by state or federally?

6 MR. POLONCARZ: I would imagine that given the
7 cooperative federalism framework, it likely would need to be
8 state-by-state.

9 JUDGE PILLARD: Right.

10 MR. POLONCARZ: Those details aren't --

11 JUDGE PILLARD: Yes.

12 MR. POLONCARZ: -- really germane. I mean --

13 JUDGE PILLARD: I know they're not relevant here.
14 But except in, I mean, the reason I'm asking now is because
15 I think that the EPA's argument really depends on this idea
16 that there is some kind of equivalence between the inquiry
17 that's made in determining BSER and the implementation by
18 the state, that there's a kind of one-to-one correspondence
19 there. And it just helps me to sort of, even at a very
20 general level to understand that, to know something about
21 the form in which the BSER is expressed other than the sort
22 of descriptive of the physical world, but actually how
23 that's conveyed.

24 MR. POLONCARZ: I mean, yes, Your Honor. EPA does
25 take the position that it needs to be equivalent. And they

1 really bought into that, hook, line, and sinker. You know,
2 four years ago, when we had this argument in front of the en
3 banc Court, we talked about the symmetry provision, that
4 while if sources were going to comply like this anyway and
5 wanted trading, and they wanted these more robust, flexible
6 mechanisms, then of course that was allowable. But the
7 other side said no, we want trading. We want flexibility.
8 But we want the standard set by what you can only do at a
9 particular source.

10 And so acknowledging that, and in my mind
11 acknowledging the traction that that argument got in front
12 of the en banc court, EPA went in the other direction. It
13 did what from my clients' perspective is really nonsensical.
14 They completely forbade trading. I know that's not the
15 subject of this discussion, but it's intimately tied because
16 trading is the corollary in generation shifting.

17 JUDGE PILLARD: But putting aside trading and
18 generation shifting, are there any ways to meet the levels
19 in the, established by the CPP without generation shifting
20 and --

21 MR. POLONCARZ: Absolutely, Your Honor.
22 Absolutely. And the record reflects that it could have been
23 done. Judge Millett asked me this question four years ago.
24 The record reflects that it could be done through co-firing
25 with gas, it could be done through partial carbon capture

1 and sequestration. And EPA's record also reflected, Your
2 Honors, that it could be done at a cost that EPA deemed
3 reasonable in other regulatory constructs and other
4 contexts. But they then acknowledged that, well, no one's
5 going to go and do that because instead, what the trends are
6 showing is they're going to add lower-emitting generation to
7 the grid.

8 And the remarkable thing, of course, is that that
9 trend only continued in spades since we last had this
10 argument in 2016. The Clean Power Plan's 2030 goals were
11 achieved a decade in advance, in the absence of any federal
12 regulation, just going to show that the trends were present,
13 and they proved to achieve the reductions that were always
14 planned to be achieved.

15 JUDGE PILLARD: When you say EPA deemed those
16 costs reasonable in other regulatory contexts, what are you
17 thinking of?

18 MR. POLONCARZ: I --

19 JUDGE MILLETT: I couldn't hear the question.
20 Something happened.

21 JUDGE PILLARD: Oh, I'm sorry. I said when --

22 JUDGE MILLETT: My apologies.

23 JUDGE PILLARD: You said that EPA had deemed the
24 costs of co-firing and carbon capture to be reasonable in
25 other regulatory contexts, but also recognized that they

1 still exceeded the BSER it defined in the Clean Power Plan.
2 I was just wondering what you were thinking of when you
3 mentioned the reasonableness of --

4 MR. POLONCARZ: Your Honor, I believe, I believe
5 what EPA meant when it said that is that they were in the
6 range of what was deemed acceptable and not prohibitive
7 under other Section 111 rules. But then, considering the
8 criteria of cost in what is the best system, EPA decided
9 that there is this much cheaper available compliance
10 mechanism and basis for the best system, and that is
11 generation shifting. And that's why it based the best
12 system upon the availability of generation shifting and
13 trading.

14 JUDGE MILLETT: Can I ask, related to that, and in
15 particular I'm thinking of the co-firing, it doesn't say
16 here, but it had been in the prior case. In the prior case,
17 there was information in the record that 77 percent of coal-
18 fired generation is co-owned with natural gas. And, yes,
19 and so is, one, just in response to the argument about the
20 expense or infeasibility of, and maybe, I'm sorry if I'm
21 jumping ahead to another issue, but of a co-firing, if you
22 can't answer for someone else, then I will just ask someone
23 else. But I was trying to understand, is that still a case.
24 Has it increased, decreased? Do you know? Or is that not
25 in this record this time?

1 MR. POLONCARZ: I don't know, Your Honor. It may
2 be in the record, and Donahue can probably best --

3 JUDGE MILLETT: Okay. I will save it for him.

4 JUDGE WALKER: Mr. Poloncarz, can I follow up on
5 something you said? You said that trading is a corollary to
6 generation shifting. And I wondered if you could expand on
7 that, and especially to someone like me who is very new to
8 the science of all this.

9 MR. POLONCARZ: So the way that generation
10 shifting can work, and EPA's record reflects that generation
11 shifting is being employed by all forms of utilities, from
12 investor-owned utilities to publicly owned utilities to
13 municipal and world co-ops. What that means is that you
14 have a co-op, and they have a coal-fired power plant, but
15 then they are also adding solar and wind elsewhere. And
16 that solar and wind is preferentially dispatched by the grid
17 because it has no variable operating costs. It doesn't cost
18 when the sun shines, and it doesn't cost when the wind
19 blows, whereas coal has a cost.

20 And so that is how generation shifting works in
21 the context of one particular entity, a municipal or a
22 utility or cooperative employing it itself. However, if you
23 really wanted to avail yourself of market efficiencies, and
24 my clients love market efficiencies, they're going to say
25 let's go broader because why just look at what we can do in

1 our own territory here. The electricity grid, you know,
2 we're all interconnected. Why don't we look at what can be
3 achieved more broadly by, you know, trading with one
4 another. And this is just so common in the electricity
5 sector.

6 And, you know, it's something that even the folks
7 on the other side, the utilities on the other side have
8 clamored for for years to say let's find the most optimal
9 market efficiency results to achieve the reductions. And
10 usually that is through trading. But it could be done, Your
11 Honor, at the more micro level of a particular world co-op
12 or utility saying, hey, we're just going to reduce the
13 dispatch of our coal-fired generation plant by adding more
14 wind or solar in the same territory.

15 JUDGE WALKER: So, that's helpful. And, you know,
16 the law on all of this is complex enough that I want to make
17 sure that at the very least I get the facts and the science
18 right, especially the facts and the science that is not in
19 dispute. So, with apologies if this question is too
20 elementary, but can you walk me through the process from,
21 let's say a miner mines a piece of coal all the way through
22 I turn on my light switch. And not just how things are
23 happening, but who is owning each part of that process.

24 And let's say Duke Energy owns the, let's say Duke
25 Energy buys the coal, and they generate it, and they

1 transmit it. But beyond that, can you walk me through that
2 process?

3 MR. POLONCARZ: Well, electricity generation and
4 transmission 101 would probably take longer than we have,
5 but. It's a very complex process, Your Honor, and it varies
6 by state based upon whether you have what we consider a
7 vertically integrated utility, one that is subject to rate
8 regulation by a public utility's commission and rate bases
9 all of their assets and uses those assets as the basis of
10 their generation mix, or whether you have one that
11 participates in RTOs or ISOs, regional transmission
12 organizations and independent system operators, which are
13 established on a regional basis and usually involve those
14 resources, all bidding their resources into a regional
15 market. And that's what's predominant throughout a lot of
16 the country now.

17 I'm not quite certain with Duke. I don't know
18 what they do in the Southeast there, but in most --

19 JUDGE WALKER: It doesn't have to be Duke. Can
20 you just take one of the ICO examples, but -- ISO examples
21 rather, but.

22 MR. POLONCARZ: Yes. Yes, let's talk about --

23 JUDGE WALKER: The coal gets mined. It gets
24 bought by a coal-generating plant. The coal-generating
25 plant converts that coal into electricity, and then what

1 happens?

2 MR. POLONCARZ: Well, so what happens, Your Honor,
3 is in a day-ahead market, let's imagine you're in the PJM
4 Market, and that stands for Pennsylvania, Jersey, and
5 Maryland, so, but we just call it PJM. That kind of serves
6 where you guys are. In the PJM market, there would be a
7 day-ahead market. And so what would happen is that if
8 somebody was going, had a coal-fired generating facility
9 there and they wanted to generate, they would bid into that
10 market, and they would bid a bid based upon what their
11 variable operating costs are, as well as whatever they
12 needed to recover their capital expenses.

13 And the way that that, the market operator would
14 then accept the bids in declining order from the lowest-cost
15 bid. And that would usually include, you know, something
16 that has no costs, like a solar facility or a wind facility,
17 until it gets to what's needed to meet all supply. And
18 then, everybody gets that clearing price, that, we consider
19 that, you know, that's the clearing price that everybody
20 gets in the market. And then, if the coal-fired generator
21 bid at a price that was lower than that clearing price, it,
22 the next day, would get a dispatch order from the grid
23 operator saying you need to run your power plant from this
24 time to that time. And it would combust the coal, and the
25 coal would produce steam. The steam would produce, run a

1 turbine. The turbine would drive a generator. The
2 generator would put power onto the grid. The grid would go
3 from the transmission system to the distribution system.
4 That's the poles and wires that bring power to your house.
5 Transmission are the big towers. And then you would turn
6 the light, and there it would be.

7 JUDGE WALKER: The market operator in that
8 story -- thank you so much. The market operator in that
9 story, who is that market operator?

10 MR. POLONCARZ: It is --

11 JUDGE WALKER: Give an example.

12 MR. POLONCARZ: In that instance, it's the PJM
13 market operators, the RTO, the regional transmission
14 organization, the independent system operator. He --

15 JUDGE WALKER: And who owns the, who owns the RTO?

16 MR. POLONCARZ: So what they do, what they are
17 charged with doing is they are the balancing authority.
18 They are the ones who are charged by NERC with keeping the
19 lights on. They are the ones who have to observe protocols
20 and principles to assure that reserves never fall below
21 certain levels and that power is available, and we don't
22 have blackouts. And so they are the ones who are in
23 charge --

24 JUDGE WALKER: So the RTO is a government entity
25 of some sort?

1 MR. POLONCARZ: Yes, some quasi-public entity
2 sometimes. In California, for instance, our independent
3 system operator, which now has a footprint that's broader
4 than the state, is a quasi-non-profit entity created by the
5 state. So they're an interesting breed that's not really a
6 governmental entity, that's a subunit a state. They're
7 governed by NERC principles.

8 JUDGE MILLETT: They're heavily regulated.

9 JUDGE WALKER: Okay.

10 MR. POLONCARZ: Yes.

11 JUDGE MILLETT: And so does that mean, just, can I
12 inject one question, Judge Walker?

13 JUDGE WALKER: Yes.

14 JUDGE MILLETT: In that process?

15 JUDGE WALKER: Please.

16 JUDGE MILLETT: Does that mean the coal company
17 that's going to burn that lump of coal that Judge Walker
18 referenced isn't even going to order that piece of coal. It
19 orders it a day before, a week before, just depending on
20 whatever projections it's getting from, say, PJM?

21 MR. POLONCARZ: That's correct, Your Honor. They
22 probably won't order it if they believe that the price of
23 power on the wholesale markets is going to be below what
24 would sustain their burning of coal, and that's why there
25 have been so many bankruptcies of coal companies, and that's

1 why the coal petitioners in this case are objecting to the
2 Clean Power Plan.

3 JUDGE MILLETT: Okay.

4 JUDGE WALKER: When one of these companies,
5 whether it's a coal company or a wind energy company, bids
6 to the, into the RTO, you said that the cost of renewable is
7 free, is at no cost, but I mean, obviously there is a huge
8 investment in building the infrastructure to generate solar
9 power or wind-paneled. Those owners do not factor those
10 capital investments into the costs that they bid?

11 MR. POLONCARZ: There are two. What they would
12 factor in is their variable operating costs, that would be a
13 lump of coal versus a ray of sun. But they also project
14 what they need to recover their capital costs as well. And
15 so usually, those capital costs, in many jurisdictions for,
16 notwithstanding the fact that it takes a lot of money and a
17 lot of capital to build utility-scale solar farms and wind
18 farms. Usually those are lower than the overall variable
19 operating costs of fossil generation, and so those usually
20 get dispatched first.

21 JUDGE WALKER: Okay. I'm going to shift to the,
22 to a law question or two, unless Judge Pillard or Judge
23 Millett have follow-ups on the science stuff. Do you think
24 that in order for the EPA to have promulgated the Clean
25 Power Plan, that, well, I guess I would ask it this way. Do

1 you think that 7411(b) is sufficiently ambiguous that the
2 EPA can promulgate the Clean Power Plan, could have, or do
3 you think that it unambiguously authorizes the EPA to
4 promulgate the Clean Power Plan?

5 MR. POLONCARZ: I don't think it unambiguously
6 authorizes it at all, Your Honor. What we think is that it
7 would be a reasonable construction of an ambiguous statute
8 to interpret what is achievable through the best system is
9 something other than what can be achieved within the four
10 corners of an individual plan. But it's certainly in no way
11 mandated by the statute.

12 JUDGE MILLETT: Just so I understand the exchange,
13 I apologize. Judge Walker, could you explain what you mean
14 by unambiguously authorized so that it's, is that what you
15 mean, like Chevron I mandated --

16 JUDGE WALKER: Yes.

17 JUDGE MILLETT: -- or that it's just, it's clearly
18 within the range of authorization? I was confused by --

19 JUDGE WALKER: No, I think I asked a confusing
20 question or a question in as confusing a way as I could,
21 unintentionally. I guess my question is, do you think that
22 7411(d) unambiguously authorizes power shifting, generation
23 shifting?

24 MR. POLONCARZ: No, Your Honor, not at all. I
25 mean, our view is that the rule must be remanded because

1 consonant with the Prill doctrine in the D.C. Circuit, EPA
2 based its determination upon its erroneous conclusion that
3 the statute unambiguously forbade generation shifting. Our
4 view is EPA needs to go back to the drawing board, and if
5 that is wrong, as we believe it is, go back to the drawing
6 board and figure out, freed of that erroneous constraint, of
7 tying its hands and saying we're just the dutiful statutory
8 servant. We can do what we want --

9 JUDGE PILLARD: All right, now I'm confused
10 because I thought it was your position that by broadly
11 delegating through sort of the failure to specify the
12 indirect object or through the calling on EPA to come up
13 with the best system of emission reduction, that that
14 plainly empowers EPA to do this if it believes for all of
15 the reasons the statute requires it to consider, that this
16 is the best system. It doesn't require EPA to say, oh,
17 generation shifting, CPP, but it certainly unambiguously
18 allows the agency to do that. Or are you saying otherwise?

19 Are you saying, well, we're just not answering
20 that question. Maybe it doesn't, but because of where we're
21 at in terms of the posture of this case, we have to send it
22 back, Prill. Those are two different positions with
23 actually I think some moment. And maybe I'm not being
24 clear, but.

25 MR. POLONCARZ: No, Your Honor. And I apologize

1 if I wasn't clear. EPA never really determined, they never
2 grappled with the facts in the record as to what would be
3 the best system --

4 JUDGE PILLARD: Right, right.

5 MR. POLONCARZ: -- based upon the statutory --

6 JUDGE PILLARD: The prior question is, and I don't
7 know if you have a position on this, but the prior question
8 is, is the delegation unambiguously present in the case in
9 the statute for EPA to decide what it thinks the scope of a
10 plan should be, of a --

11 MR. POLONCARZ: Yes, Your Honor. I mean, that I
12 agree with completely. AEP leaves no dispute, there's no
13 room for dispute that EPA has the authority to regulate
14 power plants' CO2 emissions, and to decide how to regulate
15 those emissions. And, you know, AEP says this is EPA's,
16 that's up to EPA. And I'm sorry if I was confusing in my
17 response. We believe EPA has that authority to do that.

18 JUDGE WALKER: There are some things I think your
19 brief says, and your fellow petitioners who come to the same
20 conclusions say. There are some things under 7411(d) that
21 the EPA just cannot do, even if it's trying to achieve the
22 goal of reducing carbon emissions. That's correct, right?

23 MR. POLONCARZ: Yes, Your Honor.

24 JUDGE WALKER: Okay. And I think now, in answer
25 to your question, in your answer to Judge Pillard's

1 question, you're saying that 7411 unambiguously allows the
2 EPA to reduce carbon emissions through generation shifting.
3 Is that your --

4 MR. POLONCARZ: No I'm not saying that, Your
5 Honor. I feel like I'm playing --

6 JUDGE WALKER: You're not saying that?

7 MR. POLONCARZ: I feel like it's a Who's on First
8 to some extent, and I'm sorry if I'm being confusing. What
9 I answered Judge Pillard's question is, there's a clear
10 statutory delegation of authority in 111(d) for EPA to
11 determine what is the best system, and AEP affirms that. It
12 says it's up to EPA to decide whether and how to regulate
13 CO2 emissions from power plants. Couldn't be any clearer.
14 End of case as far as whether EPA has that authority. I
15 believe that was what Judge Pillard was asking.

16 Your question as to whether EPA unambiguously must
17 employ, if I'm understanding it correctly, must decide that
18 generation shifting is the best system. I don't agree with
19 that. I don't think that that's what I intended to say, and
20 I'm sorry if I was confusing in my response.

21 JUDGE WALKER: No. I think I do understand now,
22 and that was not exactly what I was, the yes or no question
23 I was asking. But I think you have answered the yes or no
24 question that I was inartfully trying to ask. So I'm good
25 if my colleagues are.

1 JUDGE MILLETT: Any further questions? All right.
2 Thank you, Mr. Poloncarz. And now we will hear from, we'll
3 give the EPA a chance here, from Mr. Brightbill.

4 ORAL ARGUMENT OF JONATHAN D. BRIGHTBILL, ESQ.

5 ON BEHALF OF THE RESPONDENTS

6 MR. BRIGHTBILL: Yes, good morning, Your Honor.
7 Jonathan Brightbill from the Justice Department. Before I
8 get started on the statutory arguments, I want to explain
9 the Clean Power Plan and respond to the suggestion from Mr.
10 Wu that this was a normal application of Clean Air Act
11 Section 111 and not revolutionary, and why this really was
12 the Clean Power Plan, and not the Clean Power Standards.

13 If you go to the Clean Power Plan final preamble,
14 80 Fed. Reg. 64816, it lays out EPA's eight-step process for
15 calculating the state caps in the Clean Power Plan. And
16 more detail was given throughout the preamble, specifically
17 in 80 Fed. Reg. 64808 to 809 on the various geographic and
18 regional considerations that went into what they did. And
19 this was, to be sure, I think Mr. Poloncarz called trading a
20 complex process. This was also a complex process.

21 But as a practical matter, the Clean Power Plan
22 really simplifies and boils down to this, The Clean Power
23 Plan pulled out a map of America. It put colored pins in
24 the map where coal plants are, where gas plants are, where
25 renewable plants are in the year around 2014. Then they

1 made, effectively, another map with new pins for where they
2 were projecting and wanted to see America's coal plants, gas
3 plants, and then where they thought there could be renewable
4 plants now, eight years into the future.

5 Then, after creating their map, their plan, the
6 CPP then backed into and calculated state emission caps
7 based on that plan for the future for eight years into the
8 future, and then told states and industry of America they
9 need to go out and figure out how to do something that no --

10 JUDGE MILLETT: Is that in the record
11 (indiscernible)? Is that in the record --

12 MR. BRIGHTBILL: It is, Your Honor, in --

13 JUDGE MILLETT: That they do have maps and put
14 down pins, and then sort of --

15 MR. BRIGHTBILL: No, no, no. I'm simplifying what
16 the map and the pins --

17 JUDGE PILLARD: You mean this as kind of a
18 metaphor or a parable --

19 JUDGE MILLETT: I'm just trying to make clear --

20 JUDGE PILLARD: (Indiscernible.)

21 JUDGE MILLETT: Yes.

22 MR. BRIGHTBILL: Yes. No, no, no. I'm using a
23 metaphor there. But yes, in the cites that I just referred
24 you to, you can go and read through the eight-step process.
25 And in particular, then, you can see the geographic

1 considerations that went into it. They looked at, and this
2 is on 64808, column 2. When they did their modeling, they
3 looked at resource constraints, such as the resource
4 quality, land use exclusions, terrain variability, distance
5 to existing transmission, population density, system
6 constraints, such as interregional transmission limits. It
7 went on to say that they considered the projective regional
8 location of the evaluated renewable energy deployment in
9 this analysis, which shows that the majority of such
10 deployment is occurring in the east, and then apportioned
11 this renewable energy across the regions, and for each of
12 the BSER regions, Your Honor. So you're --

13 JUDGE PILLARD: So you're pointing, Mr. Brightbill
14 to where they're doing assessments of feasibility and cost.
15 And they do a very detailed and rigorous examination of
16 feasibility and cost. But just backing up where you
17 started, I just, I'm a little bit puzzled by the position of
18 the Government here given that there's sort of two models of
19 regulation. One is kind of a flexible and market-harnessing
20 model where the agency is supposed to be maximally hands-off
21 and just say, look, this is where we need to go as a country
22 with emissions. We need to protect the country, our
23 resources, our people. You states, and then ultimately you
24 industry, are the wise ones to figure out how we get there.

25 Now EPA, there's no question, I don't think, that

1 EPA could do what we sometimes disparagingly refer to as
2 command and control regulation, right? EPA could come in
3 and say to every coal plant, time for carbon capture. You
4 guys have had implicit subsidy from the public and from
5 future generations for too long. We want you to capture all
6 the carbon that you're emitting. There's nothing in 111(d)
7 that would prevent that, is there?

8 MR. BRIGHTBILL: Absolutely. There is a lot in
9 111(d) that would prevent that, Your Honor. And I'll walk
10 through the statutory elements --

11 JUDGE PILLARD: But, I mean, we have in argument.
12 I guess we haven't in argument, but just for purposes of my
13 question, assume that one could require co-firing and one
14 could require carbon capture or one could require, I mean, I
15 know you have arguments against some of these things. But
16 if we're talking about regulation of emissions, that nobody
17 has a right to emit, then why isn't it the pro-industry,
18 pro-government minimal approach to do it this way, a more
19 flexible, you guys figure out how you want to get there?

20 MR. BRIGHTBILL: It may very well be that from a
21 policy perspective, Your Honor. But ultimately, we are
22 looking at Congress's statute, and what Congress's Clean Air
23 Act Section 111 is. And really, Clean Air Act 111, there
24 are parallels between the Clean Air Act and Clean Water Act,
25 Your Honor, right? Which certain sections relate to overall

1 area planning, and then do allow for trade-offs between
2 various areas, and emissions trading, and averaging and
3 those types of things and also, in aggregate consideration
4 of water quality contributions in the Clean Water Act
5 section.

6 This is the technology and technique provision of
7 the Clean Air Act. And that, and so while there might be
8 policy reasons for why you might want to have an area
9 planning regime to backstop this, at the end of the day,
10 this provision is a command and control technology and
11 technique provision, and has always been that for its 50
12 years of the Clean Air Act, Your Honor.

13 And the reason why I'm making the point is --

14 JUDGE MILLETT: What do you mean by -- sorry. I'm
15 confused by what you mean by that. So that textually they
16 can't do something like the Mercury rule, which involved
17 trading rather than just technology enforcing?

18 MR. BRIGHTBILL: That's right, Your Honor. And in
19 fact, EPA has acknowledged that you couldn't do in 111 now a
20 trading because there is no language. With respect to
21 the --

22 JUDGE MILLETT: All right, well, but certainly
23 there was a time when EPA thought it could do the Mercury
24 Rule trading under this very provision, so I don't know how
25 you can quite go with the, that's the way it's been for 50

1 years.

2 MR. BRIGHTBILL: Well, except it was very
3 different in that rule, Your Honor, because in that rule,
4 there actually was a technology and technique requirement
5 for scrubbers in the first instance. There was a premium --

6 JUDGE MILLETT: Well, but the Clean Power Plan had
7 heat reducing elements just like you do, and it had trading
8 options. So, that doesn't strike me as, you know, so if
9 you're agreeing that they can do a combination of technology
10 and more, what Judge Pillard described as market-based
11 approaches, then that's no different.

12 MR. BRIGHTBILL: Well, they're not --

13 JUDGE MILLETT: And EPA certainly thought that was
14 lawful. And then the Mercury Rule was never struck down on
15 that ground. EPA never argued that that's not something
16 that 7411(d) allows us to do.

17 MR. BRIGHTBILL: Well, it was vacated on other
18 grounds, Your Honor, but.

19 JUDGE MILLETT: Yes.

20 MR. BRIGHTBILL: But this Court has actually
21 issued a decision since then that is actually very relevant.
22 And it relates, actually, to the municipal incinerator rule,
23 the 111, 129 rule that was referenced earlier. And that's a
24 case actually that was cited by the petitioners, United
25 States Sugar case, Your Honor, which, candidly, we did not

1 respond to in our brief but reinforces our arguments. But I
2 didn't note previously that this, that the D.C. Circuit in
3 the United States Sugar case in 2016 actually in that case
4 precluded averaging across units in the scope of a single
5 trading facility for essentially the same structural reasons
6 that we made in connection, and that EPA has now made in
7 connection with the Clean Power Plan, Your Honor, and its
8 repeal in the sense --

9 JUDGE MILLETT: But I'm just responding to your
10 for 50 years everyone's known that. Right? EPA didn't know
11 that for 50 years.

12 MR. BRIGHTBILL: That rule was set aside, was
13 vacated --

14 JUDGE MILLETT: For 50 years, that hasn't been
15 EPA's position. Is that fair?

16 MR. BRIGHTBILL: It is fair, Your Honor, that --

17 JUDGE MILLETT: Okay.

18 MR. BRIGHTBILL: -- in the camera rule, EPA did
19 attempt to incorporate a trading element in. That rule was
20 the --

21 JUDGE MILLETT: And if you thought American Sugar
22 was direct authority for your interpretation here, I would
23 have expected to see that in your briefs. I guess you have
24 an argument arising out of it that now you've noticed, and I
25 get that.

1 MR. BRIGHTBILL: Yes.

2 JUDGE MILLETT: But no one thinks that it has yet
3 been foreclosed by this Court.

4 MR. BRIGHTBILL: No, Your Honor, I would have
5 liked to have had it in my brief, too, Your Honor. I
6 apologize very sincerely.

7 JUDGE MILLETT: No, no. I'm not talking about
8 that. I'm just sort of talking about this sense, this 50
9 years everybody's known. I mean this is, you know, this is,
10 we never issued a decision in Clean Power Plan. And, you
11 know, the Mercury Rule came up and down on different
12 grounds. So this is just an open question, and it's not
13 always, it hasn't always been for 50 years in EPA that it's
14 been solely limited to technological measures.

15 MR. BRIGHTBILL: I acknowledge, Your Honor, that
16 they attempted to incorporate into the compliance mechanisms
17 and the matters in the camera case this. And they have now,
18 reexamining this statute, committed themselves, and also in
19 consideration of the United States Sugar case, which is also
20 cited, it was actually cited by EPA --

21 JUDGE MILLETT: Yes.

22 MR. BRIGHTBILL: -- in response to comments when
23 they were addressing these trading issues.

24 JUDGE MILLETT: Yes.

25 MR. BRIGHTBILL: So it was cited by EPA itself.

1 It was cited by petitioners in their brief, Your Honor. So,
2 but, and then with respect to the other provisions --

3 JUDGE PILLARD: So, I mean, I think this gets to a
4 point that, as I understood it Mr. Wu made about the context
5 and the industry and emissions-specific nature of different
6 rules. And I think in American Sugar, if we're dealing with
7 hazardous waste and boilers, there are reasons to be more
8 attentive to the local nature of an emission. And so a
9 trading program, for example, is sort of, is indifferent as
10 to where the emissions come out. And if you're dealing
11 with, with (indiscernible) it would make sense that, I mean,
12 I don't think you've foregone much is what I'm saying, in
13 not having relied more heavily on American Sugar because the
14 facts there are quite different, and it does make sense that
15 you might not be sufficiently attentive to community
16 exposures of something that isn't carbon dioxide, and that
17 therefore might be foisted onto a community through trading
18 that, in a way that wasn't adequately controlled.

19 MR. BRIGHTBILL: Well, Your Honor, the policy
20 issue did not actually play into the decision. It was a
21 Chevron step 1 interpretation of the statute --

22 JUDGE PILLARD: But not 111. That was Judge
23 Millett's point, it wasn't 111.

24 MR. BRIGHTBILL: It was 129, Your Honor, which is
25 kind of a bolt-on to 111, actually, and not actually 112.

1 It was kind of a side issue from the bigger dispute that was
2 at issue there. The cite, by the way, is 830 F.3d 579 is
3 the general case, and then the relevant discussion is 627 to
4 628, Your Honor.

5 But the analogy there that holds here, and holds
6 to the EPA interpretation in its repeal of the Clean Power
7 Plan, Your Honor, is that under the structure of the statute
8 from a Chevron step 1 perspective, you had units, which was
9 a separately defined term from the facility. And because
10 the units were distinct within the context of the broader
11 facility, Your Honor, the D.C. Circuit, your colleagues held
12 that the statute unambiguously precluded averaging, which is
13 needed and implicit in trading as well across the various
14 units within a facility.

15 And that's one of our textual arguments here as
16 well, Your Honor. There's the 111(d), is the operational
17 provision in which 111(a) must be read. And that's not a
18 novel position that was rolled out in connection with the
19 repeal of the Clean Power Plan. That was the position of
20 EPA in promulgating the Clean Power Plan. They said that
21 reading the term existing source together with the
22 instructions as to the best system of emissions reduction
23 was an important limitation, Your Honor. And it was because
24 of that limitation then that they, that EPA did and always
25 has read 111(d) to be the operative provision in which

1 111(a) then operates that they then had to make other
2 textual changes, always expanding the authority of EPA in
3 order to arrive at the Clean Power Plan. The first of those
4 was --

5 JUDGE MILLETT: Just to be clear, it also operates
6 into (b). You're not -- when you say 111 operates into the
7 (d) relationship, there's also a relationship between 111(a)
8 and (b), correct?

9 MR. BRIGHTBILL: There is a relationship between
10 111(d) and (b), Your Honor, and it's important to note
11 that --

12 JUDGE MILLETT: It's not (d) and (b). I'm talking
13 about (a) and (b). Sorry.

14 MR. BRIGHTBILL: Oh, (a) and (b). Yes, Your
15 Honor. It's important to note that when (a) is read into
16 the context of (b) to respond, or to respond to an argument
17 about Mr. Wu that that doesn't make sense. Actually, to the
18 contrary, it makes perfect sense. And in fact, that's the
19 point of why (d) has to be given a narrower reading, because
20 in (b), Congress was providing authority for the regulation
21 of new sources. And it talked about looking at those
22 sources as a category, as a group, Your Honor. Whereas,
23 then Congress uses much more precise specific language,
24 refers to particular sources, when it moves to (b) under the
25 (indiscernible) canon, that distinction between (b) and (d)

1 must be given effect, Your Honor.

2 Now once you have (b) and (d), and actually, Your
3 Honor, again, to respond to this suggestion that we ellipsed
4 28 words of consequence, I would actually ask the Court, if
5 you have it handy, to actually pull out the petitioners'
6 reply brief where in Addendum A, they engage --

7 JUDGE MILLETT: Now, sorry, which petitioners?
8 There's a lot of them.

9 MR. BRIGHTBILL: Yes. Thank you, Your Honor.
10 It's the NGO petitioners' reply brief. So final reply brief
11 of public health environmental petitioners.

12 JUDGE MILLETT: All right. Give us a second.
13 We've got big piles here.

14 MR. BRIGHTBILL: Thank you very much. I think it
15 would be helpful.

16 JUDGE PILLARD: Which page?

17 MR. BRIGHTBILL: You're going to turn to page 30
18 of the brief, Addendum A.

19 JUDGE PILLARD: Wait. Reply brief of public
20 health and environmental petitioners?

21 MR. BRIGHTBILL: Yes, Your Honor.

22 JUDGE MILLETT: Oh, okay. Good.

23 JUDGE PILLARD: Oh, I see what you're saying.

24 JUDGE MILLETT: I was panicked because I didn't
25 have one that said NGO, so.

1 MR. BRIGHTBILL: Yes.

2 JUDGE MILLETT: Okay. What page?

3 MR. BRIGHTBILL: Judge Walker, do you have it as
4 well?

5 JUDGE WALKER: I'm working on it, but you can
6 proceed.

7 JUDGE MILLETT: What page did you say?

8 MR. BRIGHTBILL: You know, the other thing we
9 could do is, since we're working with technology, I can
10 actually put it up on the screen. I've done that in other
11 arguments, in other contexts. I don't know if that would be
12 helpful or not if everyone doesn't have it available.

13 JUDGE MILLETT: What page did you say?

14 MR. BRIGHTBILL: I could actually, Judge Pillard,
15 I could actually screen share, is one of the little nifty
16 features here of this Zoom.

17 JUDGE MILLETT: If you could just tell me what
18 page before you do that.

19 JUDGE PILLARD: 30, it's Addendum A.

20 MR. BRIGHTBILL: Yes.

21 JUDGE PILLARD: And I think you can talk about it.

22 MR. BRIGHTBILL: Okay, very good. So --

23 JUDGE MILLETT: Hang on, hang on. All right. I'm
24 getting very confused. The public health, I've got their
25 opening brief. That's why. Sorry. I apologize. Okay.

1 Got it.

2 MR. BRIGHTBILL: Okay. So, if you turn to
3 Addendum A.

4 JUDGE MILLETT: Do you have it, Judge Walker?
5 Would it help you if he puts it up on the screen?

6 JUDGE WALKER: I believe that if I don't have it,
7 I'll have it within 10 seconds, so please, please don't wait
8 on me.

9 JUDGE MILLETT: Talk slowly.

10 MR. BRIGHTBILL: Talk slowly. All right. So
11 Addendum A engages in exactly the same exercise that, you
12 know, that EPA has engaged in throughout the years, and
13 which they disparage is one that you can't do, we can't make
14 sense of. But in Addendum A, they do it. And when you read
15 it, it's long, it's unwieldy, but it doesn't render
16 something that is incomprehensible, like the 1983 Supreme
17 Court case they had to go looking very hard to identify.

18 And what they do is akin to what we did. They
19 leave in the 28 words. But the 28 words don't matter to
20 either their argument or our argument, which is why we
21 removed them, Your Honor, to tighten up the number of words
22 in our brief. But they're all here now, so since that issue
23 has been put on the table, you can now --

24 JUDGE MILLETT: But they say, one of the things
25 they say is it ends up sounding like it's the Administrator

1 that's determining the standard for each. Right? The state
2 will do it, but the state must submit to the administrator a
3 plan in which the administrator has adequately determined
4 for, has determined for an existing source.

5 MR. BRIGHTBILL: Yes. So let me explain how the,
6 how it works because this question was asked earlier, and I
7 don't know the answer was completely clear because there was
8 a question about the standard. Okay? And the way that this
9 statute works, has been interpreted by EPA for years, is,
10 you know, you identify your category of sources, all right?
11 And here in (d), it's for existing sources, and we're
12 dealing with fossil fuel-powered electric utilities, Your
13 Honor.

14 And then, what you then do is you go back, and you
15 say what's the best system of emissions reduction?
16 Essentially, what's the state-of-the-art (indiscernible)
17 pollution for this category of sources, Your Honor. And so
18 you then, so in the ACE Rule, which we'll come to, EPA has
19 identified heat rate improvement as the best system, the
20 best technologies and techniques for improving emissions
21 reductions at this category of sources. So then, now, once
22 you've identified the technology, then the technology is
23 then used as the baseline for, again, this is EPA doing
24 this. We totally acknowledge that. And that's why
25 ultimately the ellipse does not matter.

1 Then what EPA does is they go out, and they create
2 standards of some sort that reflect the degree of limitation
3 that's achievable. So, sometimes it may be we think, as,
4 I'll give an example, using the example of this record. In
5 the Clean Power Plan, they ultimately backed into a number
6 in order to achieve their planning objective. They
7 ultimately backed into mathematically a number that would
8 come down to 1305 pounds of CO2 per megawatt hour, Your
9 Honor. So that was the, that was the standard in the Clean
10 Power Plan.

11 In the ACE Rule, what the standard for emissions
12 that they came up with were the heat rate improvement index
13 table that is in the ACE Rule, Your Honor. So they come up
14 with the standards that reflect the degree of a limitation
15 achievable, okay? And then it's ultimately the state in
16 111(d) that sets the final standard, that emission standard
17 that the actual source has to meet. So that's just the,
18 that's the way the statute has been read by EPA continuously
19 from the beginning until now.

20 JUDGE MILLETT: Well, a couple of things, but one
21 is this indirect object theory of application, if I recall
22 correctly has never before been articulated, included by any
23 one of the 155 petitioners challenging the Clean Power Plan.
24 Is that correct?

25 MR. BRIGHTBILL: It is correct, Your Honor, which

1 is one of the reasons --

2 JUDGE MILLETT: And the EPA, then, also did not
3 advance this reading, this plain, apparently plain reading
4 of this statutory language.

5 MR. BRIGHTBILL: Until the Clean Power Plan
6 repeal, Your Honor --

7 JUDGE MILLETT: Yes, okay. All right.

8 MR. BRIGHTBILL: They did not advance the indirect
9 object.

10 JUDGE MILLETT: Okay. So that aspect of your
11 argument is not something you've been doing all along or
12 that anyone else happened to notice.

13 MR. BRIGHTBILL: No, no. Not that aspect of the
14 argument.

15 JUDGE MILLETT: Okay.

16 MR. BRIGHTBILL: So let me tell you, then, what
17 they did do in the Clean Power Plan --

18 JUDGE MILLETT: Which is kind of key to your
19 Chevron step 1 argument.

20 MR. BRIGHTBILL: Well, here is what the Chevron
21 step 1 argument now ultimately is here, with this in front
22 of you, Your Honor. Which is that, what EPA did in the
23 Clean Power Plan, and the preamble, the CPP preamble
24 acknowledges this, is in order to make generation shifting
25 work in the Addendum A, in what you see before you, they had

1 to strike out the word application and insert the word
2 implementation. And application is narrower than
3 implementation. Implementation doesn't require an indirect
4 object --

5 JUDGE PILLARD: I don't see that. Are you still
6 talking about page 30?

7 MR. BRIGHTBILL: Yes, page 30.

8 JUDGE WALKER: What line?

9 JUDGE PILLARD: I don't see application struck out
10 anywhere.

11 MR. BRIGHTBILL: No, no, no. I'm telling you what
12 the CPP interpretation is. This is the --

13 JUDGE MILLETT: We're not looking at this anymore.

14 JUDGE PILLARD: Can I look at the statute and just
15 ask you a couple questions that I think go to your, to your
16 theory?

17 MR. BRIGHTBILL: Yes. Yes, Your Honor.

18 JUDGE PILLARD: So, you talk in your brief about
19 interpretative first principles, and you mention that
20 because 111(a)(1) is entitled definitions, it can't have an
21 independent effect. And again, I think the petitioners here
22 have said, oh, often definition sections have independent
23 effect and describe things like the, the scope of the
24 authority of the agency. And you refer to this as contrary
25 to statutory interpretation 101. What authority should I

1 look to for that, that proposition that 111(a)(1) by itself
2 is, you know, unambiguously has to pick up 111(d)(1)?

3 MR. BRIGHTBILL: Well, I think it is a standard of
4 legislative drafting that definitions are used as a shortcut
5 to prevent you having to use long phraseology in the context
6 of an operative provision. So would say that just is a
7 basis.

8 JUDGE PILLARD: But, but --

9 MR. BRIGHTBILL: I'm not saying it always works.
10 And --

11 JUDGE PILLARD: Right. No, I was asking you a
12 slightly different question, which is your point that it
13 doesn't have sort of legally operative effect, not, you
14 know, actually being the place where a power is described.

15 MR. BRIGHTBILL: So, I'm not sure I understand
16 your question, Your Honor, but. So, but EPA unambiguously,
17 in the Clean Power Plan, and again, this is in the Clean
18 Power Plan final preamble, 64762, column 2, where it
19 acknowledges that these 111(a)(1) has to be read into
20 context with 111(d)(1) in order to make sense of this. And
21 that this is an important limitation of Congress. They
22 wrote when read in context, they were referring to 111(a)(1)
23 with (d)(1). The phrase system of emission reduction
24 carries important limitations because the degree of emission
25 limitation must be achievable through the application of the

1 best system of emissions reduction. The system of emissions
2 reduction must be limited to a set of measures that work
3 together to reduce emissions, Your Honor.

4 And then they further said at the, at Fed. Reg.
5 64720, column 2, that in another formulation of this same
6 language, EPA interpreted this phrase to carry an important
7 limitation because the emission guidelines for the existing
8 sources must reflect the degree of emission limitation
9 achievable through the application of the best system of
10 emission reduction adequately demonstrated. This system
11 must be limited to measures that can be implemented, quote,
12 applied by the sources themselves that as a practical matter
13 by actions taken by the owners or operators of the sources.

14 So EPA fully acknowledged, when you take a look at
15 this, at what they did here, they crossed out application
16 and inserted implementation. They crossed out existing
17 source and inserted owner-operator so that the provision
18 would now read and make sense, establishing standards for --

19 JUDGE MILLETT: Well, hang on a second. For these
20 heat changes that your ACE rule does, my assumption is that
21 the plant itself isn't doing it, but the owner-operators are
22 making those changes.

23 MR. BRIGHTBILL: Well, they're both doing it, Your
24 Honor. Under the --

25 JUDGE MILLETT: Well, the plant itself is a

1 physical, a physical building, the facility. It's not doing
2 anything. It's an inanimate object. It's always, it's got
3 to be something the owner-operators can do. Now you would
4 say at their facility or on their machinery, I guess.

5 MR. BRIGHTBILL: Yes, but the distinction --

6 JUDGE MILLETT: But I don't understand, I guess
7 I'm a little confused about the owner-operator thing because
8 that's who's always doing, either applying or implementing
9 whatever the standards are. Isn't that right?

10 MR. BRIGHTBILL: Yes. The distinction there, Your
11 Honor, and this is the same distinction that was of
12 consequence in the U.S. Sugar case or the D.C. Circuit found
13 at Chevron step 1 that you couldn't average across units is,
14 the distinction there, Your Honor, is that these sources
15 under the Clean Power Plan, the actual sources don't have to
16 do those things. Only the owner has to do it. So, the
17 owner may choose to have no system of emissions reduction
18 applied at certain existing sources and instead do other
19 things other places other ways. And so what you have is you
20 have a --

21 JUDGE MILLETT: In the Sugar court case, it was
22 you had much more narrow language. And the fight wasn't
23 about owners versus facilities, because it's always the
24 owners-operators that are making the changes. These things
25 don't evolve on their own. It was there you had language,

1 the plain language (indiscernible) was that, was by the way
2 not 7411, 7429, which requires EPA to regulate emissions
3 from solid waste incineration units and defines an
4 incinerator unit as a distinct operating unit of a facility.
5 And so it was in that sense that they said you can't sort of
6 group facilities together in the way that they were for
7 averaging. But you don't have that language here. You just
8 have facility, and you have language that says you do, you
9 set standards for, not at, for a facility.

10 MR. BRIGHTBILL: Your Honor, you --

11 JUDGE MILLETT: So that's the language I think
12 we've got to grapple with here.

13 MR. BRIGHTBILL: Your Honor, you do have parallel
14 language. First of all, again, 129, they only cite 129 in
15 Sugar. But 129, if you go and pull the statute, is actually
16 the 111 bolt-on that actually is derived from --

17 JUDGE MILLETT: I don't know. It's not the
18 language in 7411 is all I'm saying. Or, that's right? We
19 can agree on that?

20 MR. BRIGHTBILL: You are correct, Your Honor.

21 JUDGE MILLETT: Okay.

22 MR. BRIGHTBILL: But I'm just explaining what --

23 JUDGE MILLETT: The plain language in the
24 (indiscernible) plan is not the plain language we're
25 interpreting here?

1 MR. BRIGHTBILL: Correct, Your Honor. But there
2 are languages that do, there is language that does the same
3 kind of lifting as unique in this structure, i.e. the
4 singular, their use of the word particular, Your Honor, is,
5 and then, but ultimately what you have there is, in the
6 first instance --

7 JUDGE MILLETT: But I just want to, can we just
8 take it one step at a time? I want to get your owner-
9 operator. I guess I'm, again, I'm still just confused as to
10 what that problem was because it's always going to be
11 something that owner-operators can apply to a facility.

12 MR. BRIGHTBILL: Because --

13 JUDGE PILLARD: I don't want to interrupt because
14 Judge Millett has a lot of questions we want her to be able
15 to return to it. But I'm confused by why we're spending
16 time on this, because in your own brief, EPA says it's a
17 truism that the source does not by itself comply with a
18 regulation. Its owner does by application of controls. And
19 I don't think that there is anything turning on, you know,
20 whether it's the source or whether it's the owner of the
21 source. Well, I mean, I guess there is, but I thought you'd
22 already conceded that the owner is the operative actor here.

23 MR. BRIGHTBILL: Yes, but the owner has to act in
24 the context of the existing source. Okay? What the CPP did
25 and where it goes beyond the scope of the statute is that

1 the owner-operator is able to comply by doing nothing at
2 existing sources, Your Honor, whereas the statute requires
3 application of the best system of emission reduction, heat
4 rate improvement, at the source, Your Honor, to the source,
5 Your Honor. So --

6 JUDGE MILLETT: Well, that at, your at and to is,
7 again, so now we need to back up there. It has to be
8 something, a standard of performance, which is just the
9 emissions standard for the facility. I guess I'm not, I'm
10 having trouble seeing how a standard of performance, an
11 emission limit for a facility by plain language means
12 application of a technology, a hard technology at the
13 physical plant.

14 MR. BRIGHTBILL: Sure, so I'll just --

15 JUDGE MILLETT: That's what I'm having trouble
16 with.

17 MR. BRIGHTBILL: Yes, let me just walk through it
18 all the way, just reading the provision, Your Honor. And if
19 you start at the (a) on page 30 which establishes, okay,
20 establishes standards for emissions of air pollutants which
21 reflect the degree of emission limitation achievable through
22 the application of the. Now, here's where we get the
23 dispute, and we have two different examples. We have the
24 Clean Power Plan example, which inserts effectively the
25 words generation shifting in there, and then the ACE

1 example, which inserts the words --

2 JUDGE MILLETT: Well, but the Clean Power Plan
3 also did the heat, some of the same heat, heat efficiency,
4 right? That was block 1, right?

5 MR. BRIGHTBILL: It was block 1, Your Honor, but
6 that was, but the Clean Power --

7 JUDGE MILLETT: Was there anything wrong with the
8 block 1 in the Clean Power Plan?

9 MR. BRIGHTBILL: The way they implemented block 1
10 in the Clean Power Plan was also based on averaging,
11 ultimately, Your Honor, across a regional basis. It was not
12 based on the implementation at particular sources. And so,
13 yes, there was. It's not the same form of heat rate
14 improvement as the heat rate improvement that was then
15 developed and applied for particular sources by the ACE
16 Rule.

17 JUDGE MILLETT: So that was textually --

18 JUDGE PILLARD: I'm confused by that. If we had
19 only had only block 1 in the Clean Power Plan
20 (indiscernible).

21 MR. BRIGHTBILL: It would still have been invalid,
22 Yes, Your Honor, because it was based on averaging, regional
23 averaging. It was not based on --

24 JUDGE MILLETT: What is the if it was based? The
25 heat technology isn't based. You mean the standard was

1 based?

2 JUDGE PILLARD: The guideline that the federal
3 government asked the states to --

4 JUDGE MILLETT: The BSER?

5 MR. BRIGHTBILL: Right, right. Under the --

6 JUDGE MILLETT: Or the state standard? The BSER
7 was based on averaging --

8 JUDGE PILLARD: That is really interesting. Okay.
9 That's helpful. That's come into focus.

10 MR. BRIGHTBILL: Okay. So, I'm sorry. So, yes,
11 it was based on averaging. It wasn't based on particular
12 sources. But it was based on average -- I'm tying myself up
13 here a little bit, Your Honor. But it was based, it was
14 based on averaging across what the industry could do. And
15 to create --

16 JUDGE PILLARD: So, okay. So one could not have,
17 so this, I mean, maybe this gets, we should maybe let this
18 be argued in the later part of the argument where these
19 questions are actually about what is open to the states in
20 terms of implementation flexibility. But that's part of
21 your argument that the BSER in the Clean Power Plan was
22 based on an assumption that there might be some
23 collaboration among, required by states to get better
24 results using only building block 1?

25 MR. BRIGHTBILL: So the way the building block 1

1 focused in, and again, if you go back through the eight-step
2 process that's laid out, is they looked at what they thought
3 an average heat rate improvement reduction could be across
4 the category, and then --

5 JUDGE MILLETT: Is that what the best system
6 always does, or are you actually taking the view, I had
7 thought you weren't, that the best system has to be targeted
8 at individual facilities? Otherwise, EPA has to make sort
9 of a sort of cross-cutting sense of the facilities here and
10 what they can do. It's setting a single standard, is it
11 not?

12 MR. BRIGHTBILL: Yes, no, you're right, Your
13 Honor. I'm clarifying what I said earlier on how the heat
14 rate improvement factored in. So, the way the heat rate
15 improvement was done in the Clean Power Plan was different
16 than how the heat rate improvement was implemented in the
17 context of the ACE Rule. In there, it was still
18 technologies that could be implemented at the source, and
19 then they used that to create a rate that was kind of an
20 average rate for all facilities, Your Honor.

21 JUDGE MILLETT: So that was good to there. That
22 was under your theory, right?

23 MR. BRIGHTBILL: That's okay to there, Your Honor.
24 And then, but at that point, and that, but at the point at
25 which they then utilized this concept of generation-shifting

1 as the best system for building blocks 2 and 3 --

2 JUDGE MILLETT: That's block 2.

3 MR. BRIGHTBILL: Yes.

4 JUDGE MILLETT: I know, but we're only asking
5 about building block 1.

6 MR. BRIGHTBILL: Right, right. But from a
7 statutory --

8 JUDGE MILLETT: (Indiscernible) 2 and 3. If all
9 you had was building block 1, who cares about averaging they
10 would do if they had a building block 2?

11 MR. BRIGHTBILL: Right, it's --

12 JUDGE MILLETT: You have to do averaging or a
13 typical sense of establishing, or some cross-view of what's
14 most likely in establishing a BSER.

15 MR. BRIGHTBILL: To create the standard for
16 building block 1, you do, Your Honor, yes. So what I'm
17 talking about here is building blocks 2 and 3, though --

18 JUDGE MILLETT: Okay, but the question to you was
19 whether there was a problem with building block 1.

20 MR. BRIGHTBILL: So building block, yes, building
21 block 1 was, was systems that could be applied to the
22 source, Your Honor. And so building block 1, they said it
23 couldn't stand by itself.

24 JUDGE MILLETT: By the owners and operators.

25 MR. BRIGHTBILL: Right, by -- well, by the

1 sources. It had to be applied at the sources. The only --

2 JUDGE MILLETT: At the sources and by the source,
3 right? I'm saying who's doing it at the source, the owners
4 or operators?

5 MR. BRIGHTBILL: Correct. But ultimately, source
6 is a subset of owner, Your Honor. And the reason why they
7 had to expand the definition of source to encompass owner
8 was because generation shifting would no longer require that
9 the, that you had actual reduction -- we no longer looked to
10 what was achievable at the source.

11 JUDGE MILLETT: Right. They used the preposition
12 for, which is in the statute.

13 MR. BRIGHTBILL: Yes, for. Yes, for any existing
14 source, Your Honor. But the --

15 JUDGE MILLETT: Which is what the statute says.

16 MR. BRIGHTBILL: It does say that, Your Honor. It
17 says --

18 JUDGE MILLETT: It doesn't say at any existing
19 source.

20 MR. BRIGHTBILL: Well, it says to any -- you have
21 to say to any particular source later on the statute. So it
22 uses both prepositions at different points. The preposition
23 for --

24 JUDGE MILLETT: It's all a good argument for why
25 it's ambiguous.

1 MR. BRIGHTBILL: It isn't, Your Honor, because the
2 one thing that it doesn't do is, the statute can't, you
3 can't change the word from, from the narrower word
4 application to implementation. You can't excise out the
5 words existing source and substitute in that you can set the
6 compliance measure to determine the best system. What the
7 owner or operator is able to do holistically, even if
8 particular sources cannot apply the best system of emissions
9 reduction, and that's what the Clean Power Plan leaves to
10 statute is because --

11 JUDGE MILLETT: Okay.

12 MR. BRIGHTBILL: -- existing sources cannot apply
13 generation shifting. Generation shifting --

14 JUDGE MILLETT: Right. Sorry. I'm sorry --

15 MR. BRIGHTBILL: -- is applied to the grid.

16 JUDGE MILLETT: Got it. Sorry. Mr. Brightbill,
17 we are getting way, way, way over time here, and we have a
18 lot of people lined up to argue. I think you're even coming
19 back again, so. I think what we will do, I want to see if
20 my colleagues have more questions for you. Otherwise, I
21 think we will let Lindsay See jump in. I'm trying to see,
22 Ms. See, where you are. Okay. Judge Pillard or Judge
23 Walker, do you have more questions for Mr. Brightbill at
24 this stage?

25 JUDGE WALKER: No. I'm fine.

1 JUDGE MILLETT: Okay. I apologize. I could learn
2 from you guys talking all day, but we have to let everyone
3 have a chance, so. All right, Ms. See.

4 MR. BRIGHTBILL: Thank you.

5 ORAL ARGUMENT OF LINDSAY SEE, ESQ.

6 ON BEHALF OF THE INTERVENORS.

7 MS. SEE: Good morning, Your Honors. May it
8 please the Court. I'm Lindsay See on behalf of the state
9 and industry in support of EPA. Section 111 is about EPA's
10 authority to help ensure that certain regulated sources
11 operate efficiently. It's not about how we were to decide
12 which electricity plants get to operate or how much they can
13 produce. Those are very different questions for an energy
14 planner or a public utility regulator. They have
15 implications far beyond the environment, and they are
16 squarely within the zone of the states' traditional powers.

17 Congress, and reviewing courts, for that matter,
18 have been extremely careful not to infringe that zone, even
19 in the context of FERC, which is the federal agency that
20 plainly does have expertise over federal energy issues. So
21 it would be extraordinary for Congress to delegate this
22 authority implicitly to EPA. In this --

23 JUDGE PILLARD: Ms. See, I have a question in that
24 framework. And this is a hypothetical. If carbon capture
25 were equally as expensive or inexpensive as the heat rate

1 improvements that the agency has included within the best
2 system of emission reduction under the ACE Rule, you would
3 not think there would be any bar in the statute to requiring
4 carbon capture?

5 MS. SEE: Your Honor, of course. As long as it's
6 something that's applicable at the source and it's something
7 that meets all of the other statutory criteria that EPA has
8 to consider when setting the BSER, no, that that would not
9 be the same problem that we have here, where we have a
10 standard that requires changes across the entire regional
11 energy grid. And that --

12 JUDGE PILLARD: And so too with co-firing of
13 natural gas or of biofuels, questions of cost?

14 MS. SEE: Yes, Your Honor. Of course, as we'll
15 discuss much more later, there are significant record-based
16 concerns that justify EPA's decision not to require those
17 here, the same way that EPA didn't under CPP.

18 JUDGE PILLARD: And the cost that EPA is supposed
19 to consider, is that cost to the system as a whole, that
20 sort of average cost to American rate payers, or does the
21 agency have to take into account that maybe a plant is in a
22 very disadvantageous economic situation, and that it can't
23 afford to do something that as a social, as an aggregate
24 matter would actually be good for somebody?

25 MS. SEE: Well, Your Honor --

1 JUDGE PILLARD: What's the unit of cost, what's
2 the lens for considering cost?

3 MS. SEE: Your Honor, there's a little bit of both
4 in there. And that's because when EPA is setting the best
5 system, it is looking at more of a national focus to see
6 what is adequately demonstrated across the nation. And
7 there is, there also has to be room for the state to do
8 their important role under 111(d), which is to consider
9 source-specific factors. So when EPA is making this best
10 system determination, it has to be something that allows
11 states to have those deviations. And that's something that
12 CPP did not allow.

13 The agency there backed itself into an emissions
14 limit that would not allow any sources to actually achieve
15 it in practice. And we can see that CPP was upfront about
16 that, and so were Mr. Wu's (indiscernible) that the only way
17 for a source to actually achieve the stringent emission
18 limits would be through something like carbon capture and
19 co-firing, which even in CPP the agency recognized would not
20 be adequately demonstrated. So we have something very
21 different here. We have a type of rule that is trying to
22 change the type of sources that actually operate and how
23 much that they can produce.

24 JUDGE PILLARD: Well, I mean, that's one way of
25 characterizing it. But why isn't it also a standard of

1 performance to contemplate that a plant might be performing
2 at 90 percent or 80 percent capacity, or 50 percent
3 capacity? I mean that's something that the plant is doing
4 at its cite, right?

5 MS. SEE: Yes, Your Honor. And in fact, as we'll
6 talk about more with the ACE Rule, some of the seven options
7 that the agency gave here actually take into account the
8 actual, on-the-ground reality that some plants don't operate
9 at 100 percent, so the rule actually gives flexibility for
10 plants in that situation to still make efficiency
11 improvements. But that's different from setting a BSER that
12 tries to make the decision of how much they should operate.
13 So the difference between --

14 JUDGE PILLARD: But the ACE Rule doesn't actually
15 make any of the decisions about any individual plants. The
16 states do. Actually you, the way you articulated it
17 actually seemed quite clear to me in that regard. The
18 federal government is not looking at the, what's required of
19 individual plants.

20 MS. SEE: Well, Your Honor, it is true that the
21 states set the particular (indiscernible) performance in
22 this case. But that's the problem of something like the
23 BSER in the CPP. It doesn't let the states actually do that
24 because it already pre-judged what's achievable in that case
25 by setting an overall average emission limit that any

1 particular unit can't meet. And it's not even just at the
2 unit level.

3 CPP was very upfront that even many states would
4 not be able to meet it by trading within the states
5 themselves. That's why when you look at the methodology
6 behind CPP, again, the agency was very upfront that they
7 knew that trading would have to come from other states. We
8 can see that at 80 Fed. Reg. 64807 through 809 where EPA
9 explains that it's looking specifically at the regional
10 level because it knows that some of these changes, in fact
11 many of them, can't be achieved at a statewide level.

12 And that's easy to understand as a matter of
13 geography. When there's an aggressive push to move away
14 from fossil fuel-fired plants to natural gas and ultimately
15 renewable, we have a very different sort of system with
16 states like West Virginia and North Dakota that's
17 predominantly coal-fired based is simply not going to be
18 able to make those changes. And that results in to
19 decreases in the number of plants that can operate, which
20 has significant effect to the people of our states.

21 Those decisions, how many plants we need and what
22 type of plants we need in order to give fair prices and
23 effective reliability, that's what states do. That's what
24 it means to be a public utility regulator. And CPP was
25 taking the --

1 JUDGE MILLETT: But is the real point here of this
2 statute is that states who do that, but boundaries are
3 imposed because when states choose power production sources
4 that pollute, and it turns out they don't keep that
5 pollution within their state, and so it's not as though this
6 is something that's a self-contained entire, you know, issue
7 for states alone. That's the whole point of the Clean Air
8 Act is there has to be a balance. And so if it turns out
9 that some state choices, and maybe there's a mix of choices,
10 but some choices within a state, not all of them are having
11 deathly consequences for the United States, people in that
12 state and neighboring states, and maybe in the entire United
13 States. And the Clean Air Act gets to step in and set
14 standards for what you're allowed to put into the air. And
15 then your choice is within that range, right, you've got to
16 meet that target? Correct?

17 MS. SEE: (Indiscernible.)

18 JUDGE MILLETT: It's still in the state's
19 discretion, but it has to be, it's subject to that target
20 number. And then the state gets to choose, maybe we can get
21 to that target using our own forms of regulating the timing
22 of production, the conditions under which production occurs,
23 the technology that's used. Or maybe we can't, and then
24 we're going to have to do something a little different.
25 It's technology forcing, which is what the Clean Air Act has

1 long been recognized to be.

2 MS. SEE: Your Honor, when the agency, when the
3 EPA is fulfilling its purpose to reduce pollution, it's
4 important in the preamble to the Act Congress said that goal
5 is subject to revisions of the statute. And we're looking
6 specifically at 111(d), which has a more limited purview for
7 the agency. Even under 111(b), for instance, the agency is
8 allowed to have more extreme measures for new sources. And
9 that makes sense, because when you're starting from scratch,
10 you can require a new facility to incorporate all of the
11 state-of-the-art technology controls that exist.

12 We don't have the same situation with states, and
13 that's why Congress was very clear to ensure -- I'm sorry,
14 with existing sources. And that's why Congress was clear to
15 ensure that states would have the ability to consider what
16 actually exists, what is actually possible for an existing
17 source, and for states to be able to look at some of the
18 consequences and ripple effects from dramatic changes to the
19 existing composition of energy units within our fleet.

20 JUDGE MILLETT: Okay --

21 JUDGE PILLARD: Now --

22 JUDGE MILLETT: I'm sorry. This is in one -- is
23 it okay, Judge Pillard, just one technical question to make
24 sure I understand how the statute works. Between new and
25 existing sources, when do we ask whether something is new?

1 Is it every time a new regulation is promulgated? So
2 something that is a new source under one regulation, say it
3 was a new source under the, under the, let's say, Clean
4 Power Plan or under some regulation in, let's just imagine a
5 regulation in 2010, they're a new source. And then a new
6 regulation comes along setting new standards of performance
7 in 2012. Would those new sources then turn into existing
8 sources?

9 MS. SEE: Your Honor, it depends. In 111(b), it's
10 not only focused on new sources but also modified sources.

11 JUDGE MILLETT: Right. But put that aside.
12 Right, that's a separate question. But I'm just trying to
13 understand when you're new and when you're existing, it
14 changes each time a new standard of performance is set? Is
15 that right?

16 MS. SEE: No, Your Honor. Because if that were
17 the case, whenever EPA issued a standard under any of these
18 provisions, then every source, new or existing, could be
19 retroactively pushed back into the new source bucket. That
20 would be the distinction between new and existing doesn't
21 have any role in the statute.

22 JUDGE MILLETT: But when is it new? Because new
23 is defined in terms of, you know, the timing of when a
24 regulation was promulgated.

25 MS. SEE: Of course. And that has to do with some

1 of the regulations that are initially promulgated under the
2 statute. But here, of course, there's no question we're
3 talking about what is an existing source. That's the only
4 authority that EPA relies on --

5 JUDGE MILLETT: That's a new, so something --
6 sorry. You're talking about the initial regulations? When
7 were they promulgated?

8 MS. SEE: Your Honor, I'm not sure particularly
9 which ones we're focusing on at that point. There's
10 certainly been different ones at different times the Act was
11 amended. But respectfully, Your Honor, that's not relevant
12 to decide what --

13 JUDGE MILLETT: Well, I'm just trying to
14 understand how the statute works, that's all. So, I guess
15 that may not be relevant. But I don't understand why it's
16 relevant or not if I don't know how it works, but.

17 MS. SEE: No, no, no. Of course, Your Honor. And
18 here, what we do have is, the statute is clear --

19 JUDGE MILLETT: Are there things within, is it
20 your state that were new and then became existing under a
21 new regulation?

22 MS. SEE: I don't believe that's the case, Your
23 Honor. I will have to confirm that. And I am speaking
24 again later, so I could confirm some of the --

25 JUDGE MILLETT: So is existing locked in at like

1 1990, the last time the statute was amended, or? It's
2 defined in terms of regulations, so I'm confused.

3 MS. SEE: Well, no, Your Honor. I think I, I
4 think I may understand where this is -- the question is when
5 we have a new regulation in place, the question is, so for
6 instance, in 2015 when CPP was applied, what existed at that
7 point and what was going to be new at that point going
8 forward? So I think that --

9 JUDGE MILLETT: The things that were new then are
10 now existing under the ACE Rule?

11 MS. SEE: I'm sorry. Things that were new then,
12 potentially there could be some sources that would have been
13 rebuilt after the ACE rule that would now be existing. So
14 that is the way that it could work. Once you build it at a
15 particular snapshot in time, once you have a new source --

16 JUDGE MILLETT: Okay.

17 MS. SEE: -- (indiscernible) modification, then
18 the new source rules would apply. But once you, once it is
19 existing, then a new new source rule comes out at that
20 point, it wouldn't retroactively apply to that.

21 JUDGE MILLETT: I'm sorry. I cut off Judge
22 Pillard. She had a question.

23 JUDGE PILLARD: I was just looking at your brief,
24 Ms. See. And I'm trying to sort of clarify in my own mind
25 the interaction between your federalism argument that the

1 Clean Power Plan and the interpretation of the statute
2 that's reflected in the Clean Power Plan was an intrusion
3 into the state's traditional authority over regulation of
4 utilities. And that, and how that interacts with the
5 argument that you make that, that we should interpret the
6 statute to prohibit the states from using, from choosing
7 their own means to implement whatever the federal guideline
8 is, that we should interpret the statute to prohibit
9 generation shifting or emission trading, that seems like the
10 opposite of federalism argument, the opposite of an argument
11 for maximum flexibility on the part of the states.

12 And I guess I have two questions. One is, really
13 the decisive question is where do you find authority for
14 that latter position in the statute? Why would you be
15 foreclosing, you know, arguing that the statute forecloses
16 compliance choices?

17 MS. SEE: If I may, Your Honor, I'd like to be
18 very clear about what the coalition I'm representing is and
19 is not arguing on that compliance point. The primary
20 argument we made in our second brief on the ACE Rule is that
21 this issue is not ripe for judicial consideration. The
22 question of what might be included in a hypothetical state
23 plan and whether EPA would or would not approve it, those
24 are contingent events that may or may not happen, and this
25 Court should resolve that question in the context of any

1 challenge that might be brought to a decision to reject a
2 state plan that included trading. So is the primary
3 position of the coalition. And to be candid, there is --

4 JUDGE PILLARD: And I appreciate that. But I was
5 really looking at the merits argument that you made that
6 just seems extraordinary to me for a state arguing, you
7 know, maybe we're preempted, but in any event, we can't, we
8 can't meet the standards with this, the full suite of
9 possibilities. I'm just not sure where that's coming from
10 as a matter of statute or federalism.

11 MS. SEE: Certainly, Your Honor. And let me be
12 clear. There are two points that we made in our brief where
13 the whole coalition agrees. I will be candid, there is some
14 disagreement among members of our state and industry
15 coalition on this issue. The first is that that argument is
16 premised on 116, which is a states' rights savings clause.
17 And what 116 means is nothing in the Clean Air Act says that
18 the state can't have a more, a more aggressive standard as
19 long as it doesn't conflict with or is preempted by federal
20 law. And in fact, the petitioners acknowledge that this
21 happens. In the Consolidated Edison brief at page 15, they
22 acknowledge that they engage, although they're subject to
23 generation shifting routines because of California state-
24 specific requirements.

25 So 116 is simply serving a separate purpose. It

1 says that nothing stops states from having separate
2 compliance, sorry, separate standards under their own laws.
3 The second point the coalition argued in our brief is that
4 this Court should not hold that EPA is always required to
5 accept every plan that has trading. And the rationale
6 behind that is once a plan is approved by EPA, it becomes
7 federally enforceable. And there's something odd about
8 saying that if EPA lacked the authority to mandate
9 generation shifting and trading in its own right, that that
10 can indirectly become a matter of federal law if a state
11 includes it in their plan.

12 JUDGE PILLARD: The point is that it would it be,
13 I mean, I thought you were saying it should not be free,
14 states should not be free to do that. But I'm just flagging
15 that that's, that that's not, not entirely clear. And I
16 asked you about cost and what the unit of assessment is.
17 And your understanding under the statute of how EPA is
18 supposed to look when it's designing their best system of
19 emission reduction, they're supposed to take costs into
20 account. And it also needs to take energy needs into
21 account. And is it your understanding that it does that on
22 a, on a national basis, or a grid-wide basis, or a state
23 basis? You know, does EPA look at the state of West
24 Virginia and assume that West Virginia is being energy self-
25 sufficient or not?

1 MS. SEE: Your Honor, that's not part of EPA's
2 mandate at all. The Supreme Court is very clear when it
3 comes to supply for a state and costs about reliability and
4 cost-effectiveness. That belongs to the states. That's not
5 something for EPA to set to say how many coal-fired plants
6 West Virginia needs to stay afloat. That's a different sort
7 of decision, and that was --

8 JUDGE PILLARD: I'm just referring to, and maybe
9 I'm misreading this. Probably I am. In 111(a)(1) where in
10 setting the best system of emission reduction, EPA is
11 supposed to take into account the costs of achieving
12 reductions, not air quality, health, and environmental
13 impact, and energy requirements. Is that just the
14 requirements of implementing the changes, not the national
15 energy requirements that might be impaired. For example,
16 they shut down some facilities.

17 MS. SEE: Your Honor, it's certainly the cost to
18 actually implement them. But to your question of the
19 effects it would have on the grid, when it comes to
20 considering the incidental effects that a regulation may
21 have, that may be an appropriate consideration. But that
22 doesn't mean that because EPA has to use that to limit its
23 very clear authority to set environmental regulations that
24 it can just jump to setting those grid reliability concerns
25 in the first instance.

1 And that's what we had in CPP. This isn't a
2 regulatory statute that has some consequences for the
3 electricity grid. This is a statute that is specifically
4 trying to change that grid. And that's the important
5 difference, especially from the federalism standpoint. We
6 can see that --

7 JUDGE PILLARD: You misspoke. You don't mean a
8 statute, you mean the plan.

9 MS. SEE: Yes. I apologize, Your Honor. Correct.
10 And so because we have a vast system under EPA that presumes
11 what the result would be in terms of which plants continue
12 to exist and how much they operate, this is different from
13 the traditional energy regulation that had downstream
14 effect.

15 JUDGE PILLARD: So you mentioned reliability, and
16 you mentioned the potential energy requirements of the
17 actual implanting the BSER, but it's not for EPA to consider
18 the sufficiency of energy production nationwide at all.
19 That's up to the states?

20 MS. SEE: Well, let me clarify my position. My
21 position is when EPA has a rule and there are going to be
22 broad consequences on reliability, that's certainly a factor
23 that they have to take into account. That can be part of
24 the broader understanding of what costs are. But that does
25 not mean that EPA gets to have a rule that is predominantly

1 focused on those type of decisions.

2 That's the difference we have here. EPA has to
3 focus on predominantly environmental regulations and then
4 consider the consequences. What it can't do is enact an
5 energy planning statute and then reverse engineer a standard
6 to make it seem like this is actually in its lane as an
7 environmental regulator. Even in the context of federal
8 agencies like FERC that do have an impact (indiscernible),
9 the Supreme Court is extremely careful to keep the line
10 between what appropriate downstream consequences are and
11 infringing the states' authority. The decision --

12 JUDGE PILLARD: We're on the same page. We're on
13 the same page. Great. That's helpful. Thank you.

14 MS. SEE: Okay, thank you, Your Honor.

15 JUDGE WALKER: Can I, know we're over time with
16 Ms. See, but I would like to take a step back, if I could,
17 Ms. See. Assume that, and I know you may not agree, but
18 assume that the Clean Power Plan would have come at the
19 expense of, as it predicted, 12,000 coal miners' jobs and at
20 the expense of 20,000 other jobs in the coal industry, and
21 at a cost of possibly up to \$80 billion over 10 years. And
22 assume also that the world is warming, and that the warming
23 is man-made, and that the consequences are far costlier than
24 the costs of this plan. None of that is relevant to how we
25 interpret this statute. What do you think this case is

1 about?

2 MS. SEE: I think this case is about what type of
3 authority did Congress give the EPA in Section 111. Did it
4 give it authority to make changes in the composition of the
5 nation's energy grid? That is different authority that
6 belongs to states. And so when EPA is regulating that way,
7 it's intruding beyond where Congress is delegated. The
8 costs of course are other considerations that would go into
9 that factor, but the Court doesn't even need to get that far
10 because there's not a clear expression that Congress
11 intended to give EPA that authority in the first place.

12 JUDGE WALKER: And so what, if I understand you
13 correctly, one of the things this case is about is both the
14 relationship of Congress to the Executive Branch and the
15 relationship of the federal government to the states,
16 correct?

17 MS. SEE: Certainly. Certainly.

18 JUDGE WALKER: What state do you represent?

19 MS. SEE: West Virginia, specifically, but I also
20 represent a coalition --

21 JUDGE WALKER: The coalition, 21 states. And then
22 the other coalition of 23 states that's against you, what
23 state is the lead state in that coalition?

24 MS. SEE: Mr. Wu from New York. And one of the
25 reasons that we can see that states who have divided under

1 this, not to ascribe any motivation on the other side, if we
2 look at the distinctions that CPP made, it falls
3 predominantly on states in our coalition. West Virginia was
4 required to make a 37 percent reduction in emissions across
5 the board.

6 JUDGE MILLETT: I'm sorry. I apologize. Just, it
7 falls, I'm having, I didn't quite hear you. It falls mainly
8 on states that have, did you say coal production?

9 MS. SEE: Predominantly on coal production states,
10 and you see that reflected in the composition of --

11 JUDGE MILLETT: I'm sorry.

12 MS. SEE: -- our coalition as well, yes. And so
13 you see the contrast of the 37 percent reduction versus an
14 11 or 13 or 19 percent reduction from states like California
15 and New York and Maine. That's how we see the
16 disproportionate effects between the states. So a state
17 like West Virginia, that because of our geography and our
18 access to natural resources is predominantly coal-dependent,
19 is going to be in a much different situation than a state
20 that has the ability already within that state to shift
21 back --

22 JUDGE PILLARD: You're not saying that that either
23 bears on the statutory interpretation, right? I mean, the
24 question is about the statutory language. And again, lots
25 of policy arguments one way or the other. But is there any

1 indication anywhere in the Clean Air Act that Congress did
2 not intend to impose more or less stringent obligations on
3 industry where the industry might be clustered in a subset
4 of states?

5 MS. SEE: There is, Your Honor, in the preamble,
6 which says that Congress recognized that it's the primary
7 responsibility of the states to engage on these issues. And
8 we also see that of course in 111(d) itself, where this,
9 where the EPA accepts a procedure, but it's the states who
10 have to substitute standards of performance for each of
11 their sources. And then the end of that provision is very
12 important too because when we do that job at states, we
13 shall consider (indiscernible) the other source-specific
14 factors.

15 So we know that source-specific factors, they can
16 turn on things such as where that source is located, what
17 resources we have access to, how costly it would be, for
18 instance, to put in a gas line to engage in co-firing.
19 Those are source-specific factors that belong to the state,
20 and --

21 JUDGE PILLARD: Right. So the implementation with
22 respect to sources is, we all agree, undeniably on the
23 states. But there is a federal rule on setting standards.
24 And in setting those standards, I'm not aware of anything in
25 the Act that says we can't, the federal government should

1 not set a standard that would end up costing sources in some
2 states more than sources in other states. Indeed, it would
3 seem that every, single environmental, nationwide
4 environmental law and/or regulation would have different
5 effects in different states. Is that not right?

6 MS. SEE: Your Honor, it is true there's no
7 express limitation on that. But I think we can see in
8 effect the statute allows for those sort of considerations
9 because when we're looking at a standard of performance, it
10 has to be achievable. And if we look again to the textual
11 argument there, achievable applies in --

12 JUDGE WALKER: Ms. See -- no, finish your
13 sentence. I'm sorry.

14 MS. SEE: Oh, no. Of course, Your Honor.

15 JUDGE MILLETT: I'd like to hear what you mean by
16 achievable.

17 MS. SEE: Okay, certainly. So, the argument is
18 the actual standard of performance has to be achievable.
19 And the only way to give effect to the way that this appears
20 in both subsection (b) and (d) is to say it's achievable by
21 an actual, particular source. There's a lot of focus that's
22 been made on the fact that subsection (b) talked about
23 sources, but it also talked about --

24 JUDGE MILLETT: What about if it has to, maybe it
25 has to be achievable by the state in setting it --

1 MS. SEE: Well, Your Honor --

2 JUDGE MILLETT: -- for facilities.

3 MS. SEE: Your Honor, even --

4 JUDGE MILLETT: Would that change anything, if you
5 read it that way, as to your argument in that regard?

6 MS. SEE: Well, it certainly wouldn't change
7 anything in terms of whether the CPP repeal was required
8 because the emission standards were not achievable by most
9 states. And so that would simply not be enough to salvage
10 the CPP. But there's also not a textual argument that would
11 allow that resolve. Even Mr. Wu admitted when Your Honor
12 had this conversation with him that it must be achievable by
13 the source. And the question is, is it source or sources
14 more as a whole. We're not looking at the state level or
15 the whole national grid level.

16 So when we're looking at something whether it's
17 achievable by a source, there has to be an understanding
18 that makes sense of both (b) and (d). And (b) talks about
19 (indiscernible) standards of performance for sources. But
20 it's very clear that (d) talks about a standard that can
21 apply to a particular source. And so there has to be an
22 understanding that works for both of them. And the
23 interpretation that petitioners are advancing only makes
24 sense for subsection (b) and not for (d).

25 JUDGE PILLARD: And it doesn't --

1 JUDGE WALKER: Ms. See, is this the -- okay.

2 JUDGE PILLARD: Go ahead.

3 JUDGE WALKER: No, Judge Pillard, please.

4 JUDGE PILLARD: You were trying to speak before.
5 Go ahead.

6 JUDGE WALKER: Ms. See, is this the Clean Power
7 Plan, is this the first time that anyone in the Government
8 has contemplated a generation shifting or a cap and trade or
9 a significant carbon reduction program?

10 MS. SEE: Under 111(b), Your Honor?

11 JUDGE WALKER: Just in general. I mean, I guess
12 to be more specific, in 2009 and '10, did Congress consider
13 doing this?

14 MS. SEE: Oh, of course. I understand, Your
15 Honor. And yes, this is one of the --

16 JUDGE WALKER: And the time Congress did that,
17 was, did West Virginia have as much power in the House of
18 Representatives as New York and California do?

19 MS. SEE: Your Honor, Congress has actually looked
20 at this a number of times in the past decade-and-a-half.
21 And the reality is --

22 JUDGE WALKER: I'm just trying to walk through
23 some thoughts on this and get your thoughts. It's obvious,
24 West Virginia did not have as much power as California in
25 the House of Representatives, correct?

1 MS. SEE: Right, certainly, as a matter of
2 population.

3 JUDGE WALKER: West Virginia -- right, because of
4 population.

5 JUDGE MILLETT: They have --

6 JUDGE WALKER: And West Virginia doesn't have as
7 much power as California --

8 JUDGE MILLETT: They have as much power in the
9 Senate, right?

10 JUDGE WALKER: Right, right. And so I guess
11 that's my question, Ms. See, is just how this, this law,
12 this program, this policy that we are stuck adjudicating
13 today and that the EPA promulgated was debated in Congress.
14 And it passed the House, correct?

15 MS. SEE: Correct, Your Honor. Yes.

16 JUDGE WALKER: And it did not pass, as Judge
17 Millett pointed out, it did not pass the Senate, correct?

18 MS. SEE: Correct.

19 JUDGE WALKER: And it's in the Senate that West
20 Virginia has just as many votes as California, correct?

21 MS. SEE: Yes, Your Honor.

22 JUDGE WALKER: And it was supported by the
23 President, who is chosen by an Electoral College where
24 California has a lot more votes than West Virginia, correct?

25 MS. SEE: Yes, Your Honor.

1 JUDGE WALKER: So if I'm following, in the House
2 and in the Electoral College where West Virginia doesn't
3 have much power, doesn't have much, it doesn't have a lot of
4 money as a state. It doesn't have a lot of people as a
5 state, at least not relative to California. West Virginia
6 lost. And in the Senate, where West Virginia does have as
7 much power as California, West Virginia won. Am I right so
8 far?

9 MS. SEE: Yes, Your Honor. You are.

10 JUDGE WALKER: Yes. And so do you think that this
11 is an accident of history that a policy with broad support
12 in let's say the 23 states opposed against you but not broad
13 support in the 21 states allied with you, made it through
14 the House but didn't make it through the Senate? Do you
15 think it's an accident of history, or do you think it's by
16 design?

17 MS. SEE: I don't think it's an accident of
18 history, and I think one of the reasons we know that is
19 because there's multiple times that Congress has considered
20 similar measures that would have given this sort of
21 authority expressly to EPA. And Congress has never adopted
22 that, even though this is an issue of earnest and profound
23 debate, in the wording --

24 JUDGE WALKER: And one of the whole points of
25 federalism, if I'm right, Ms. See, is that states that are

1 small and poor don't get trampled on, at least not all the
2 time, by states that are highly populated and rich, correct?

3 MS. SEE: It is true, Your Honor, that the equal
4 dignity of the states is critically important, and that's
5 one of the rationales behind requiring this less-clear
6 language before inferring that Congress meant to delegate
7 this sort of important power to EPA.

8 JUDGE WALKER: And Ms. See, one of the reasons
9 that courts traditionally have not been too aggressive in
10 enforcing federalism principles is because courts have said
11 in cases like Garcia that states have built-in structural
12 protections in places like the Senate. And the people of
13 those states are represented in the halls of Congress. Are
14 the people of West Virginia represented in the halls of the
15 EPA?

16 MS. SEE: Not directly, Your Honor, of course.
17 And certainly that is our argument that these federalism
18 protections are critically important. When we have a power
19 of this nature, we do require that Congress, both branches,
20 both houses speak clearly to this issue, and that did not
21 happen in this case. And the fact that, this issue about
22 the gravity of climate change has taken nobody by surprise.
23 I don't mean to downgrade the importance of those concerns
24 at all. But there has been repeated and continuous debate
25 in Congress about the best way to deal with that.

1 And Congress has considered options like what the
2 agency tried to take in CPP and has never authorized that
3 (indiscernible). That's also strong indication that we
4 shouldn't implicitly read that authority into the language
5 in 111(b), which is specifically focused on the ability of
6 states to set specific standards based on their fleet and
7 the particular needs of their (indiscernible). Those
8 decisions, when it comes to the cost of electricity and
9 reliability for all citizens belong to us, the state
10 regulator.

11 And there's no indication that Congress intended
12 to displace that within the Clean Air Act. And there's
13 certainly not unmistakably clear language, which is what the
14 Supreme Court said as recently as the (indiscernible)
15 decision this summer is necessary to make that kind of
16 transformative change. So whether we look specifically on
17 the federalism clear statement canon, or if we look at this
18 as a way to determine the gravity of the issue, the broader,
19 major questions issue, either way we get to the same result.

20 Congress didn't clearly delegate this type of
21 power to the agency, and there's no room to find an implicit
22 delegation.

23 JUDGE WALKER: And they're all connected in that
24 last question, right? You're talking about if there's a
25 federalism canon and the non-delegation canon, and a major

1 questions canon, but you can't create policy unless you get
2 it through the Senate. The states are equally represented
3 in the Senate. And you can't get around that obstacle by
4 delegating major policy decisions to an administrative
5 agency, right?

6 MS. SEE: Yes, Your Honor. One of the triggers
7 that can show that is a major issue is because it has such
8 important constitutional implications when it comes to the
9 relationship between co-sovereigns in our federal system.
10 That's part of what makes this such an incredibly important
11 issue, in addition to the broader consequences that the CPP
12 had.

13 JUDGE PILLARD: Ms. See, can you just tick off for
14 me what, in your view, about this case makes it subject to
15 the Supreme Court's major questions clear statement rule?

16 MS. SEE: Certainly, Your Honor. The important
17 question is this Court's language in the Loving v. IRS
18 decision is what is the nature and scope of the power at
19 play. And here we have the nature of the power is the
20 ability to act as an energy regulator. And that type of
21 power belongs to the states. That type of power has
22 incredibly broad consequences that go beyond just the
23 environment.

24 In the Brown & Williamson case, for instance, one
25 of the reasons that the Supreme Court found that the FDA

1 would not have authority to regulate tobacco is because they
2 had a very focused mission and mandate that said that if
3 they applied their statute, they would have actually had to
4 ban it all together in one, nuanced approach. So the Court
5 was really concerned when we have implicit delegation to an
6 agency that doesn't have expertise over these issues. We
7 have the same thing here. We have EPA that is of course
8 focused on the important issues of the environment, but it
9 doesn't have the tools in order to look at all of the
10 consequences that come from matters of regulated energy
11 policy. So that's another reason why this is a type of
12 power that triggers (indiscernible).

13 JUDGE PILLARD: EPA needs to focus on
14 environmental harms and emissions and not on energy policy.
15 And as long as it's focusing on environmental harms and
16 emissions, there's not a major question?

17 MS. SEE: It has to be primarily what they're
18 doing. And again, if we can look at the EPSCA case, that
19 case is helpful --

20 JUDGE WALKER: But Ms. See, I think isn't your
21 answer inconsistent with Utility Air which was, you know,
22 they were, EPA was regulating pollution in Utility Air, and
23 the Supreme Court still called it a major question, right?

24 MS. SEE: Yes, Your Honor. And there, it was a
25 different sort of issue. It had to do with the amount of

1 sources there, so that the primary focus there on the nature
2 or scope of the power, that was more of a scope of the power
3 was where the Supreme Court was focusing. So this is a,
4 it's certainly not inconsistent. It's another way of
5 applying the same standard because here we're focusing
6 specifically on the nature of the power that's at issue.

7 JUDGE PILLARD: That's helpful. Thank you.

8 JUDGE MILLETT: Are there more questions? Because
9 we're getting way over and way behind here on time, but I
10 certainly, these are really important questions, and there's
11 a lot of questions, so I don't want to, I want to make sure
12 everyone has a chance to ask everything that they need to.

13 JUDGE WALKER: No. Thank you for being patient
14 with my questions. I'm good.

15 JUDGE MILLETT: Well, you know, we've all had,
16 they're great questions and we all have lots of questions
17 because this is very complicated, so. I want to make sure
18 we give everyone a chance to talk.

19 All right, thank you, Ms. See. Very helpful to
20 hear from you.

21 So I think now we're going to have some rebuttal
22 from Mr. Wu. Is that correct?

23 MR. WU: Yes. Thank you, Your Honor. Just a
24 quick point of --

25 JUDGE MILLETT: Sorry. Hang on one second. For

1 the clerk, would that be, let's give them three minutes,
2 please. Okay.

3 ORAL REBUTTAL OF STEVEN C. WU, ESQ.

4 ON BEHALF OF THE STATE AND MUNICIPAL PETITIONERS

5 MR. WU: A quick answer to something before I
6 launch into my main rebuttal points. The Clean Power Plan
7 did contemplate interstate trading as a method of
8 compliance, in part because states were already engaged in
9 that. So that was something that was considered in that
10 plan.

11 I want to start with some of the criticisms that
12 Mr. Brightbill and Ms. See have made about the Clean Power
13 Plan, which I think can be fairly characterized as concerns
14 about the feasibility of some of the standards that were
15 actually set there. And what's missing, though, is the
16 recognition that that isn't the basis on which this EPA
17 decided to repeal the Clean Power Plan. What it said was it
18 was repealing it based upon a statutory interpretation that
19 handcuffs the agency into considering only certain types of
20 system, of emission reduction measures, not others.

21 And EPA actually acknowledged in the repeal rule
22 that it would reach this interpretation about what it cannot
23 do even if it were a workable policy to adopt generation
24 shifting, even if it were feasible and achievable by sources
25 and by states. So that's the interpretation that we are

1 challenging here. And if this Court disagrees that it's an
2 unambiguous interpretation, the repeal rule has to be
3 remanded on that basis alone.

4 The reason that that interpretation does not
5 follow from the statute here, our principal argument is that
6 (a)(1) can be understood by itself. And when it refers to
7 the application of the best system of emission reduction,
8 nothing in that statute forecloses EPA from considering
9 methods that might involve more than one source or that
10 take into consideration the indisputable fact that sources
11 on the electric grid are interconnected and engage in
12 generation shifting as a matter of course.

13 Now, EPA's response to that has both textual and
14 conceptual problems. The textual problems are from its
15 insertion of the (a)(1) definition into (d)(1), and then
16 using the language in (d)(1) to restrict its own authority.
17 And at the peril of trying to make a textual argument
18 verbally here, I think the two features of that textual
19 argument that are the most puzzling are, one, what EPA does
20 with the language that says which the Administrator
21 determines has been adequately demonstrated. Because if you
22 actually do insert that into the statute, it incorrectly
23 suggests the Administrator is determining whether the,
24 whether for any existing source some sort of emission
25 reduction is adequately demonstrated, and they don't do

1 that. They absolutely do not do that.

2 And the second textual problem is that nothing in
3 the statute says that a system of emission reduction is
4 applied to or at a source. It says for sources. And that
5 preposition, if we're going to be engaging of this level of
6 analysis, provides much broader and more flexible
7 understanding of what it means for a system to apply for a
8 source instead of to or at a source.

9 But the broader conceptual problem I want to get
10 to is this misconception that an individual source's
11 performance standards can't reflect available methods that
12 might involve other sources. And it absolutely can. Just
13 to put in concrete terms, if a performance standard says a
14 source must meet a certain numerical limit for its
15 emissions, what the Clean Power Plan did was basically
16 acknowledge the full panoply of tools that were available to
17 sources which involve not just tools that a source could
18 implement on its own, but also tools that were already
19 available and then involved things like emissions trading
20 where a source would essentially account for emissions
21 reductions elsewhere in the grid that would accomplish the
22 same purpose as its own emission reductions.

23 And I think what this argument boils down to is
24 whether the statute prohibits EPA from doing so when those
25 sort of generation shifting models accomplish the same

1 results already used by the industry and were in fact
2 cheaper ways of accomplishing emission reductions than
3 technologies or processes applied at the source itself, and
4 nothing in the statute prohibits that.

5 And then finally, I know I'm over my time --

6 JUDGE WALKER: Mr. Wu --

7 MR. WU: -- but I want to address very, very
8 quickly the, sort of the major questions issue that Ms. See
9 talked about. This is not a case that triggers that
10 doctrine, and I can say that for a couple of reasons. This
11 is a, the Clean Power Plan regulated power plants, which are
12 sources that have always been subject to Section 111. So
13 this is not a situation like UARG where EPA had an
14 interpretation of a statute that reached small sources like
15 schools and others that were never before covered by the
16 agency.

17 It's also talking about a pollutant, carbon
18 dioxide, which Massachusetts and AEP already recognized was
19 covered by the Act and required for EPA to regulate. So
20 this is not like Brown & Williamson, which dealt with
21 tobacco, a substance that the FDA had not regulated before
22 and was outside the scope of that statute.

23 And what we're debating here is a method of
24 emission reduction that EPA adopted from existing industry
25 trends. So it wasn't even as though EPA were adopting or

1 mandating some measure that was extraordinary or innovative
2 in this industry. It was doing something that industry was
3 already doing, and in fact had asked EPA to do if they were
4 going to impose emission reduction goals.

5 And then finally --

6 JUDGE WALKER: Mr. Wu, if I could interrupt. Do
7 you think that the CPP raises a question of vast economic
8 and political significance? That's the Supreme Court's
9 phrase, a question of vast economic and political
10 significance.

11 MR. WU: Not for purposes of the major questions
12 doctrine. I mean, obviously climate -- and let me explain
13 it this way. Obviously climate change is a matter of
14 important, a very important policy matter. But what the
15 Supreme Court said in Massachusetts was the fact that it was
16 important, it's an important policy question did not mean
17 that it fell outside the scope of the statute there. That's
18 the case that recognized carbon dioxide emissions had to be
19 regulated by EPA. And again, the fact that it's beyond
20 question that the statute unambiguously covers these sources
21 and these pollutants is what places it already squarely
22 within EPA's delegated authority. And the final point I was
23 going to make --

24 JUDGE WALKER: Do you think that the federal
25 government can accomplish a Clean Power Plan regulatory,

1 make it work, if the states opted out?

2 MR. WU: It could under 111 because if a state
3 refuses to do a plan under 111(d), then EPA comes in and
4 imposes a federal plan --

5 JUDGE WALKER: How would generation shifting on
6 one of these grids that depends on ISOs and RTOs and the
7 things that I was, I'm just learning about in the last two
8 weeks and have learned more about this morning and hope to
9 continue learning more about still. How would regulation of
10 the grid and generation shifting and the ISOs, how would
11 that all work if the EPA said to the states, well, you've
12 chosen not to cooperate, so we're going to do it ourselves,
13 how would that work?

14 MR. WU: Well, I suppose EPA would then set up a
15 market if it had to. And, and I should say that it's not
16 unusual for that to happen. I mean, there have been
17 programs, like CSAPR and others where if the states won't do
18 it, there can be set up a trading program that operates
19 either within a state or across states to accomplish
20 emission reduction goals.

21 And this gets to the --

22 JUDGE WALKER: What would the states lose if that
23 happened?

24 MR. WU: Excuse me?

25 JUDGE WALKER: What would the downside of that be

1 to the states?

2 MR. WU: Oh, the downside to the states is --

3 JUDGE WALKER: If that happened?

4 MR. WU: The downside is the states would not have
5 the flexibility that they have under 111(d) which is to make
6 judgments for themselves about how to basically allocate
7 something like an emissions budget across the sources within
8 a state. The statute quite appropriately give states in the
9 first instance the discretion and flexibility to make that
10 determination. That's a flexibility we're trying to
11 preserve in the --

12 JUDGE WALKER: So the choice the states have under
13 the CPP is either cooperate and use the machinery of the
14 state to implement a vast federal program that's historic
15 and groundbreaking and critically needed and all the things
16 that petitioners have called it. Or, don't cooperate and
17 surrender the autonomy and control that they exercised as
18 energy regulators for the past decades, are we up to 100
19 years? Is that the choice states face?

20 MR. WU: No, it is not. And I think it's because
21 what EPA is doing here is not directly regulating energy or
22 telling states what to do with energy mixes, but is trying
23 to reduce emissions of pollutants. And where the statute
24 appropriately recognizes these distinct roles is
25 understanding that after EPA sets these guidelines that are

1 entirely involved with reductions, then a state can
2 certainly have the discretion to come in and exercise its
3 existing sovereign authority to make decisions about how to
4 allocate those emissions limitations across the sources
5 within a state.

6 And I should say a state that declines to do so,
7 far from preserving its sovereignty at that point, is
8 actually declining to exercise this historical power here.
9 It's simply exiting from an energy market and saying, and
10 saying, as the statute makes clear, that we don't want to
11 care about what happens with the industries in our states.
12 And that is a choice a state is, of course, free to make.
13 But that option does not mean that EPA is therefore disabled
14 from addressing emissions.

15 And I think this is the key objection that the
16 petitioning states here have with the arguments from the
17 states on the other side, which is, of course emissions from
18 power plants have a relationship to energy production for
19 the simple reason that energy production by these sources
20 are the reason that we have these pollutants in the first
21 place. But that connection is not by itself enough to mean
22 that EPA is unable to regulate emissions because there's
23 going to be some downstream effect on power plants.

24 And the EPISA case forecloses that argument. That
25 was a case that said EPA could regulate wholesale prices in

1 an energy market even if it affected retail prices that were
2 within the state's control.

3 JUDGE PILLARD: FERC. FERC, not EPA.

4 MR. WU: I'm sorry. For FERC. There's too many,
5 too many agencies. And I think, my very final point on this
6 is, I think this highlights sort of our --

7 JUDGE MILLETT: Wait. Can I ask just one question
8 in that regard? I don't mean to interrupt your thought
9 here. The authority of the federal government to come in
10 and establish a standard of performance for emissions within
11 a state, was that part of the 1970 legislation or was that
12 added later?

13 MR. WU: I confess, I do not remember the answer
14 to that question, Judge Millett. I'm sorry. But I --

15 JUDGE MILLETT: (Indiscernible) provision was
16 passed by the states and the Senate and the House?

17 MR. WU: Well, that's absolutely correct. And
18 it's not a process that is specific to this statute either.
19 It's part of, as you're well aware, the cross-state air
20 pollution rule, which specifically involves relationships
21 between the states. It's a very familiar model. But the --

22 JUDGE MILLETT: But that surrender of sovereignty
23 has been around a long time in the Clean Air Act, applied in
24 many, many contexts and many provisions and was authorized
25 and passed by the states.

1 MR. WU: That is correct. Repeatedly over the
2 years. And I should say also repeatedly authorized by the
3 states repeatedly over the years has been the dedication to
4 EPA of the authority and the obligation to regulate harmful
5 pollutants from these types of sources. That's the
6 authority we're talking about here.

7 JUDGE MILLETT: In fact, it was unanimous --

8 JUDGE WALKER: Mr. Wu, what was the House and
9 Senate doing in 2009 and '10 when they spent hours and days
10 and months debating a climate change bill? If that bill had
11 already been passed in 1970, why were they debating it and
12 trying to pass one in 2009 and '10?

13 MR. WU: Well, what they were debating, and I
14 apologize --

15 JUDGE WALKER: I mean, I know Congress doesn't
16 always act sensibly, but that seems extreme.

17 MR. WU: What they were debating was a more
18 aggressive program than even the Clean Power Plan here
19 addresses. They were debating, and I apologize if I'm
20 getting the details wrong, but what they were debating was,
21 for instance, a nationwide cap and trade scheme that would
22 cut across different industries and not be limited to power
23 plants. And so the fact that Congress did not enact a far
24 more aggressive program for dealing with climate change does
25 not mean that EPA is foreclosed from exercising statutory

1 authority it already holds when, as I said, it is only to
2 sources --

3 JUDGE WALKER: There's no category of sources that
4 emit more carbon than power plants. Is that correct?

5 MR. WU: I forget if automobiles might actually
6 emit more.

7 JUDGE PILLARD: Automobiles are --

8 JUDGE MILLETT: They're less.

9 JUDGE PILLARD: -- one. And then it's, and then
10 it's the power sector. And not all power, obviously, but
11 fossil fuels.

12 MR. WU: That's right. So, it's not, so yes. So
13 power plants are major, but maybe not always the most major
14 one. But again, what EPA is doing here is not simply
15 implementing the program that Congress was debating in 2009.
16 And so the fact that they rejected that is not --

17 JUDGE MILLETT: Did the Senate even debate that
18 legislation? Did they even take it up?

19 MR. WU: I don't recall that. They may not have.

20 JUDGE MILLETT: I don't think they did.

21 MR. WU: They may not have. But again, I think
22 some of these questions go to the perils of relying on
23 congressional inaction to infer what is already extant in a
24 statute. And so we just don't think that is relevant to the
25 question here. But if I could end with this point is, I

1 think the broader, big-picture problem here is one where
2 ostensibly in service of a statute here, EPA has adopted
3 interpretation that requires it to turn a blind eye to a
4 meaningful and available way of reducing emissions from a
5 pollutant that it doesn't dispute is extremely harmful.

6 And that, at the end of the day, is the core
7 statutory violation here when you get beyond these textual
8 arguments and arguments about the indirect object. And
9 again, nothing in the statute and Congress's dedication to
10 EPA to deal with the harmful effects of air pollution from
11 regulated sources suggests that it meant to shackle the
12 agency in this way. Thank you.

13 JUDGE MILLETT: Are there any more questions from
14 Judge Walker or Judge Pillard?

15 JUDGE PILLARD: No.

16 JUDGE WALKER: None from me.

17 JUDGE MILLETT: All right. I'm going to have a
18 change of plans, courtroom deputy. I think we, it's been
19 almost three hours now, so I think we're going to take,
20 unless there's strong objection from anybody, a 10-minute
21 break. Okay?

22 THE CLERK: This Honorable Court is now taking a
23 brief recess.

24 (Whereupon, a brief recess was taken.)

25 THE CLERK: This Honorable Court is now again in

1 session.

2 JUDGE MILLETT: I think we're ready now to start
3 with the second issue in the case, EPA's authority to
4 promulgate its replacement rule. And as I understand it the
5 first person to argue is going to Mr. DeLaquil. Did I say
6 that correctly? Please correct me if I'm wrong.

7 MR. DELAQUIL: DeLaquil, Your Honor.

8 JUDGE MILLETT: DeLaquil. I apologize.

9 ORAL ARGUMENT OF MARK W. DELAQUIL, ESQ.

10 ON BEHALF OF THE COAL INDUSTRY PETITIONERS

11 MR. DELAQUIL: And I am the first up, and I am
12 arguing on behalf of the coal industry petitioners. And I
13 have two points to make here today.

14 JUDGE MILLETT: Okay. I'm having a little trouble
15 hearing you. Can you increase the volume?

16 MR. DELAQUIL: Is this better?

17 JUDGE MILLETT: A little better, yes.

18 MR. DELAQUIL: Okay. The first point is that EPA
19 failed to make a reasoned endangerment determination that
20 greenhouse gas emissions from the fossil fuel-fired power
21 sector significantly contributes to air pollution that may
22 be reasonably anticipated to a danger of public health or
23 welfare. And the second is an issue this Court has heard
24 before, and it was the foremost statutory interpretation
25 issue raised in the Supreme Court's stay of the Clean Power

1 Plan that the Section 112 exclusion does not allow EPA to
2 require states to establish standards of performance for
3 sources that are regulated under Section 112.

4 With regard to the first issue, EPA's fundamental
5 error is that it failed to establish a standard or a set of
6 criteria that provided a reasoned explanation for
7 determining why greenhouse gas emissions in the fossil fuel
8 power sector contributes significantly to dangerous air
9 pollution.

10 It's not a simple question whether emissions from
11 a particular sector contribute to dangerous air pollution.
12 It requires EPA to consider issues like whether
13 contributions should be considered on a global basis or
14 domestic basis, whether these direct emissions from the
15 sector are what matter or whether indirect emissions from
16 the sector are what matter, whether EPA should be looking at
17 historic emissions, current emissions, or projected
18 emissions.

19 JUDGE PILLARD: When you refer to indirect
20 emissions, what are you referring to?

21 MR. DELAQUIL: I'm referring to downstream
22 emissions. And so an example would be emissions from the
23 coal-fired power sector could be just the direct emissions
24 from the tailpipe or from the smokestack, or they could
25 consider emissions that are associated with the production

1 of coal or associated with the usage --

2 JUDGE PILLARD: Right, so this is like, that's
3 like the biofuels sector brief saying if we just look at the
4 pipe, at the smokestack, then we're just as bad if not worse
5 than fossil fuels. But if you look at the whole life cycle,
6 we're better. And we would say, well, right, should we look
7 at the extraction, the extractive industry aspect as well as
8 the use or not? Should we look at the building of the
9 windmills and the solar cells or not?

10 MR. DELAQUIL: That's right. And that just all
11 goes to the issue that this is a complicated problem, and
12 just looking at one metric, such as the fossil-fuel fired
13 power plants are the largest stationary source category of
14 greenhouse gas emissions isn't sufficient to provide a
15 reasoned explanation for why there is a significant
16 contribution. And another metric that is worth
17 consideration and that EPA ought to have considered are the
18 contribution of the sector to the actual harmful effects of
19 the air pollution.

20 As we noted in our brief, in our reply brief at
21 page 8, eliminating all coal-fired power plant emissions in
22 the United States over 30 years was modeled and was
23 estimated to result in one-tenth of one degree reduction of
24 global temperatures over 30 years. And this is a position,
25 Your Honors, that EPA has itself recently adopted in the

1 context of the oil and gas methane new source performance
2 standards.

3 Now, we provided a 28(j) letter on that standard
4 on August 17th. And in there, EPA gives an example of a
5 situation where it would need to exercise its judgment to
6 determine whether a significant contribution to harmful air
7 pollution occurred, and that was where U.S. methane
8 emissions were 7 percent of global methane emissions. And
9 in this case, using 2013 numbers, fossil fuel fired power
10 plant emissions were less than 5 percent of global carbon
11 dioxide equivalent emissions, and that number has declined
12 substantially since --

13 JUDGE MILLETT: Are they allowed to look at the
14 contribution to domestic greenhouse gas?

15 MR. DELAQUIL: I think they are. I think --

16 JUDGE MILLETT: And so domestically, what
17 percentage are coal-powered power plants --

18 MR. DELAQUIL: Percentage of stationary source
19 emissions, percentage of total emissions?

20 JUDGE MILLETT: Okay, give me both.

21 MR. DELAQUIL: It's around 20 percent for total
22 emissions. I don't have the figure for stationary source
23 emissions off the top --

24 JUDGE MILLETT: It would be a lot higher, though?

25 MR. DELAQUIL: It would be higher.

1 JUDGE MILLETT: A lot higher, okay. One question
2 I have for you is, why is the 2015 determination, as part of
3 the new source rule, where they, they didn't just say it in
4 passing. They spent multiple columns talking about that
5 they would also find it, they don't think they have to, but
6 they also find a significant, they make the significant
7 endangerment finding, and that they cause or contribute
8 significantly to, that coal-fired power plants contribute
9 significantly to greenhouse gases. Why isn't that
10 sufficient? Do they have to make it again each time they do
11 a new regulation? Is that your theory? And they can't,
12 that can't be right (indiscernible) regulate somewhere else.

13 MR. DELAQUIL: No, that's not our theory.

14 JUDGE MILLETT: Yes.

15 MR. DELAQUIL: Our theory is that the 2015 new
16 source regulation endangerment finding was necessarily
17 raised by the existing source rule under the text of Section
18 111(d), and in particular we're referring to Section
19 111(d)(1)(ii), which uses the phrase to which a standard of
20 performance under this section would apply if such existing
21 source were a new source. And so we believe that that is,
22 that statutory provision necessarily raises the new source
23 standard, and that it's not reasoned for the reasons I
24 described. And it may be that as EPA looked at this issue,
25 and while EPA spent maybe a column and a half suggesting

1 that there would be a significant contribution from this
2 industry, what it didn't do --

3 JUDGE MILLETT: Not just suggesting, saying you
4 have to find it. It's here, and here's why. It's not just
5 suggesting. I mean, they lay it out with a lot of detail
6 and evidence.

7 MR. DELAQUIL: I think what you're referring to
8 here is on the third column of 80 Federal Register 64530.

9 JUDGE MILLETT: Well, the third column there and
10 the next two columns on the next page.

11 MR. DELAQUIL: It does --

12 JUDGE MILLETT: Okay.

13 MR. DELAQUIL: -- in some sense do that.

14 JUDGE MILLETT: That's more than a suggestion.

15 MR. DELAQUIL: But most --

16 JUDGE MILLETT: That's more than a suggestion.

17 MR. DELAQUIL: Well, most of this language is
18 directed to the second part of the endangerment
19 determination. And that is the question of whether
20 greenhouse gases themselves may reasonably be anticipated to
21 endanger public health or welfare. That's not an issue that
22 we contest in this case.

23 JUDGE MILLETT: Yes, yes.

24 MR. DELAQUIL: Our argument is directed to the
25 first aspect of that test, which is whether there is a

1 significant contribution from this industry.

2 JUDGE MILLETT: Yes.

3 MR. DELAQUIL: That is where there is a lack of
4 reasoned explanation --

5 JUDGE MILLETT: Well, that's where we have another
6 column, likewise, we are required to find a cause or
7 contribute significantly finding for emissions from fossil
8 fuel-fired EGUs. The same facts that support a rational
9 basis determination would support such a finding. And then
10 they go through with a lot of details about one-third of all
11 greenhouse gas emissions, as much as the next 10 stationary
12 sources added together. Is that, if they didn't have the we
13 don't think we have to but we're doing it, if they said we
14 have to and here's what we have, would this be insufficient?

15 MR. DELAQUIL: I do think that would be
16 insufficient, Your Honor.

17 JUDGE MILLETT: On what basis?

18 MR. DELAQUIL: On the basis that it doesn't grasp,
19 it doesn't tackle the important issues that you have to
20 consider, such as what the, whether you should be looking at
21 historic projections or current emissions. As you heard
22 this morning --

23 JUDGE PILLARD: Say a little bit more, Mr.
24 DeLaquil, so I understand your position is that you need to
25 know what the metric is --

1 MR. DELAQUIL: That's right.

2 JUDGE PILLARD: -- for a significant contribution
3 finding. And there are lots of different ways to sort of
4 add things up and make the relative assessment of
5 significance and contribution, and so then there's a
6 question also about the timeliness of your challenge. And I
7 gather that industry groups of which your clients are
8 members challenged the new source rules findings, correct?

9 MR. DELAQUIL: That's correct.

10 JUDGE PILLARD: And is there anywhere in the
11 record that identifies your relationship with those
12 entities?

13 MR. DELAQUIL: There is a footnote in our reply
14 brief, and I can represent to this Court today that the
15 North American Coal Corporation, one of the coal industry
16 petitioners, is a member of the National Mining
17 Association --

18 JUDGE PILLARD: That's not in the declaration, but
19 you've, I mean, is it in, it might be in the parties
20 statements in the briefs in the earlier case maybe? So
21 that's a question. But then there's a question whether it's
22 adequate to have raised it then, but you also raised it in
23 comments on the ACE Rule?

24 MR. DELAQUIL: That's right. It was raised in
25 comments on the ACE Rule.

1 JUDGE PILLARD: And the objection was spelled out
2 in terms of the kinds of criteria that you're talking about
3 now, you know, we really need to know not just, hey, a lot,
4 6-point-whatever percent, or 4-point-whatever percent, we
5 need to know what you're assessing, you the agency, we the
6 industry. Is that right?

7 MR. DELAQUIL: That's right. And I believe that
8 the specific comments that raised those points were on
9 footnotes on page 8 of our reply brief.

10 JUDGE PILLARD: Cited there, yes, right. Right.

11 MR. DELAQUIL: And the issue of waiver was not
12 raised by EPA --

13 JUDGE PILLARD: The issue of waiver, yes.

14 MR. DELAQUIL: Of waiver through failure to
15 present in the comments was not raised by EPA in this case.

16 JUDGE PILLARD: Failure to preserve, right.

17 MR. DELAQUIL: And again -- I'm sorry, Your Honor.
18 I didn't mean to interrupt you.

19 JUDGE PILLARD: Is that jurisdictional, untimely
20 challenge?

21 MR. DELAQUIL: Untimely challenge is
22 jurisdictional. The jurisdictional provision for this
23 statute is Clean Air Act Section 307(b).

24 JUDGE PILLARD: Yes.

25 MR. DELAQUIL: And 307(b) has two jurisdictional

1 aspects. First, that the challenge be raised within 60
2 days. And this challenge was raised of the ACE Rule within
3 60 days. And second, that it not, that a regulation not be
4 collaterally attacked in a civil or criminal enforcement
5 proceeding. And this is not a civil or criminal enforcement
6 proceeding. So given the presumption in favor of judicial
7 review, the fact that we did raise this issue within 60 days
8 in the petition and the statutory language of Section
9 111(d)(1)(ii), which refers to the new source rule, that we
10 believe it is properly presented in this case.

11 JUDGE PILLARD: Thanks. That's helpful.

12 MR. DELAQUIL: And I'd like to turn now to my
13 second issue, which is the Section 112 exclusion. And that
14 is that the plain language of Section 111(d)(1) bars EPA
15 from requiring states to establish standards of performance
16 for sources that are regulated under Section 112. And this
17 is a plain language argument that derives primarily from the
18 text of Section 111(d)(1) and the phrase which is not
19 omitted from a source category which is regulated under
20 Section 7412 of this title.

21 JUDGE MILLETT: But I'm sure you know what the
22 issue here is, and it's, whether you're talking about the
23 plain language as codified or the plain language of the
24 public law, and, you know, we've got a problem. The public
25 law has two different versions --

1 MR. DELAQUIL: Absolutely, and so --

2 JUDGE MILLETT: -- of its section, and I mean, we
3 went around this, around this issue four years ago. And so
4 I think rather than just sort of talking about the plain
5 language of the statute, we can just sort of talk about what
6 do we do with what the, when you've got a conflict in the
7 public law.

8 MR. DELAQUIL: Sure.

9 JUDGE MILLETT: And I guess for me, rather than
10 sort of labeling things as having different status, it would
11 just be helpful if you could explain to me what rule we're
12 supposed to apply when you have two public law amendments,
13 one of which, both of which would have significant operative
14 force here, and the operative force would be very different.
15 And one would be to maintain the status quo, look at the
16 list of the pollution. Like all the other which clauses
17 there, look at the pollution, whether it's listed as a
18 pollution, a form of pollution. Or do we go with the least
19 common denominator? Do we go with, I think as EPA did here,
20 the, well let's just get something that covers both of them?
21 What is the rule? What cases and what rule can you help me
22 in analyzing this?

23 MR. DELAQUIL: Well, this is, that's the \$10,000
24 question, isn't it? So let me tell you our view. First, we
25 believe that this is an issue of law that the Court needs to

1 decide de novo. Chevron has its time and place, but it's
2 based on a delegation of authority to the agency, and we
3 don't believe that there's any delegation of authority to
4 the agency to decide how to reconcile Sections 108(g) of the
5 Clean Air Act Amendments of 1990, which led to the language
6 I just read, and Section 302(a) conforming amendment that
7 updated a cross-reference in a previous bill.

8 Second, we believe --

9 JUDGE MILLETT: Just before you go to second, do
10 you have case authority that tells us? I mean, at the end
11 of the day, this is part of the text of a statutory
12 provision that EPA otherwise has interpretive authority,
13 Chevron interpretive authority over. But you're right, this
14 one's starting at a, it's not sure that, it's not your usual
15 ambiguity that's delegated into a specific --

16 MR. DELAQUIL: I think here you have to work --

17 JUDGE MILLETT: So what case do we have --

18 MR. DELAQUIL: I don't have a perfect case on this
19 point, and there's been a lot of ink shed on this topic, and
20 I don't think anyone has ever come up with a perfect case on
21 this point, so I think on that question --

22 JUDGE MILLETT: Do you have a less-than-perfect
23 but helpful case?

24 MR. DELAQUIL: Your Honor, I don't have a specific
25 case that I can point you to on the Chevron point. What I

1 can is --

2 JUDGE WALKER: Mr. DeLaquil, can I ask you if this
3 is a perfect, or at least an analogy if it's not a case that
4 makes any sense, and you can tell me if you think it
5 doesn't. The House amendment said you can't regulate a
6 source if the source is regulated under 112. The Senate
7 amendment said you can't regulate pollutants that are
8 regulated under 112. Here's my analogy. Tell me what you
9 think.

10 There's a law like 111 that says you can pick any
11 numbers from a lottery ball, you know, from 1 to 100,
12 they're all in there. You can pick any numbers except you
13 can't pick prime numbers. And then there's a second
14 amendment that says except you can't pick odd numbers.

15 MR. DELAQUIL: That's right.

16 JUDGE WALKER: Now, if we read both of these in,
17 if we read them as not conflicting, if we put them both into
18 the statute, do you think that I could pick the number 9 --

19 MR. DELAQUIL: Absolutely not. That's an odd
20 number --

21 JUDGE WALKER: Absolutely not. Right. Even
22 though it's not a prime number, it's an odd number. And so
23 then analogizing to this and the House amendment, why
24 wouldn't we say 111(d) allows EPA to regulate, big universe
25 there, but there is an exception. 111(d) does not allow the

1 EPA to regulate if the pollutant is regulated under 112, and
2 it does not allow the EPA to regulate if the source is
3 regulated under 112.

4 MR. DELAQUIL: And Your Honor, I think that is the
5 right way to read this statute, if you believe that Section
6 302(a) is not a scrivener's error. And there is strong --

7 JUDGE MILLETT: Well, hang on. But I think it
8 depends on, the problem is if they said, if you understood
9 the you can pick any number but a prime to mean you may not
10 pick prime numbers, but you may and we expect you to
11 continue to include odd numbers. Those are still in. Only
12 prime is out. And that the other one was, only odd is out,
13 prime, non-odd prime numbers are still in, then you're not
14 giving effect to both amendments. And I think that's the
15 problem here, isn't it? It's not quite that simple.

16 MR. DELAQUIL: I think that that, and I believe
17 you've raised a similar question to that the last time this
18 was argued. And I think that that example sort of proceeds
19 from a flawed premise. It proceeds from the idea that there
20 is a Senate version of the bill that has a positive aspect,
21 which is that you cannot regulate listed Section 112
22 pollutants under Section 111(d), and then it has a negative
23 aspect which is that you should continue to regulate
24 pollutants that are not listed under Section 111, or under
25 Section 112, under Section 111(d). But we're talking --

1 JUDGE MILLETT: I know, but hang on. I know
2 you've got your reasons for that, and I want to hear them.
3 But I just want to say if, if the two amendments were
4 understood in Judge Walker's hypothetical as operative in
5 the sense you just described, as in no primes but definitely
6 keep odds in, as they've always been there. And the other
7 one was no odds, but definitely keep prime numbers in there,
8 then you would agree that we couldn't just have the solution
9 of ignoring them both. It's got to turn on --

10 MR. DELAQUIL: I agree.

11 JUDGE MILLETT: It's got to turn on what you're
12 about to tell me now? Okay.

13 MR. DELAQUIL: That's right. And if you believe
14 that the other section wasn't a scrivener's error, then I do
15 think that's right, Judge Millett. But your question I
16 think proceeds from a premise that there's a Senate version
17 of the bill with this --

18 JUDGE MILLETT: I'm not proceeding from any
19 premise. I'm just asking questions.

20 MR. DELAQUIL: Well, and I'm telling you how I
21 understand that question. It sort of proceeds from the idea
22 that there are two, that there's a positive and a negative
23 intent to the 302(a) and to the 108(g). But there was part
24 of the same bill --

25 JUDGE MILLETT: Right.

1 MR. DELAQUIL: -- whatever negative implications
2 you read into things that are not directly addressed by
3 Section 302(a), which are pollutants that are not regulated
4 under Section 112 are addressed by the Act. They are
5 addressed, at least in part, by Section 108(g). So you
6 don't have two ships that are passing in the night. They
7 are both part of the Act. And the negative, what I call the
8 negative implication of Section 108 or subsection 302(a),
9 that a pollutant that is not regulated under Section 112
10 should be regulated under Section, or at least subject to
11 regulation under Section 111(d) is qualified by the House
12 Bill, Section 108(g) which says that you should not regulate
13 pollutants, regulate under Section 111(d) sources in a
14 source category that are subject to regulation under Section
15 112.

16 And so read in that way, and this is the way to
17 reconcile the bills that Judge Walker suggested a few
18 moments earlier and that we've argued --

19 JUDGE MILLETT: And how do I know that 302 is not
20 saying, it's the conforming amendment, which would mean I'm
21 just adjusting this so we don't change the substantive
22 operation of the statute the way it was before, preserving
23 status quo, just fixing the cite to maintain the status quo
24 because we wish that status quo to continue, which would
25 mean you would only apply, it would only apply to listed

1 pollutants. And so what evidence do I have, when you've got
2 this contradiction here, that it gave way to the 108(g)
3 amendment, and maybe the 108(g) amendment gives way to 302.

4 MR. DELAQUIL: Except that what little legislative
5 history there is in this bill suggests that it was the
6 Senate that gave way, not the House.

7 JUDGE MILLETT: Well, the Senate gave way to that,
8 but with an amendment. And then --

9 MR. DELAQUIL: But the amendment had to do with
10 judicial review of House reports.

11 JUDGE MILLETT: How do we know that?

12 JUDGE PILLARD: They didn't receive once the House
13 amendment was in. It was a different point. But let me ask
14 you. You make an effort, which I appreciate to sort of say
15 why this, why your reading would make sense. And you argue
16 in your brief that Congress basically didn't envision as of
17 the 1990 amendments that there would be any non-criteria
18 pollutants that would be, that would remain unregulated.
19 They would just regulate everything under the HAP standard
20 under 112. And if that's the premise of your argument, I
21 wonder how you explain, so Congress also amended Section
22 112(c)(1) to say that to the extent practicable, the
23 categories and subcategories listed under this subsection
24 shall be consistent with the list of source categories under
25 111. It just seems like if they're getting into how the two

1 overlap, and Congress is seeing as expansion of Section 112
2 as displacing any role for 111(d), then why wouldn't it have
3 just said so?

4 MR. DELAQUIL: Well, I mean, I think --

5 JUDGE PILLARD: Try to explain how the two survive
6 together.

7 MR. DELAQUIL: I certainly think that Congress in
8 enacting the Section 108(g) with the source category
9 exclusion certainly didn't see a large role for Section
10 111(d)(1) to play following the 1990 amendments. And
11 frankly, that's consistent with --

12 JUDGE PILLARD: You argue it has no role,
13 actually, no role?

14 MR. DELAQUIL: Well, I think that our argument
15 might have been more qualified than that as to the 112
16 point. We also note that greenhouse gases are an
17 uncomfortable fit within Section 112 of the Act, so.

18 JUDGE WALKER: Can you expand on that? I get, I
19 certainly get that impression from your briefing and others
20 that, you know, at least from your perspective it will be
21 terrible to regulate carbon dioxide under 112. Can you kind
22 of spell that out a bit more?

23 MR. DELAQUIL: I think that you have the
24 (indiscernible) problem even worse under Section 112 than
25 you have under the PSD program.

1 JUDGE PILLARD: So you're saying they should have
2 regulated it only under 112, but they can't realistically
3 regulate it under 112.

4 MR. DELAQUIL: I think our brief speaks more
5 generally to what we believe Congress's expectation would be
6 for many source categories and many pollutants. And to the
7 extent that it was drafted inartfully to suggest that we
8 believe that greenhouses gases should be regulated under
9 Section 112, and that is not our position.

10 JUDGE WALKER: Could you --

11 JUDGE PILLARD: So your interpretation --

12 JUDGE WALKER: I'm sorry. Please go ahead.

13 JUDGE PILLARD: If your interpretation of 111(d)
14 is right, how would the EPA respond to a situation where an
15 existing source category, like landfills that emits HAPs,
16 like, it might be emitting mercury. It might be emitting a
17 criteria pollutant like sulfur dioxide and other dangerous
18 pollutants that don't fall into either of those categories,
19 like landfill gases, what's EPA empowered to do with regard
20 to the landfill gases?

21 MR. DELAQUIL: So you're asking what if there is a
22 source category and a substance that threads the needle
23 between the criteria for being listed under Section 112 and
24 being listed under Section 108 as a NAAQS pollutant,
25 something that is not so dangerous that EPA can reasonably

1 regulate it under Section 112 --

2 JUDGE PILLARD: But the source category has
3 mercury coming out of it, so it is being regulated.

4 MR. DELAQUIL: Sure. That's right. But you would
5 have to be referring in your hypothetical to a very specific
6 substance of which I think CO2 is the only one I can think
7 of that may not fit the criteria of a 112 pollutant, which
8 tend to be more dangerous than your pollutants that are
9 regulated under the NAAQS program --

10 JUDGE PILLARD: So you're saying like landfill
11 gases in that situation, they would have to either be
12 regulated under 112 or Congress would have to act.

13 MR. DELAQUIL: Well, I think that's right. Or
14 they could be regulated as a NAAQS pollutant potentially.
15 But there are a lot of landfills, and it may be that if EPA
16 looked at that issue, that they would look and say, well,
17 that is appropriate to list that pollutant under Section
18 107, promulgate air quality criteria, and then regulate it
19 that way. So --

20 JUDGE PILLARD: So, but there's something odd
21 about your interpretation, too, which is the sequencing.
22 You know, and I know we talked about this last time we had
23 argument on this case that if Congress wanted to avoid what
24 you refer to as double-regulation, why would it have created
25 a loophole whereby EPA could regulate a source category

1 under Section 111 and under Section 112, so long as it does
2 so first under Section 111, and then under Section 112.

3 MR. DELAQUIL: Well, I think that infers to the
4 Section 112(d)(7) of the Act, and we believe that that
5 provision is a little more limited than you're suggesting.
6 And we address this one at length I believe on page 14 and
7 15 of our reply brief. But Section 112(d)(7) primarily
8 operates as a saving provision. You already had five
9 Section 111(d) standards at the time of the Clean Air Act
10 Amendments of 1990, and consistent with the Act's general
11 approach to saving and anti-backsliding, Congress clearly
12 did not want to displace those existing standards. And
13 that's apparent, we believe, from the text of 112(d)(7) and
14 the phrase promulgated under this section, which is a past-
15 tense phrase, as well as from certain specific references in
16 that section, such as the reference to Part C or D, which
17 was something else that was carved out of the Section 112
18 programs as part of the 1990 amendments and would therefore
19 not arise in the future.

20 And the final operative phrase in Section
21 112(d)(7) is other applicable requirement established
22 pursuant to Section 7411. And in our view, the Section 112
23 exclusion is an applicable requirement that prevents a
24 Section 111(d) standard. And reading that specific language
25 to, as a carve-out begs the question about whether these are

1 or are not applicable requirements.

2 JUDGE MILLETT: Are there more questions from
3 Judge Pillard or Judge Walker on this?

4 JUDGE WALKER: I'll pass.

5 JUDGE MILLETT: No, no, please. I want all the
6 questions you have.

7 JUDGE WALKER: I am curious, if you don't regulate
8 carbon under 712 and you don't regulate it under -- sorry,
9 under 112, and you don't regulate it under 111, where do you
10 think the EPA can regulate it?

11 MR. DELAQUIL: I think there are plenty of places
12 to regulate carbon even under our reading. And Section 111
13 goes only to 111(d). The Section 112 exclusion doesn't go
14 to 111(b) new source standards, which still potentially
15 could be made for carbon, including from this sector, and --

16 JUDGE MILLETT: Well, there's not going to be any,
17 we're told there's no new coal power plants coming.

18 MR. DELAQUIL: No, but there are new gas-fired
19 power plants. And --

20 JUDGE MILLETT: But no new coal or oil ones, I
21 assume.

22 MR. DELAQUIL: Well, I think my clients certainly
23 might hope that someday there would be, but I think if we
24 all knew for certain what energy sources would be used in
25 the future, we should all be in the financial world, not the

1 law world. As well --

2 JUDGE WALKER: Well, but let me see if I just
3 understand, let me see if I understand you correctly.
4 You're saying that for a currently existing, unmodified
5 power plant that emit carbon, there is a way for EPA to
6 regulate them or there is not?

7 MR. DELAQUIL: There is a way for them to be
8 regulated consistent with the tailoring (indiscernible) and
9 what you are allowed after the UARG case for large,
10 stationary sources if they are modified within the meaning
11 of that program. Then there is, if there's --

12 JUDGE MILLETT: If they're modified, but if
13 they're not modified, I thought --

14 MR. DELAQUIL: If they're not modified --

15 JUDGE MILLETT: -- (indiscernible) not modified,
16 but I don't mean to step on Judge Walker's questioning.

17 MR. DELAQUIL: EPA could potentially de-list under
18 Section 112. And this goes back to, I think if you go back
19 to the time of the AEP case, there was a fork in the road.
20 EPA could have chosen to pursue the 112 path and live with
21 the 112 exclusion, which was noted in footnote 7 of the AEP
22 decision, or EPA could have gone the route of Section
23 111(d), and for its own reasons, it went the 112 route.

24 JUDGE MILLETT: Do they list the pollutants with
25 the source --

1 JUDGE WALKER: I guess I --

2 JUDGE MILLETT: Oh, I'm sorry, Judge Walker. It's
3 your question.

4 MR. DELAQUIL: We de-list the --

5 JUDGE WALKER: No, please. Please, Judge Millett.

6 MR. DELAQUIL: We de-list the source. Greenhouse
7 gases aren't listed pollutants under Section 112.

8 JUDGE MILLETT: Right. So then, your clients
9 wouldn't get regulated at all as under HAP for any hazardous
10 air pollutant they emit, any hazardous air pollutant they
11 emit if they delisted?

12 MR. DELAQUIL: I think that's right. Well, the
13 power plants wouldn't be. The coal fire, I actually
14 represent the coal industry producers who want --

15 JUDGE MILLETT: Yes.

16 MR. DELAQUIL: -- (indiscernible) subject to
17 regulation under this section.

18 JUDGE MILLETT: Okay.

19 MR. DELAQUIL: EPA --

20 JUDGE MILLETT: Sorry, Judge Walker.

21 MR. DELAQUIL: But getting back to Judge Walker's
22 question --

23 JUDGE WALKER: Well this, no, Judge Millett's
24 question was my question too. And then my follow-up is, a
25 few moments ago you were talking about 7412(d) and the way

1 that that fits into the kind of, the sequencing. But am I
2 now understanding your answers to me and Judge Millett to be
3 that if EPA wanted to regulate existing power plants that
4 emit carbon, the EPA could de-list them on let's say Monday
5 as sources under 7412, they could regulate them on Tuesday
6 under 7411(b), and then they could list them again on
7 Wednesday under, as sources under 7412. I guess, number
8 one, and I know it wouldn't really be Monday, Tuesday,
9 Wednesday, but you get the point. Number one, is that what
10 you're saying? And number two, if that is what you're
11 saying, how is that sensible at all when you could just cut
12 out some of those steps and get the same result?

13 MR. DELAQUIL: Two points. First, I think that
14 hypothetical is a little bit unrealistic in that it would, I
15 think it would be difficult to support a recent decision to
16 de-list and then list in that manner given some symmetry
17 between the criteria for listing and de-listing. But there
18 are other programs that potentially could be applied to just
19 in coal-fired power plants, potentially, if greenhouse gases
20 fit the criteria for a NAAQS pollutant under Section 107,
21 they potentially could be applied to existing coal-fired
22 power plants. And so --

23 JUDGE MILLETT: Is that a lot of --

24 JUDGE WALKER: What about --

25 JUDGE MILLETT: Go ahead.

1 JUDGE WALKER: What about 115? Do you have any
2 thoughts on whether the EPA could regulate existing power
3 plants that emit carbon under the international regulatory
4 regime that's allowed in Section 115?

5 MR. DELAQUIL: 115 raises a host of other issues,
6 and I'm generally familiar with it and the Her Majesty case
7 from the Sixth Circuit, which I think is the primary case
8 interpreting that provision. I think that that probably
9 requires the type of one-to-one symmetry in reductions that
10 we haven't seen under the current international agreements
11 but subject to a more careful review of that specific
12 section, it is possible that an appropriate international
13 agreement that had parallel reductions from signatory
14 countries could qualify. And that would also involve the
15 political branches in the process in a way that would be
16 salutary.

17 So Judge Millett --

18 JUDGE WALKER: I'll stop. Judge Millett, I know
19 you had --

20 JUDGE MILLETT: No, I just think you had a lot of
21 potentiallys, potentially. In fact, you seemed to be quite
22 clearly saying potentially at every, in every clause. And
23 so what I would just like to know, does your client have a
24 view, a position now on whether, without a potentially on
25 whether you could be regulated under an Act, 115, or

1 something else? Or is the position that, no. It's fine to
2 say it. The Court's going to agree or disagree. I just
3 would like to know your client's position because you all
4 deal with all these statutes more often than I do.

5 MR. DELAQUIL: I'm arguing on behalf of multiple
6 petitioners in this case, and as a result of that, I don't
7 think that I can give you that answer, Judge Millett,
8 because I haven't had those specific discussions
9 (indiscernible) and I'm not trying to be evasive.

10 JUDGE MILLETT: No, I understand that. Thank you.
11 Okay, I apologize, Judge Walker. I jumped in a couple
12 times. Do you have more questions?

13 JUDGE WALKER: No. I appreciated it. It was
14 helpful to me, and I have no more questions.

15 JUDGE MILLETT: Judge Pillard, do you have more
16 questions?

17 JUDGE PILLARD: No, thank you.

18 JUDGE MILLETT: Okay. Thank you very much, Mr.
19 DeLaquil. DeLaquil? Did I get it right that time?

20 MR. DELAQUIL: You did. Thank you, Judge Millett.

21 JUDGE MILLETT: The second time. I apologize.
22 And now we're going to hear from Mr. Hadzi-Antich. Did I
23 say that correctly?

24 MR. HADZI-ANTICH: Yes, you did, Your Honor.

25 JUDGE MILLETT: Okay.

1 ORAL ARGUMENT OF THEODORE HADZI-ANTICH, ESQ.

2 ON BEHALF OF THE ROBINSON PETITIONERS

3 MR. HADZI-ANTICH: Good morning. Theodore Hadzi-
4 Antich for petitioners Robinson, et al. I reserve two
5 minutes for rebuttal. May it please this Court.

6 In 2009 and again in 2015, EPA made formal
7 findings that carbon dioxide in the ambient air endangers
8 human health and is emitted from numerous and diverse mobile
9 and stationary sources. Having made those findings, EPA is
10 not permitted to circumvent NAAQS by first regulating under
11 the supplemental source category program of Section 111.

12 JUDGE MILLETT: Could you start, I apologize, but
13 could you start, before you get to your very interesting
14 legal arguments with the standing question that's been
15 raised in this case?

16 MR. HADZI-ANTICH: Certainly.

17 JUDGE MILLETT: Because otherwise we're just not
18 allowed to entertain those questions.

19 MR. HADZI-ANTICH: So the Sindelar declaration
20 goes through numerous examples of TPPF standing and
21 Competitive Enterprise Institute standing. And I'll focus
22 on one, specific example with regard to TPPF. Long before
23 the ACE Rule was promulgated, Texas Public Policy Foundation
24 provided counseling, advocacy, and litigation services to
25 its existing clients who favor the full use of fossil fuels.

1 The ACE Rule seeks to limit the use of fossil
2 fuels specifically in the context of electricity generation.
3 The rule caused existing pro-fossil fuel clients to seek
4 greater help from the foundation to further their efforts to
5 encourage more rather than less use of fossil fuels
6 throughout the economy. This required the Foundation to
7 spend more time and more resources providing services to
8 those existing clients. So --

9 JUDGE MILLETT: What kind of services? Did you
10 submit any affidavits or declarations to support your
11 standing argument?

12 MR. HADZI-ANTICH: Yes. The declaration of Greg
13 Sindelar --

14 JUDGE MILLETT: Okay.

15 MR. HADZI-ANTICH: Who is the Chief Operating
16 Officer of Texas Public Policy Foundation. So, in terms of
17 what kinds of existing clients, the very clients --

18 JUDGE MILLETT: Not clients, services. Sorry. I
19 don't want to (indiscernible).

20 MR. HADZI-ANTICH: Oh, okay. Well, as I said,
21 counseling, litigation, and advocacy services for those who
22 favor more rather than less use of --

23 JUDGE MILLETT: What do you mean, excuse me, by
24 counseling? Do you mean counseling them how to undertake
25 litigation or advocacy efforts, or is there some sort of

1 distinct counseling separate from litigation advocacy?

2 MR. HADZI-ANTICH: Well, as the Sindelar
3 declaration sets forth, TPPF has a major client-oriented
4 program, Life:Powered, that basically provides clients with
5 advice, litigation services as well as public advocacy
6 services in terms of expanding rather than contracting the
7 use of fossil fuels. These are existing, long-term clients.

8 JUDGE PILLARD: And this is sort of legal
9 advocacy, policy advocacy, trying to make the terrain more
10 hospitable to the use of fossil fuels over time, as a legal
11 matter and a policy matter?

12 MR. HADZI-ANTICH: Well, it is that, but it also
13 is with regard to advocating on behalf of specific clients,
14 some of which are on our Robinson Petitioners client list
15 now with regard to how fossil fuels may be used more with
16 regard to their specific businesses. In comes the CPP
17 first, followed by the ACE rule.

18 JUDGE PILLARD: But the difficulty, Mr. Hadzi-
19 Antich, is under our Circuit's precedent, advocacy
20 activities and litigation activities, as you know, are
21 generally, you know, effects on those are generally not
22 considered to be a basis for standing. So I think we're
23 just really trying to get at, you know, is there some
24 service provision like what was recognized to support
25 standing in Havens or is there only advocacy in the sense

1 that our Circuit precedents would say don't give a basis for
2 standing?

3 MR. HADZI-ANTICH: Well, there is service at least
4 in connection with litigation. We --

5 JUDGE PILLARD: But that's not something that our
6 Circuit recognizes. That's what I'm saying, and that's why
7 we keep probing so that you can tell us which services do
8 survive.

9 MR. HADZI-ANTICH: I do believe that the Circuit
10 has recognized litigation. And let me just, give me one
11 moment to turn to that. Haitian Refugee Center v. Gracey,
12 D.C. Circuit 1987, found injury in fact for an organization
13 providing litigation services in connection with deportation
14 proceedings. The challenged agency action was an
15 interdiction order that impaired its daily litigation work.
16 So here, we have ongoing litigation for a number of clients
17 who encourage the use of fossil fuels, not only generally as
18 a matter of policy, but with regard to their specific
19 business interests. Some of those clients are clients in
20 this case, and there are others as well.

21 And so as the CPP came down the pike, these
22 clients turned to us and said, hey, wait a minute. We want
23 more rather than less fossil fuel (indiscernible), and this
24 CPP is going to impact our business because it's, it
25 requires less fossil fuels. So we --

1 JUDGE MILLETT: Can I go back again? You just
2 cited a case. And I'm sorry, is that, which case was, can
3 you give me the citation again?

4 JUDGE PILLARD: Gracey. Gracey from 1987.

5 JUDGE MILLETT: Grayson is that? Sorry.

6 MR. HADZI-ANTICH: Okay. Thank you, Your Honor.
7 So they came to us basically, what are we going to do about
8 the CPP and an increased federal incursion into the lesser
9 use of fossil fuels? We were forced to counsel them on how
10 they could deal with the CPP. We were forced to counsel
11 them on how other aspects of their efforts to increase
12 fossil fuel use would be impacted by this, and that
13 increased our costs and increased the level of difficulty
14 and effort by which the Foundation was required to service
15 its existing clients. So it's not a situation of choosing
16 to shift resources to deal with new issues. It's a
17 situation of increasing resources to deal with clients
18 existing issues exacerbated first by CPP and now by ACE.

19 JUDGE WALKER: If you were in the business of
20 consulting, advising on OSHA compliance, and then Congress
21 passed a new law that increased workplace safety rules, so
22 then as a result, you'd have more, you now have more things
23 you need to advise your advisees about, do you think you
24 would have standing there to challenge Congress's
25 Occupational Safety and Health workplace new legislation?

1 MR. HADZI-ANTICH: Yes, to the extent that we had
2 existing clients for which we were providing OSHA services,
3 and these existing clients were impacted subject to these,
4 this new OSHA law, certainly. Our level of effort would be
5 increased as a result of the new law for the existing
6 clients. We're not going to tell these clients --

7 JUDGE WALKER: Why wouldn't --

8 MR. HADZI-ANTICH: -- we're not going to deal with
9 that.

10 JUDGE WALKER: Why wouldn't we, at least as a
11 legal matter, define you as an advisor for, let's say the
12 new laws were passed in 2019. We would define you as an
13 advisor of pre-2019 workplace safety rules. And so long as
14 you stayed an advisor pre-2019 workplace safety rules, you
15 wouldn't have anything extra to do after 2019. Now, if you
16 decide to become a different advisory group, if you decide
17 to change and become a pre- and post-2019 workplace safety
18 rules advisory group, then yes, you've got more to do. But
19 that's a choice you made. It's not an injury that's
20 inflicted on you by the statute.

21 MR. HADZI-ANTICH: I understand your point, Your
22 Honor. But our situation is different. Our situation is we
23 have existing clients that don't only rely on us for
24 compliance, if you will with existing fossil fuel energy
25 requires. We have clients that rely on us to advise them on

1 how they can increase the use of fossil fuels across the
2 board.

3 For example, let me give you a specific example.
4 Liberty Packing Company is a manufacturer of tomato paste
5 from raw tomatoes. And they use natural gas to fire their
6 boilers. And they are seeking in California, which is a
7 very difficult thing to do, to increase the availability of
8 natural gas, a fossil fuel, for their facility. Without the
9 ability to use fossil fuels, they're out of business. But
10 they're looking for us to make sure that we advise them to
11 take steps to increase the world, the potential of their use
12 of fossil fuels. It wasn't, they say, here's a --

13 JUDGE MILLETT: What kind of steps? Litigation,
14 lobbying?

15 MR. HADZI-ANTICH: Yes.

16 JUDGE MILLETT: Lobbying?

17 MR. HADZI-ANTICH: Well, we don't lobby, per se.

18 JUDGE MILLETT: No. Do you advise them? Do you
19 advise them to --

20 MR. HADZI-ANTICH: We do advise them.

21 JUDGE MILLETT: Engage in advocacy and litigation?

22 MR. HADZI-ANTICH: Yes, we do.

23 JUDGE MILLETT: What else? And what else? What
24 other kind of -- but I don't want details as to a particular
25 client. I'm just really trying to get the categories. What

1 else?

2 MR. HADZI-ANTICH: Sure. We refer them to
3 engineers, scientists with whom we work on a steady basis,
4 which we've also done in the context of the CPP. And, I
5 mean, it's a, basically we're a one-stop shop for these
6 clients. They're basically small businesses. We're not
7 talking about large entities, but small businesses that feel
8 the crush of regulating fossil fuels, which they've been
9 using for decades. And here comes the CPP --

10 JUDGE WALKER: Do your clients pay you?

11 MR. HADZI-ANTICH: We're a not-for-profit
12 organization. We are not paid by our clients, no.

13 JUDGE MILLETT: Can I ask you to look at paragraph
14 5 of Greg Sindelar's declaration?

15 MR. HADZI-ANTICH: Yes. I wish I had it in front
16 of me, but --

17 JUDGE MILLETT: Okay. (Indiscernible). Among
18 other things, the foundation's mission is to promote,
19 defend, and ensure liberty, personal responsibility,
20 property rights, criminal justice reform, greater
21 educational opportunities, balance environmental regulation,
22 free speech, states' rights, energy sufficiency, free
23 enterprise. That's a long list. I'm trying to imagine a
24 regulation you couldn't challenge.

25 MR. HADZI-ANTICH: Well, as Mr. Sindelar's

1 declaration also says, and I think he's got an entire
2 paragraph or maybe two or more on our Life:Powered division.

3 JUDGE MILLETT: I'm just asking if that's what
4 you're, if that's what you do, and that's among other
5 things. So that list is not comprehensive, apparently.
6 What regulation isn't going to affect free enterprise,
7 liberty, personal responsibility, property rights?

8 MR. HADZI-ANTICH: I think it's a matter of
9 degree, Your Honor. So, we concentrate to a very large
10 degree on energy issues. That's --

11 JUDGE MILLETT: So this description is not
12 accurate?

13 MR. HADZI-ANTICH: Well, no, it's accurate. It's
14 just a question of degree.

15 JUDGE MILLETT: Well, I don't know how much degree
16 you have to have for standing. If it makes you do more, the
17 fact that, the whole argument would be we'd rather
18 concentrate on environmental laws, but you passed a
19 regulation over here that affects, it's a, you know, net
20 neutrality that affects someone's free speech, so now we're
21 having to spend more time on net neutrality than we did
22 this, so you could intervene there, you could not
23 intervene --

24 MR. HADZI-ANTICH: Many of those are one-offs, but
25 our services --

1 JUDGE MILLETT: Can you imagine a regulation that
2 wouldn't fall within this paragraph, at least a business
3 regulation?

4 MR. HADZI-ANTICH: No. I mean, it's broadly
5 defined. But what I'm saying is that our services for our
6 existing clients substantially take up a tremendous amount
7 of our time with regard to fossil fuels and the --

8 JUDGE PILLARD: And you're referring to --

9 MR. HADZI-ANTICH: -- (indiscernible) fossil
10 fuels.

11 JUDGE PILLARD: You say in the, or as Mr. Sindelar
12 says in his declaration, you're referring to services like
13 legal counseling referral and advocacy, testifying before
14 Congress, submitting comments to EPA, combatting federal
15 regulation of carbon dioxide. That's, when you talk about
16 services that are relevant to this case, that's the kind of
17 thing you're talking about?

18 MR. HADZI-ANTICH: Yes.

19 JUDGE PILLARD: Okay.

20 MR. HADZI-ANTICH: Those are included among the
21 kinds of things that we're talking about, as well as
22 litigation. I didn't hear whether you listed litigation,
23 but --

24 JUDGE PILLARD: Yes. Okay.

25 MR. HADZI-ANTICH: -- certain litigation is a

1 major component of that.

2 JUDGE PILLARD: I'm done.

3 MR. HADZI-ANTICH: If I may, I'd just like to
4 spend one moment with regard to Petitioner Competitive
5 Enterprise Institute and their standing.

6 JUDGE MILLETT: What we're going to do, you can
7 have one quick minute on that, and then if you want to,
8 we'll give you like one minute on, one or two minutes on the
9 merits, but then we're going to need to move on.

10 MR. HADZI-ANTICH: Okay. Thank you. This Court's
11 decision on August 14th in a case called Competitive
12 Enterprise Institute v. FCC reaffirmed a long line of cases
13 by finding that the Institute was injured in fact by
14 regulations that would affect the market actions of third
15 parties. Here, that very petitioner has shown that the ACE
16 Rule will increase the cost of retail electricity alleged as
17 an injury to itself.

18 So I'd like to go back to the merits arguments,
19 and frankly, I'm not sure how much of this I went through,
20 but I'll be very quick. I'm not sure how much of this you
21 heard. But in 2009, and again in 2015, EPA made formal
22 findings that carbon dioxide in the ambient air endangers
23 human health and is emitted from numerous and diverse mobile
24 and stationary sources.

25 Having made those findings, EPA is not permitted

1 to circumvent NAAQS by first regulating under the
2 supplemental source category program of Section 111. That
3 was explained by the Second Circuit in Train (phonetic sp.),
4 by the Ninth Circuit in National Audubon Society, and by
5 district court in this Circuit in Zook (phonetic sp.), which
6 was affirmed by this Court in a one-page summary opinion in
7 2014. Earlier, this Court took a similar approach in
8 Kennecott. Those decisions are consistent with the Supreme
9 Court's instructions in Whitman regarding the relative
10 functions of Sections 108 and 111.

11 Now, EPA asserts in Section 111(d)'s prohibition
12 against regulating NAAQS pollutants is the same as
13 permission to regulate non-NAAQS pollutants. That's a
14 classic example of the logical fallacy of denying the
15 antecedent, which was rejected by this Court twice in New
16 England Power and in Agricross (phonetic sp.) and by Justice
17 Scalia in his concurring opinion in the Supreme Court's
18 Canning (phonetic sp.) case in 2014.

19 And here, EPA falls for that same, logical trap.
20 Consider this. A law prohibiting you from painting your
21 one-story house white is not the same as permitting you to
22 paint it green where the law also says that only two-story
23 houses may be painted green. And that's what we have here,
24 prohibiting one type of action under Section 111(d) is not
25 the same as permitting another type of action that's

1 foreclosed by the antecedent provision, Section 108. Thank
2 you.

3 JUDGE MILLETT: Okay. Any more questions from
4 Judge Pillard or Judge Walker?

5 JUDGE PILLARD: You mentioned, Mr. Hadzi-Antich,
6 another CEI case that you said just recently was decided.
7 Did you file that with us under 28(j)? Was that --

8 MR. HADZI-ANTICH: I was not counsel for CEI in
9 that case, no.

10 JUDGE MILLETT: No, but you say it supports your
11 standing here.

12 MR. HADZI-ANTICH: Yes, it does.

13 JUDGE PILLARD: You give it to us as a
14 supplemental authority.

15 MR. HADZI-ANTICH: I'm sorry, Your Honor --

16 JUDGE PILLARD: Did you cite it in your brief?
17 Did you cite it in your brief?

18 MR. HADZI-ANTICH: No, it's a case that was just
19 decided on August 14th of this year.

20 JUDGE PILLARD: Okay, right. And our procedure is
21 that if there is an authority that you want to bring to our
22 attention that comes out after the briefing, that you submit
23 it to us as a supplemental authority with a letter under
24 Rule 28(j), and that's what I was asking is whether you had
25 done that. But was that a case that --

1 MR. HADZI-ANTICH: I have not, no.

2 JUDGE PILLARD: -- judgment decided without
3 argument?

4 MR. HADZI-ANTICH: I'm sorry?

5 JUDGE PILLARD: That was a judgment rather than a
6 precedential opinion? I've just not seen this case. I'm
7 trying to get a sense from you where to find it. Do you
8 have a citation or anything?

9 MR. HADZI-ANTICH: I do believe I have a citation.
10 One moment, please.

11 JUDGE PILLARD: Ah, here we go. My clerks have
12 found it.

13 MR. HADZI-ANTICH: Yes, it's a Lexis citation.

14 JUDGE PILLARD: I have it.

15 MR. HADZI-ANTICH: Okay.

16 JUDGE PILLARD: Yes, go ahead. The other Judges
17 might want it. Go ahead.

18 MR. HADZI-ANTICH: Yes. 2020 U.S. App. Lexis 2587
19 D.C. Circuit August 14th, 2020. And I apologize for not
20 following the rule of a supplemental authority, but it is a
21 case that was decided by this Court.

22 JUDGE WALKER: If I could ask one question. Do
23 you think that the arguments that you are making about the
24 validity of what the EPA did are arguments that were
25 forfeited by all of the other parties, and therefore are

1 considerations that we are not allowed to consider if we
2 decide you don't have standing?

3 MR. HADZI-ANTICH: Okay. I apologize. I'm going
4 to repeat the question just to make sure I understand it.
5 So the question --

6 JUDGE WALKER: Maybe this is a simpler way.
7 Sorry. I'll make it even simpler. Could you have
8 accomplished everything you want to accomplish by just
9 filing an amicus brief?

10 MR. HADZI-ANTICH: We decided in this case that
11 the answer to that is no. We wanted actually a seat at the
12 table, which we have today. We did file an amicus brief
13 arguing the same issues in connection with the Clean Power
14 Plan, but that amicus brief I think received zero attention,
15 which led us to file this case so that we would get more
16 than zero attention. If there are further questions on
17 standing, I actually would be very happy to discuss those.
18 We do think we have standing. We know that our resources
19 and expenditures and costs increase dramatically for our
20 existing clients, and not because of something new that came
21 down the pike that we weren't involved in. And I think that
22 the --

23 JUDGE WALKER: I'm good.

24 MR. HADZI-ANTICH: The cases --

25 JUDGE WALKER: I'm good on standing. If you have

1 answers for Judge Pillard or Judge Millett, I'm getting out
2 of the way on this one.

3 JUDGE MILLETT: Judge Pillard, do you have any
4 more questions?

5 JUDGE PILLARD: No, thanks.

6 JUDGE MILLETT: Okay. Then I think we're going
7 to, it's time for the EPA, and that's Meghan Greenfield, I
8 believe.

9 MS. GREENFIELD: Good afternoon.

10 JUDGE MILLETT: You've been patient and waiting a
11 long time.

12 MS. GREENFIELD: May it please the --

13 JUDGE MILLETT: There's more to come.

14 MS. GREENFIELD: Sorry.

15 JUDGE MILLETT: No, go ahead.

16 ORAL ARGUMENT OF MEGHAN E. GREENFIELD, ESQ.

17 ON BEHALF OF RESPONDENTS

18 MS. GREENFIELD: May it please the Court, my name
19 is Meghan Greenfield, and I'm here on behalf of EPA. I'd
20 like to begin with petitioners' argument that EPA Section
21 111(b) significant contribution endangerment finding can be
22 challenged in this case. It cannot.

23 Section 111 is clear that EPA is obligated to make
24 a significant contribution endangerment finding only when
25 issuing new rules of new sources under Section 111(b).

1 There is no parallel requirement under Section 111(d).
2 Petitioners nevertheless argue that the endangerment issue
3 is properly raised here. That's wrong for two reasons.

4 First, the petitioners concede in their reply that
5 their own trade groups challenged the 2015 111(b) rule. In
6 that case, those petitioners made the same argument that the
7 petitioners made here, namely that a significant
8 contribution endangerment finding that was pollutant-
9 specific was required before regulating under Section
10 111(b). Petitioners can't claim that they had no
11 opportunity to challenge that earlier rule when they
12 actually did so. Moreover --

13 JUDGE MILLETT: Can I back you up? This is a
14 confusing area of law for me. And that is, if a trade
15 association sues, and some trade associations, like the
16 Chamber of Commerce, have lots and lots and lots of members.
17 Does that mean that every, single member is necessarily on
18 notice and participating in that challenge?

19 MS. GREENFIELD: Not --

20 JUDGE MILLETT: Because that's saying that the new
21 source rule didn't affect them because they're never going
22 to be a new source.

23 MS. GREENFIELD: Right. Not necessarily. I think
24 it's true that sometimes a trade association can represent
25 both individual -- organizations with standing and

1 organizations without. But here it's clear that these coal
2 companies did have standing. And that's clear from the
3 petitioners' brief in the 111(b) rule itself. There, those
4 petitioners claim that they have standing to challenge the
5 111(b) rule because it was the legal predicate for
6 regulation under 111(d).

7 And they said if the (b) rule falls, then the (d)
8 rule will go with it. So we have standing to challenge that
9 because of our interest in existing sources. EPA did not
10 contest that standing there.

11 JUDGE PILLARD: So the question is whether it's
12 too late for them to challenge now? There's a challenge in
13 the context of the new source rule, but that's been held in
14 abeyance. So, these petitioners have challenged at the time
15 of the new source rule and also challenged when it became
16 clear under a very different rule, the ACE Rule, that's an
17 entirely different, you know, that affected them in an
18 entirely different way. They might have been unharmed by
19 the 2015 rule, and now they're challenging because the ACE
20 Rule uses that finding in a very different way. So, I guess
21 the question is why, what's untimely about that challenge
22 given those distinctive circumstances?

23 MS. GREENFIELD: So I have two answers because I
24 think that there are two questions there, so the first about
25 the abeyance. It's true that the 2015 rule challenge is in

1 abeyance, but the petitioners in that case supported the
2 abeyance and then have never sought to lift it. So the fact
3 that it's remained in abeyance I don't think is really,
4 works one way or another.

5 And second, the petitioners are claiming that this
6 rule affects them in a different way, but that's simply
7 untrue because the (b) rule is the legal predicate for the
8 (d) rule, and that's always been clear. And it's
9 particularly clear here because the 2015 new source rule
10 under 111(b) was released the very same day as the existing
11 source rule, 111(d). That's the Clean Power Plan. And so
12 the linkage between the two, that the (b) was the legal
13 predicate for the (d) was obvious at that time. And so
14 petitioners can't come in now and say there's some sort of
15 new legal consequence that they were unaware of.

16 JUDGE PILLARD: I'm not sure that either the
17 objection then or the objection now before the agency was
18 quite as eloquent as Mr. DeLaquil has been in his briefing
19 to us about what they're objecting to. And I don't know if
20 you have taken a position on it.

21 It seems like they are saying, you know, it really
22 matters, not just are we a big player in carbon dioxide
23 emissions, but how are you measuring it? And I don't
24 remember seeing those kinds of details in their objection
25 before the agency.

1 And maybe this is more a question for him, but is
2 that part of your claim that it hasn't been timely raised,
3 that you were not put on notice of a potential inadequacy
4 that might even affect the adequacy of the new source rule's
5 significant contribution finding as such?

6 MS. GREENFIELD: We aren't claiming that they have
7 somehow waived their objection. So the answer to that
8 question is no. But we do think that even assuming that
9 petitioners are right, that the significant contribution
10 finding that EPA has to apply criteria to the specific
11 pollutant at issue, that that happened here in 2015.

12 JUDGE PILLARD: How so? I mean, they're saying we
13 don't know. Is it global? Is it domestic? Is it, do we
14 look at the past and the future as well as the current
15 situation? You know, are you looking at, you know, I guess
16 there's different ways to measure volume and rate and
17 whatever. Has EPA made those things clear?

18 MS. GREENFIELD: EPA hasn't made the specifics of
19 those claims clear, but it need not do so in this context,
20 and that's, in the 2015 rule, what EPA said about the
21 contribution here was that the contribution of greenhouse
22 gases from fossil fuel-fired power plants was one-third of
23 domestic greenhouse gas emissions, that the source category
24 emitted three times the next ten highest emitting source
25 categories combined, and that under any reasonable threshold

1 or definition that this is a significant contribution.

2 And I note that petitioners also argue in their
3 reply that they're not saying that EPA has to consider a
4 certain set of criteria or another. They're not saying that
5 the statute requires standard X. But they're saying that
6 EPA didn't grapple with the significant, this significance
7 question at all, and that's simply incorrect based on the
8 record for the 2015 --

9 JUDGE PILLARD: And you're saying this is not the
10 case in which to worry about that because under, as you just
11 said, under any reasonable definition or threshold, this is
12 clearly a significant contribution.

13 MS. GREENFIELD: Yes, that's correct. And --

14 JUDGE MILLETT: Do you mean by the flipside of
15 that that there's no reasonable record on which, or given
16 what's in the record, there's no reasonable basis on which
17 EPA could have concluded otherwise as a matter of law?

18 MS. GREENFIELD: So the issue was not reopened in
19 this case, and so the record on that particular issue is not
20 here. It's in the (b) rule. And so that's why we think
21 that the challenge --

22 JUDGE MILLETT: I'm talking about from the new
23 source, from the new source rule itself, that --

24 JUDGE PILLARD: Assuming that that challenge
25 carries over, or the fact that they challenged it there is

1 enough for them to raise it now, looking at that record as
2 the relevant record, which I think --

3 MS. GREENFIELD: Exactly. That's correct.

4 JUDGE PILLARD: Looking at that record as the
5 relevant record, I think the question was are you saying
6 that --

7 JUDGE MILLETT: On that record, yes, under the
8 current law.

9 JUDGE PILLARD: -- on that record, EPA really
10 couldn't have held that it wasn't a significant factor?

11 MS. GREENFIELD: That's correct. It would be,
12 there is no evidence on that record that --

13 JUDGE PILLARD: (Indiscernible.)

14 MS. GREENFIELD: No, it's not --

15 JUDGE MILLETT: Sorry. I'm sorry. I just want to
16 make crystal clear. As a matter of law, it would have been
17 unreasonable for the agency on that record to conclude that
18 they are not significant. That's your point.

19 MS. GREENFIELD: Right. And that's what the
20 agency said on that record under any reasonable threshold of
21 significance, however we describe it.

22 JUDGE MILLETT: Well that's not quite, I'm asking
23 the flipside for a reason. That's not quite the same as
24 saying it's, we would, as a matter of law, would have had no
25 discretion to conclude otherwise.

1 MS. GREENFIELD: It would have been irrational on
2 that record.

3 JUDGE MILLETT: Okay.

4 MS. GREENFIELD: Yes.

5 JUDGE MILLETT: Thank you.

6 MS. GREENFIELD: So next I'd like to address the
7 other point that petitioners are making, and that's that EPA
8 has reopened the challenge to the 2015 finding in this
9 proceeding. And that's not true. The reopening doctrine is
10 exceedingly narrow, and that applies only where the agency
11 has explicitly or implicitly reopened an issue by
12 reexamining the choice made. And here in the ACE Rule, the
13 agency made clear that it was not reopening this issue and
14 that it did not reexamine the underlying facts of that
15 endangerment finding.

16 And one additional point on this petitioner's
17 claim is that petitioners argue that EPA's position is
18 unreasonable because they could have never known, or it puts
19 them in the untenable position of forecasting how EPA will
20 act in the future. But on the facts here, that's just
21 abundantly untrue because, as I said earlier, that the (b)
22 rule and the (d) rule were released on the same day, and so
23 the linkage between the two was obvious.

24 JUDGE WALKER: Can I ask you about whether the EPA
25 can regulate sources through 111(d) that are already

1 regulated by 112?

2 MS. GREENFIELD: Absolutely. We can turn to that
3 issue. Yes, so --

4 JUDGE WALKER: Judge Millett and Judge Pillard,
5 did you have follow-ups on the previous topic?

6 JUDGE PILLARD: No.

7 JUDGE WALKER: I don't want to jump the gun.

8 JUDGE MILLETT: No, no. It's whatever you're
9 interested in.

10 JUDGE WALKER: I guess my first question is, is
11 the EPA saying that it's ambiguous whether the agency can
12 regulate sources through 111(d) that are already regulated
13 under 112, or is the EPA saying that it's unambiguous that
14 the EPA can do that?

15 MS. GREENFIELD: We're saying that this aspect of
16 Section 111(d) is ambiguous and that it requires
17 interpretation, and that EPA's construction of the ambiguity
18 in the codified version of 111(d) is reasonable.

19 JUDGE PILLARD: And that's the consistent position
20 of the agency ever since 1990?

21 MS. GREENFIELD: It's been the consistent position
22 of the agency, yes. And petitioners --

23 JUDGE WALKER: Can I ask you, the petitioner and
24 the panel have talked about my prime number odd number
25 analogy maybe beyond the point that we should. But I wonder

1 if you can tell me why you think the analogy is off, which I
2 assume you do.

3 MS. GREENFIELD: So, and to be --

4 JUDGE WALKER: I can remind you of it quickly --

5 MS. GREENFIELD: Would you mind going through it
6 again? Because I had a little bit of trouble. I'm sorry.

7 JUDGE WALKER: No, no problem.

8 MS. GREENFIELD: Why don't we go through it, and
9 then I can try.

10 JUDGE WALKER: At the risk of subjecting everyone
11 to it again. Imagine a bill that says you can pick any
12 lottery number you want. There are 100 lottery numbers on
13 the ball. But the bill has two amendments. One amendment
14 says you can't pick an odd number. One amendment says you
15 can't pick a prime number. I think I would read that to
16 mean that you can't pick an odd number. And all prime
17 numbers are odd numbers. So it's just you can't pick an odd
18 number.

19 Likewise, I read the House and the Senate
20 amendments to be you can regulate under 111(d), but there
21 are two exceptions. You can't regulate air pollutants that
22 are covered in 112, and you can't regulate sources that are
23 regulated under 112. So regardless of whether or not the
24 air pollutants are a subset of the sources or whether they
25 are, there's some kind of a Venn diagram thing going on, at

1 the very least, you know, you can't regulate the sources
2 that are already regulated under 112. So in my analogy, the
3 sources are like the odd numbers. The pollutants are like
4 the prime numbers.

5 MS. GREENFIELD: Right. So, I think that, you
6 know, I have two answers to that. The first is, in your
7 hypothetical, the first instruction is clear on its face,
8 right? It's, you can't, I think you can't regulate odd
9 numbers is the first one, and you can't regulate prime
10 numbers is the second. So, it's clear what you can't
11 regulate odd numbers means. And here, my point is that if
12 we look at the statutory language, and I can walk through
13 it, it doesn't have one plain or literal meaning that makes
14 any sense at all. And so that's my response to that.

15 And petitioners' reading, which I'd like to
16 address, is completely unreasonable because as they conceded
17 at the CPP oral argument, if you really read the text as
18 they want you to read it, what it prohibits is EPA
19 regulating under 111(d) any pollutants that are emitted from
20 any 112 regulated source, even as to other source categories
21 entirely. And because 112 regulates some 140 different
22 sources that emit every stripe of air pollutant, 111(d)
23 would have no continuing relevance at all because EPA would
24 be prohibited from regulating any of those pollutants that
25 come out of a 112 source even as to other categories.

1 And --

2 JUDGE WALKER: There's a theory that it would have
3 allowed EPA to regulate in the two, three-year window after
4 the 1990 amendments before they had finished applying the
5 112 regulations to power plants, stationary sources. And
6 even beyond that, there was some doubt at the time of 1990
7 whether or not stationary power plants would be regulated
8 under 112.

9 And as I think we were discussing a few minutes
10 ago, the question of, I think the question of whether or not
11 EPA can or should include stationary power plants under 112
12 has been litigated quite a bit, and it's still somewhat up
13 in the air. My impression is that this EPA has said such a
14 regulation is not necessary or appropriate. But they have
15 also said we're going to continue regulation. So I don't
16 quite understand that either. But I'll stop. I'll stop
17 now.

18 MS. GREENFIELD: Yes, so those are two issues.
19 One is that there would be a gap where EPA, or where 111(d)
20 would have some continuing relevance for a short period of
21 time. But the problems with that are that if the real
22 purpose of this prohibition was to prevent EPA from double-
23 regulating sources, that doesn't accomplish it because it
24 still allows for double-regulation. And there's also no
25 legislative history indicating that that was the purpose.

1 So that's on the first point.

2 On the second point, this is the issue of whether
3 or not power plants would be listed at all under 112. And
4 petitioner says, well, EPA could still regulate power plants
5 under 111(d) as long as they de-listed them under 112. But
6 if petitioners are right that what 111(d) prohibits EPA from
7 regulating anything under that provision, any pollutant
8 that's emitted from a 112 regulated source, that extends to
9 power plants regardless of whether or not they're regulated
10 under 112 at all. Because in their view, and at least as
11 they conceded at CPP oral argument, the prohibition extends
12 to all pollutants from all 112 regulated sources as to any
13 other category of sources. And so --

14 JUDGE WALKER: Well, it says, just to make, just
15 to (indiscernible) it says emitted from a source category
16 which is regulated under Section 112. So it seems like the
17 111(d) exception doesn't cover source categories that emit
18 pollutants that are regulated under 112. It only has an
19 exception from regulation for a source category which is
20 regulated under 112. Is that a distinction without a
21 difference --

22 MS. GREENFIELD: Well, I think that that's leaving
23 off the first part, or what's really the middle of the
24 provision. So looking at 7411(d), which I have reprinted on
25 175 of the red brief. Or, I'm sorry, you have it --

1 JUDGE WALKER: I'm good. I've got it.

2 MS. GREENFIELD: I figured you do. I figured --

3 JUDGE WALKER: I've got a little computer screen
4 of more statutes than I can keep track of.

5 MS. GREENFIELD: Right. So the way this reads is
6 it says states have to establish standards for any air
7 pollutant, and then it has a list of prohibitions. And in
8 their view, to get to their, quote, literal reading, you
9 have to pick up the which is not from the middle of the
10 paragraph, and then you skip to the end and say emitted from
11 a source category which is regulated under Section 112. And
12 so that would prohibit EPA from regulating any air pollutant
13 which is emitted from a source category which is regulated
14 under 112.

15 And that means, in another way, it prohibits EPA
16 from regulating all air pollutants that are emitted from 112
17 regulated sources. And that's the reading at the CPP oral
18 argument petitioners conceded is correct. And so that
19 basically renders 7411(d) entirely devoid of meaning.

20 And if Congress really wanted that, they probably
21 would have just deleted the provision in the statute
22 entirely. We wouldn't have this issue of the competing
23 amendments, and it would just be gone if it was supposed to
24 have no continuing effect. And that's what petitioners
25 suggest is really they wanted EPA to handle all of this

1 under 112.

2 But that can't be right for two reasons. First,
3 EPA had a choice whether or not to invoke 112 for power
4 plants. And second, like, if they really wanted to write it
5 out of the statute, this is the same point again, they would
6 have just deleted it, and they didn't do that. And there's
7 no legislative history on this point at all, as I'm sure
8 you've all --

9 JUDGE WALKER: I mean, there is and there isn't.
10 It's somewhat, to the extent we're going to look at
11 legislative history, which, you know, maybe scrivener's
12 error is an exception to the general rule finding
13 legislative history dispositive, the House amendment
14 language about the sources was in the President's original
15 proposal.

16 MS. GREENFIELD: Right.

17 JUDGE WALKER: And so it, you know, it suggests
18 something other than an accident.

19 MS. GREENFIELD: You're correct that there is some
20 ability to track how these provisions moved through the
21 legislative process. My point was more that there was
22 nothing in the legislative history exhibiting a
23 congressional intent to effectively delete 111(d) from the
24 statute, which is what petitioners' reading would do.

25 JUDGE MILLETT: Can I ask in that regard --

1 JUDGE WALKER: Yes, I get that.

2 JUDGE MILLETT: I'm sorry. Go ahead.

3 JUDGE WALKER: No, I agree. I was just saying I
4 agree.

5 JUDGE MILLETT: Right. This is all in a very
6 unwieldy-written, little Romanette i. Is that Romanette i
7 meant to modify air pollutant?

8 MS. GREENFIELD: Yes.

9 JUDGE WALKER: I'm thinking the same thing.

10 JUDGE MILLETT: Right.

11 MS. GREENFIELD: Yes.

12 JUDGE MILLETT: And so, I mean, that's the thing
13 that I'm wondering. It's not the normal use of which, but
14 there's three whiches there, and the other two whiches all
15 modify air pollutant and just describe which air pollutants.

16 MS. GREENFIELD: Right.

17 JUDGE MILLETT: And so that, and if you were to
18 read, my English teacher wouldn't like it, but she would
19 yell at me for not using commas with my whiches anyhow. Can
20 you read the which as regulator in Section 4112 as actually
21 a really ham-handed way of describing yet another list of
22 pollutants that aren't to be regulated under 7411(d), which
23 is how what had been happening before, that's the
24 correction, that's what the Senate amendment would
25 accomplish.

1 MS. GREENFIELD: Yes.

2 JUDGE MILLETT: And it's not completely -- well,
3 you can tell me. Maybe it's completely unreasonable, but
4 it's not a completely untenable reading of what that
5 provision means, so at which point you wouldn't even have to
6 really have a conflict other than grammarians would be very
7 angry.

8 MS. GREENFIELD: Right.

9 JUDGE MILLETT: But (indiscernible) conflict with
10 that because the whole i is meant to modify types of
11 pollutants --

12 MS. GREENFIELD: Exactly, exactly right.

13 JUDGE MILLETT: (Indiscernible) here, so it's not
14 the normal use of which, but.

15 MS. GREENFIELD: No.

16 JUDGE MILLETT: But if you do that, then it's
17 really accomplishing the same thing. The Senate amendment,
18 which is conforming and trying to just clean it up and
19 maintain the status quo. Otherwise, as you said, this
20 little thing is doing an awful lot substantively --

21 MS. GREENFIELD: Right. That's exactly my point.

22 JUDGE MILLETT: (Indiscernible) the other way. So
23 can we read all three as just modifying which air pollutant
24 is pollution?

25 MS. GREENFIELD: Yes. Yes, I think we can. I

1 think that that is EPA's construction here, and that's a
2 reasonable reading of the statute. Is it the only reading?
3 No. This provision is obviously ambiguous. This aspect of
4 this provision is ambiguous and not very well written. But
5 then, if we read it that way, which is reasonable because it
6 ascribes some meaning to this prohibition. It has continued
7 meaning. It has a parallel significance as the prohibition
8 that applies to NAAQS, and it's also consistent with the
9 Senate amendment. And so then we don't have to go through
10 the muddy waters of what we do if there are conflicting
11 amendments that are in the, like, statutes at large, but
12 only one codified version.

13 None of those tricky questions are presented. If
14 we just read this as ambiguous, EPA has interpreted that
15 ambiguity, and it receives Chevron deference because that
16 interpretation is reasonable.

17 JUDGE WALKER: Ms. Greenfield, let's assume that
18 there will be some EPA regulation that's imaginable that
19 depends on the interpretation that you're asserting. And
20 that regulation will be very minor. Everyone will agree,
21 it's just not a particularly major regulation. And then
22 let's assume that in this instance with whether it's ACE,
23 assume that it's major. You may not think that it is, but
24 assume that it is. Does that mean, under the major
25 questions doctrine, that we would afford EPA deference under

1 Chevron when they're interpreting this ambiguous language in
2 the case where we consider the relatively unimportant rule,
3 that we would not afford the agency deference with regard to
4 the same statutory language when it is involved in a major
5 rule?

6 MS. GREENFIELD: I don't think that the deference
7 EPA would receive as to this aspect of 7411 would change
8 depending on the type of regulation that's issued. Because
9 the question here is not the scope of the regulation that
10 EPA is allowed to promulgate, but whether or not EPA has any
11 authority under this aspect of the statute at all. End
12 point as to everything. And so I don't think that the major
13 questions doctrine does any work in this aspect of 7411(d).

14 JUDGE WALKER: Okay. So even if your
15 interpretation, you know, opens the door to a major rule, we
16 still should not apply the major rule doctrine, the major
17 questions doctrine to the question of how to interpret this
18 language. And I --

19 MS. GREENFIELD: Yes. No, that's correct.
20 (Indiscernible).

21 JUDGE WALKER: If that's where you are, then
22 that's the answer to my question.

23 MS. GREENFIELD: Yes.

24 JUDGE WALKER: Okay.

25 MS. GREENFIELD: I would also note that EPA's

1 reading here is consistent with the Supreme Court's decision
2 in AEP. And as we all know, in that case, the Supreme Court
3 held that 7411(d) speaks directly to the issue of regulating
4 carbon dioxide from power plants. And it's hard to say that
5 a provision speaks directly to regulating the issue of
6 carbon dioxide if it has no role whatsoever.

7 JUDGE PILLARD: Well, they're waiting for the 112
8 regulation of carbon dioxide to happen, right? I mean,
9 because there's that footnote 7 that says 111(d) doesn't
10 apply, quote, if existing stationary sources of the
11 pollutant in question are regulated under the National
12 Ambient Air Quality Standard Program or the Hazardous Air
13 Pollutants Program.

14 MS. GREENFIELD: So two notes on that. One, at
15 the time EPA, or AEP -- lots of acronyms here -- was
16 published, the NAAQS regulation of power plants under 112
17 was in the works. You know, power plants had been, EPA had
18 made the necessary and appropriate finding more than a
19 decade before, and a proposed rule had already been
20 published. So it's not as though, it was unknowable whether
21 or not EPA would invoke 112 for power plants at that time.

22 I'd also note, and this came up again at the CPP
23 oral argument, that footnote is dicta, and it's at least
24 half-wrong because at the time of -- that footnote indicates
25 that EPA has no authority to regulate CO2 from power plants

1 if power plants are either regulated under the NAAQS program
2 or under 112. And we all know at the time of AEP and for a
3 long time before, power plants were regulated under the
4 NAAQS program. So that's why we don't rely on dicta.
5 That's (indiscernible) that footnote.

6 JUDGE PILLARD: Well, and I mean, for all we know,
7 some Supreme Court clerk was looking at the U.S. Code which
8 rarely has the kind of complication behind it --

9 MS. GREENFIELD: Right.

10 JUDGE PILLARD: -- (indiscernible) well there it
11 is, and nobody's, nobody just, because it wasn't essential
12 to the holding, it wasn't really delved into possibly. But
13 it's a little troubling.

14 MS. GREENFIELD: I agree, but. I agree that it
15 isn't, that it requires some thought. But because it's at
16 least half-wrong because power plants were definitely
17 regulated under the NAAQS program at the time of AEP. If we
18 were to take that footnote seriously, it would mean that EPA
19 had no authority to regulate under 111(d) at all, and that
20 would be in conflict with the Supreme Court's holding there
21 that it did have authority.

22 JUDGE PILLARD: Thank you.

23 JUDGE WALKER: Do you think it's a scrivener's
24 error?

25 MS. GREENFIELD: Yes.

1 JUDGE WALKER: I'm just kidding.

2 MS. GREENFIELD: I think that that's --

3 JUDGE WALKER: I know it's been a long --

4 MS. GREENFIELD: I can say with some confidence --

5 JUDGE WALKER: It's been a long day.

6 MS. GREENFIELD: -- that I believe that that is
7 scrivener's error.

8 JUDGE WALKER: Well, let me ask a question then on
9 the possibility that it helps us with this case. I'm not
10 convinced that it does, but how does, how is it possible for
11 EPA to de-list power plants under 112 -- sorry. How is it
12 possible for them to find the power plants under 112, their
13 regulation is not necessary and appropriate but to not de-
14 list them as regulated? How does that work?

15 MS. GREENFIELD: So I think you're referring to a
16 recent EPA decision where EPA found that it's not necessary
17 and appropriate to regulate power plants, but nevertheless
18 held the NAAQS regulation in place. They kept it in place.
19 And --

20 JUDGE WALKER: Correct.

21 MS. GREENFIELD: -- for petitions for review filed
22 for that, I'm actual counsel of record in that case as well.

23 JUDGE WALKER: Then you're the right person to
24 ask.

25 MS. GREENFIELD: So I am the right person to ask.

1 But I haven't totally delved into the merits of that case.
2 This one has kept me a little bit busy. But the EPA's
3 interpretation there turns on this New Jersey v. EPA case.
4 And I'm happy to provide further information in a 28(j)
5 letter or something like that if you'd like to address it.
6 But I'm not really prepared to discuss (indiscernible) right
7 now. At a later time, Judge Walker.

8 JUDGE WALKER: That's fine. I appreciate it. I
9 appreciate it.

10 MS. GREENFIELD: I'm happy to answer any questions
11 on the Robin Enterprises petition for review, but I see that
12 I'm about 20 minutes over my time, so I'll --

13 JUDGE MILLETT: That's par for the course today,
14 so. My apologies. These are complicated and a lot of, it
15 took a full en banc court nine hours just to do one of the
16 issues in this case, so we're doing great.

17 JUDGE WALKER: Really?

18 JUDGE MILLETT: We're doing great at this point.
19 Yes, so. Do my colleagues have any further questions, or
20 Ms. Greenfield, do you feel like you got to say the merit
21 sentences that you needed to? Is there anything --

22 MS. GREENFIELD: I feel like these issues are well
23 presented in our briefs, so I'm happy to rest on the briefs.

24 JUDGE MILLETT: Bless you. Okay. All right.
25 Okay, we will go on. I guess we have Mr. Duffy now --

1 ORAL ARGUMENT OF JAMES P. DUFFY, ESQ.

2 ON BEHALF OF RESPONDENT-INTERVENORS

3 MR. DUFFY: Good afternoon. May it please the
4 Court, James Duffy on behalf of 13 public health
5 environmental respondent-intervenors. At the peril of
6 getting back into the -- sorry about my voice. Getting back
7 into the lottery issue, I think our position --

8 JUDGE MILLETT: Lottery? Oh, we're not doing
9 prime and odd. Can we try something else? Can we try
10 (indiscernible). I can't keep my primes and my odds
11 (indiscernible).

12 JUDGE WALKER: I'm sorry.

13 JUDGE MILLETT: (Indiscernible) than I am. I'm
14 older and have less brain cells than him, so.

15 MR. DUFFY: I'll be very brief on this one. We
16 think that the mandate is what EPA shall regulate there, not
17 exclude. So a cumulative reading would allow EPA to
18 regulate pursuant to either grant of authority, and
19 petitioners' approach would render the Senate amendment a
20 nullity. So I think the prior clause that Judge Walker
21 stated doesn't match what we have here, which is a
22 requirement to regulate. So I think that's how we --

23 JUDGE WALKER: This question has nothing to do
24 with math. Can you give me a case, and I suspect there's
25 one out there, that says what you just said that says you

1 read an exception to a regulatory authority as narrowly as
2 possible, rather than as broadly as possible.

3 MR. DUFFY: I don't think there's a case. I just,
4 the language or the text of the statute, which is a mandate
5 to regulate using the word shall is, should be the focus
6 here and the exclusions, if they are to be read
7 cumulatively, would grant either authority.

8 JUDGE PILLARD: (Indiscernible) shall prescribe
9 regulations, da, da, da, da, for, which do this or this or
10 this. So if it's under any of them, then the prescription
11 is required.

12 MR. DUFFY: Correct. That's our reading. I'll
13 move on from 111 and 112. I think the way that Judge
14 Millett laid it out is very similar to how respondent-
15 intervenors placed it in their briefs. We're looking at air
16 pollutants, and we're looking at, you know, which is being
17 regulated. That clause, we are thinking about the
18 regulation as far as what is being regulated there. And
19 what's being regulated there is sources and air pollutants
20 under the 112 program. So I think that the focus on air
21 pollutants is the correct means of looking at this.

22 I'll spend the remainder of my two moments on the
23 significance threshold. We don't think a significant
24 threshold needs to be identified. We agree with EPA that
25 the 2015 significant contribution finding is not before this

1 Court. But if the Court determines that it is, that finding
2 was sufficient. And, in fact, any other finding would have
3 been arbitrary and capricious.

4 There's a robust record here before the Court, but
5 petitioners find that the facts in that record are
6 insufficient because EPA didn't pick a precise threshold.
7 We think that in Coalition for Responsible Regulation, the
8 Court found that an endangerment finding doesn't require the
9 agency to set a precise, numerical value. And that Center
10 for Auto Safety said that identifying a significant risk is
11 not a mathematical straitjacket. We need to look at the
12 facts in this case. And in this case, you have the largest
13 stationary source category, and without mitigating pollution
14 from power plants, no plausible pathway would exist to avoid
15 the worst consequences. So under any scenario, power plant
16 emissions significantly contribute.

17 JUDGE MILLETT: Do you know, just in practice
18 generally in deciding significance, do we look at
19 significance within the United States, or do we actually
20 look at, we have to be significant globally? I mean,
21 normally, I would assume the statute would be focused on
22 domestic operations. Maybe I'm wrong on this one. I know
23 greenhouse gases are international, but the statute, this
24 significance finding covers lots of, lots of different types
25 of pollutants. So, is it (indiscernible) significance?

1 MR. DUFFY: I think it depends on the, on the
2 particular cases. And here, the particular case is --

3 JUDGE MILLETT: I'm sorry, depends on what?

4 MR. DUFFY: The particular case, the facts on the
5 ground. I think it's a case-by-case analysis. And in the
6 context of climate change and power plants, I think that we
7 have, we are an outsize contributor to a global problem.
8 And so the largest stationary source within our country is
9 certainly significant. As I said, if we don't reduce
10 pollution from power plants domestically, there's no pathway
11 to combatting climate change. So, I think this is a
12 (indiscernible) national basis test, and I think that EPA's
13 record is sufficient to support a finding that --

14 JUDGE WALKER: I wouldn't --

15 MR. DUFFY: Pardon?

16 JUDGE WALKER: I would have thought you wanted the
17 opposite, that since American power plants produce, let's
18 call it, let's just say 33 percent of carbon that comes from
19 the United States, that sounds pretty significant. Let's
20 say they produce 2 percent of the carbon in the world, that
21 starts to sound less significant. And you're I think on the
22 side of the, I think you represent the public health
23 environmental respondent-intervenors, so why wouldn't you
24 say that we should base our inquiry into what's significant
25 with regard to a, base it on a national inquiry rather than

1 an international one?

2 MR. DUFFY: I think you can look at both and have
3 it be significance, you know, in the context of climate
4 change --

5 JUDGE MILLETT: Can I ask what do you think
6 Congress was regulating here? Maybe you're thinking of
7 something I'm not. Is there something that's a significant
8 global source but not a significant domestic source?
9 They've got a big fan blowing everything into Canada or
10 something? I can't imagine. Is there someone that you're
11 trying to save some regulation here that's someone
12 significant globally? Because the background presumption
13 is, is Congress intends domestic operation of the statute,
14 and this is not a greenhouse gas statute. Right? It
15 includes greenhouse gas as a pollutant to be regulated, but
16 it covers all kinds. And I would think Congress would have
17 been looking, its reference point would have been looking,
18 its reference point would have been domestic significance.
19 Isn't that what it's going to care most about?

20 MR. DUFFY: Certainly, certainly. I mean, that
21 might be what it cares most about. I don't think it's
22 irrelevant to think about a global problem in a global way.
23 But I think that the domestic significance is certainly
24 relevant. I don't think you can just throw --

25 JUDGE MILLETT: Well, the difficulty is, if you

1 start there, and you think about global significance, then
2 they're going to go we're 2, like Judge Walker said, we're 2
3 percent globally. We're nothing. We are nothing. And
4 that's not significant.

5 JUDGE PILLARD: It seems like either or both. I
6 mean, because we may be a big emitter of other things as
7 well, and if we are, you know, if this is only one of among
8 many big emitters within the U.S., but the U.S. is, you
9 know, overwhelming the rest of the world, like, those are
10 two different but also very important facts for something
11 that is a global --

12 MR. DUFFY: Right, right. Yes, that's exactly
13 what I'm trying to say. And you --

14 JUDGE MILLETT: Yet I still can't imagine a
15 scenario in which they are significant domestically and you
16 need somehow to say and this also has some global role under
17 the statute.

18 MR. DUFFY: Sure. I mean, I think just with a
19 global problem, it's worth considering significance on a
20 global scale. I think that power plants meet the criteria
21 on both accounts in the context of (indiscernible) a source
22 category or country contribution as a problem might seem
23 small or its ability to solve them might seem small in
24 comparison to total emissions of a solution. But they can
25 still be a very important contributor, and that's kind of

1 the logic that EPA set out in the endangerment finding,
2 which it builds upon when it made its significant
3 contribution finding in the alternative in 2015. So we
4 think we can, you know, no matter where you look at it, the
5 United States is an enormous and outsized contributor, this
6 source category is an outsize contributor both in the
7 domestic, in the United States, as well as globally. And so
8 EPA's facts on the record support a rational basis and
9 should be upheld.

10 JUDGE MILLETT: Okay. Any more questions from my
11 colleagues? Okay.

12 MR. DUFFY: Thank you all so much.

13 JUDGE MILLETT: Thank you, Mr. Duffy. And then we
14 have rebuttal. I take it you both want to do some rebuttal,
15 so I'm going to give you each, because it's getting really
16 late here, I'm going to give you each one minute. Okay, Mr.
17 DeLaquil.

18 ORAL REBUTTAL OF MARK W. DELAQUIL, ESQ.

19 ON BEHALF OF THE COAL INDUSTRY PETITIONERS

20 MR. DELAQUIL: Three quick points, Your Honor.
21 First on the issue of endangerment. We aren't arguing that
22 there has to be a numeric threshold. I direct you to page
23 74 of the methane rule that we submitted as supplemental
24 authority which says that it's arbitrary and capricious
25 where EPA doesn't provide a way to distinguish a

1 contribution from a significant contribution. That's seems
2 to be the case here.

3 Second, Judge Millett, you posed an alternative
4 interpretation of the U.S. Code that I think even you
5 suggested was agrammatical where it read HAPS --

6 JUDGE MILLETT: There is nothing grammatical about
7 7411(d) period. Can we all just take judicial notice of
8 that? The whole darn thing is not grammatical.

9 MR. DELAQUIL: Well, the best reading of it is
10 Congress's decision not to use list, which it used in
11 conjunction with the 108 pollutants in conjunction with the
12 112 pollutants give an exclusio inclusio canon
13 interpretation that Congress wasn't just referring to listed
14 HAPS when it said any air pollutant.

15 And finally, there was a suggestion of a
16 concession made in this case that our interpretation was
17 broader than I suggested, but we didn't make that
18 concession. The industry petitioners in the CPP argument
19 very clearly did not make that concession either, and I'm
20 not convinced that the state petitioners made it. I find
21 the record in response to Judge Srinivasan's questions in
22 that argument to be (indiscernible). Thank you.

23 JUDGE MILLETT: Thank you very much. Okay. Now
24 we have Mr. Hadzi-Antich. You need to unmute. Can the
25 courtroom deputy unmute Mr. Antich? Hadzi-Antich, excuse

1 me.

2 THE CLERK: Sir, I have just sent you a request.
3 Please click on okay.

4 MR. HADZI-ANTICH: Can you hear me?

5 JUDGE MILLETT: Now we can. Thank you.

6 ORAL REBUTTAL OF THEODORE HADZI-ANTICH, ESQ.

7 ON BEHALF OF THE ROBINSON PETITIONERS

8 MR. HADZI-ANTICH: Okay, thank you. Just very
9 quickly, two points. First American Electric Power doesn't
10 change the result advocated by Robinson petitioners. The
11 issue of whether EPA could circumvent NAAQS after formally
12 finding twice that carbon dioxide in the ambient air
13 endangers health and is emitted from numerous and diverse
14 sources. That issue was not raised by the parties, not
15 addressed by the Court, and hence, the issue was never
16 analyzed by the Supreme Court in American Electric Power.
17 But that's the issue that we, Robinson petitioners, are
18 raising as an issue of first impression in this Court. And
19 that is the issue to be addressed by this Court.

20 The only other point is, EPA admits that it failed
21 to use NAAQS because of the perceived difficulty in
22 regulating carbon dioxide emissions under NAAQS. EPA says
23 in the briefing that the main problem with carbon dioxide
24 is, quote, its uniform atmospheric concentration. That's in
25 EPA brief 195, note 55. If EPA chooses to regulate carbon

1 dioxide, then it can't reject the sole solution provided by
2 Congress to that main problem, namely a rule limiting
3 uniform atmospheric concentration, which is only provided
4 under the NAAQS program. Thank you.

5 JUDGE MILLETT: Okay, thank you. Any questions
6 from my colleagues? No? Okay. I'm just going to ask my
7 colleagues, do you want to do a break now, or do you want to
8 go, plow ahead? Do my colleagues have a preference?

9 JUDGE WALKER: I vote for a three-minute break if
10 that's okay with the two of you.

11 JUDGE MILLETT: Okay. Let's try five minutes,
12 okay? Courtroom deputy?

13 JUDGE WALKER: Okay, thank you.

14 JUDGE MILLETT: And then hopefully we can get
15 through the next two without another break. And we
16 appreciate counsel's patience very much.

17 THE CLERK: This Honorable Court will now take a
18 brief recess.

19 (Whereupon, a brief recess was taken.)

20 THE CLERK: This Honorable Court is now again in
21 session.

22 JUDGE MILLETT: Okay. I just want to make a quick
23 inquiry if the attorneys are all okay. I hope you were able
24 to grab something to eat very fast. If anyone's feeling
25 like you're having blood sugar problems, then feel free to

1 throw some trail mix in your mouth or something while we're
2 here because I don't want anybody fainting on me. So, okay?
3 All right.

4 We're ready for Mr. Donahue. Now we're on the
5 legality of the other statute, another central attack. The
6 last ones were as well.

7 JUDGE PILLARD: And the rule. And the rule.

8 ORAL ARGUMENT OF SEAN H. DONAHUE, ESQ.

9 ON BEHALF OF THE HEALTH AND ENVIRONMENTAL PETITIONERS

10 MR. DONAHUE: Thank you, Your Honor. May it
11 please the Court, Sean Donahue for the health and
12 environmental petitioners. I'd like to reserve three
13 minutes for rebuttal, please.

14 The ACE Rule's combination of extreme inefficacy
15 at reducing pollution and rigid restrictions on
16 implementation are further evidence that EPA's underlying
17 interpretation of the statute is wrong and certainly not
18 compulsory. But the errors I'm going to discuss would
19 require vacatur of ACE even if the repeal of the Clean Power
20 Plan were lawful. I'd like to highlight four central flaws.

21 First, EPA ignored the act's pollution reduction
22 objective and the benefits of pollution control. Second,
23 ACE violates the statute and EPA's own regulations by
24 failing to establish any emissions limit that state
25 standards of performance must satisfy. Third, EPA

1 arbitrarily rejected systems of emission reduction far more
2 effective than minor improvements and coal plant efficiency.
3 And finally, ACE unlawfully deregulates natural gas and oil-
4 fired plants entirely.

5 Mr. Myers will then address the practical
6 implications for states of the rule's lack of emissions
7 limits and its prohibition on emissions trading.

8 In 2015, EPA identified urgent and severe threats
9 to public health and welfare and found that minor heat rate
10 improvements alone would not satisfy the statutory
11 requirements, particularly with regard to emission
12 reduction. Since then, the National Climate Assessment and
13 multiple other reports, many of which EPA has contributed
14 to, have reaffirmed that the dangers are even more urgent,
15 and in particular the need for achieving large emissions
16 reductions in the very near term is ever more vital.

17 In ACE, EPA didn't disavow any of this, any of
18 these findings, but EPA nonetheless adopted an extremely
19 weak rule that by its own accounting will achieve less than
20 1 percent reductions in emissions that is likely to increase
21 emissions in many states due to the emissions rebound effect
22 that leaves the entire natural gas category unregulated.
23 It's like choosing a squirt gun to put out a five-alarm
24 fire. EPA provides no reasoned explanation of how such a
25 paltry remedy is appropriate given a record showing such an

1 urgent problem.

2 Now, to be sure, EPA has to stay within the bounds
3 of the statute. But the statute talks about emissions
4 control and risks to public health and welfare.

5 JUDGE MILLETT: May I ask, in that regard, because
6 one of the things the EPA points out is that the market on
7 its own is already accomplishing a lot, and in fact has gone
8 faster than even the Clean Power Plan anticipated. Is that
9 a legitimate thing for them to factor in when addressing the
10 concern that you're raising or not?

11 MR. DONAHUE: So first of all, of course, that was
12 no part of the repeal of the Clean Power Plan.

13 JUDGE MILLETT: Right.

14 MR. DONAHUE: And even the ACE Rule, the ACE Rule
15 remarkably is actually designed sort of in contradiction to
16 the powerful market trends toward cleaner generation, toward
17 more extensive use of shifting of dirty sources to clean
18 sources. It actually seeks to, you know, require investment
19 in the dirtier sources. That's where we get the rebound
20 effect. We, you know, it remains the fact that even though
21 there have been --

22 JUDGE MILLETT: I think I wasn't, my question
23 wasn't very clear. What I'm asking is, though, to the
24 extent you're saying, look, this is, as you said, a five-
25 alarm fire and they brought a squirt gun. Is it

1 permissible, is it rational or reasonable for the agency to
2 say it turns out we only need a squirt gun because the
3 market has already brought in, you know, six fire engines
4 with the big hoses?

5 MR. DONAHUE: Right. So I think there's a Chenery
6 problem when they actually come to identifying the best
7 system of emission reduction. That's not the basis they use
8 when they reject the more effective systems. But it's also,
9 I think it would be arbitrary even if they had because it
10 remains the case that EPA's own projections, this will
11 remain a huge, the largest stationary source contributors
12 for years to come, including during this short period of
13 time that we have to get our arms around this problem.

14 So I don't think that that, in fact, is the
15 rationale of that, ACE. It's kind of, it's window dressing.
16 But it's also, it wouldn't be a reasoned basis for just kind
17 of saying, oh, let's just leave it entirely up to the
18 market. Congress, you know, required EPA to regulate when
19 there's a danger like this, as there will continue to be.
20 And that's not, we think that the trends that have driven
21 those reductions show that there are readily available cheap
22 and easy means of achieving much deeper reductions, and
23 that's where EPA should be going instead of --

24 JUDGE MILLETT: But imagine instead of, you have
25 concerns about this rule actually being, you know, actually

1 increasing you said the rebound effect. Should EPA say
2 we've looked at this problem, and the markets are doing
3 fantastically. And we're afraid that if we interfere it's,
4 you know, the government comes in, it'll muck things up.
5 And so we are declining to regulate greenhouse gases under
6 7411(d) at this time. Would that be allowed under, say,
7 Chevron step 2, not a Chevron step 1?

8 MR. DONAHUE: Right. I don't think on the record
9 that we have, which is that there are readily available,
10 adequately demonstrated means of reducing pollution that all
11 agree. EPA has not said that the risk is somehow being
12 adequately addressed by other, you know, we're at a point
13 where we really need, and EPA hasn't made any attempt to
14 kind of contradict the studies I point the Court to the, I'm
15 sorry, to the climate change mitigation experts' brief that
16 just emphasizes that it's widely understood we have to
17 achieve emissions reduction. So it's an interesting
18 hypothetical. Whether if there were a pollution problem
19 that is rapidly being really taken care of by market
20 operation --

21 JUDGE MILLETT: Or states --

22 MR. DONAHUE: -- that would be a different case.

23 JUDGE MILLETT: Okay.

24 MR. DONAHUE: That's not what we have here. I'd
25 just like to point out that when EPA evaluates other systems

1 of emission reduction in this rule, for example co-firing or
2 partial carbon capture and sequestration, and deemed them
3 too expensive, it has nothing to compare that to. You can't
4 decide whether something's too costly without some sense of
5 its value, and EPA assigned no value to reducing greenhouse
6 gas emissions. It used a, in the regulatory impact
7 analysis, it used a social cost of carbon, a sort of revised
8 interim social cost of carbon that we think is clearly sort
9 of indefensible, and there's an amicus brief on this.

10 But it's clear in the brief and the rule, they
11 said we actually didn't rely on this in setting standards.
12 And we think there's a total void. They didn't rely on any
13 kind of reasoned assessment of the value of pollution
14 reduction or reasoned analysis of the amount of pollution
15 reduction, as this Court emphasized in the Sierra Club case
16 and is plain on the face of the statute that emissions
17 reduction is at least a central factor in applying the
18 statute.

19 JUDGE PILLARD: It's sort of like a Michigan v.
20 EPA problem. I mean --

21 MR. DONAHUE: It is a Michigan v. EPA problem
22 where, in that case, Justice Scalia was insisting that it's
23 almost always the case that you have to think about cost as
24 well as benefits in making a reasoned regulation. Here, we
25 have the same problem. It's just the other side of that

1 comparison that's been ignored.

2 JUDGE MILLETT: Can I ask in that regard --

3 MR. DONAHUE: Another --

4 JUDGE MILLETT: I'm sorry.

5 MR. DONAHUE: I'm sorry.

6 JUDGE MILLETT: I've been waiting all morning to
7 ask you the question that Mr. Poloncarz punted to you, and
8 that is, as co-firing, I just need a little more information
9 because, you know, there was a lot of talk four years ago
10 about the large percentage --

11 MR. DONAHUE: Right.

12 JUDGE MILLETT: -- of EGUs that have either own
13 or, either have on site or else own or co-own folks that
14 are, say natural gas just to be, simplify what we're burning
15 here. And I didn't hear that in the briefs this time.

16 MR. DONAHUE: Right.

17 JUDGE MILLETT: I'm wondering what the percentages
18 are. Because their argument was it's only 35 percent, that
19 they can do this, and they're just using it to, you know, to
20 warm the coffee pot in the morning and then start the fire.
21 But this is different, seems a little different to me.

22 MR. DONAHUE: Right, right. So I think --

23 JUDGE MILLETT: About that, what's wrong? What am
24 I misunderstanding?

25 MR. DONAHUE: So I think we may be talking about

1 different things. I think what in the Clean Power Plan
2 argument we were talking about companies that own both coal
3 generation and gas are renewables. And I think that was a
4 77 percent number. And that's at page 64796 of the Clean
5 Power Plan at 40 Federal Register.

6 JUDGE MILLETT: Yes.

7 MR. DONAHUE: I have endeavored while being glued
8 to the screen all morning to try to get some information on
9 that. Of course, you know, this rule wasn't really based on
10 this, you know. The rule is not very, it doesn't sort of
11 flow out of the facts, and so EPA didn't emphasize this very
12 much. But the regulatory impact assessment for the ACE Rule
13 at JA-1658 and 59 talks about the continuing, and indeed,
14 accelerating shifts in the power sector toward natural gas
15 and renewable generation. I'm going to cite a few other
16 things which are not, I don't know that they're --

17 JUDGE MILLETT: I would have thought some part of
18 that, now maybe this is the 35 percent, but I would have
19 thought some part of that 77 percent would have been sort of
20 maybe physically within a plant or something. But maybe
21 that's wrong --

22 MR. DONAHUE: So I think the 35 percent is coal
23 plants that use some natural gas.

24 JUDGE MILLETT: Right.

25 MR. DONAHUE: I have been, I thought that we were

1 talking about companies that own both, so that --

2 JUDGE MILLETT: Well, I guess I'm not clear in my
3 question. I was trying to understand, I was trying to put
4 the two numbers together. So we have the 35 percent that
5 are using natural gas, but we're told some percentage of
6 that, and I'm not sure if I recall exactly what it is, are
7 really only using the natural gas for a tiny amount. But I
8 was wondering, so that 77 percent, is it really, is it that
9 everybody, these things are far enough apart, or are there
10 any that are sort of within the same plant facility --

11 MR. DONAHUE: Right.

12 JUDGE MILLETT: -- that it would make, even if you
13 have to build a little bit of pipeline, it wouldn't be that
14 much. It would make co-firing more feasible.

15 MR. DONAHUE: Right.

16 JUDGE MILLETT: And that this wasn't addressed,
17 but maybe it is apples and oranges.

18 MR. DONAHUE: So, right. I think they're slightly
19 different, although the fact that a company owns both, it's
20 likely to have a little more facility working with both
21 kinds of fuel if it owns both.

22 JUDGE MILLETT: No, I'm talking about the
23 infrastructure for them co-firing, which is not --

24 MR. DONAHUE: Right. So on that --

25 JUDGE MILLETT: Would something --

1 MR. DONAHUE: -- I would point the Court to the
2 studies that the environmental groups put in the record
3 about the capacity of plants that already co-fire with
4 natural gas to increase the amount of gas, and then the
5 ability of plants that do not now but readily could. And we
6 think EPA, you know, did not, you know, adequately address
7 that as a far more effective system of emission reduction,
8 although only one of several.

9 JUDGE MILLETT: And in that regard, can I ask you,
10 so you had two categories there. So let's talk about this
11 35 percent, I think, that already are using natural gas to
12 some extent, even if it's just to start things up in the
13 morning. What I don't understand is why couldn't they just
14 use the natural gas more? Would the problem be that they
15 just have too small a pipe or too small a supply? Or once
16 you've got the natural gas in, can you --

17 MR. DONAHUE: Right.

18 JUDGE MILLETT: How much infrastructure or
19 construction or expenditure would it take to use more?

20 MR. DONAHUE: Right. So I think we think they
21 could, and we think that our records submissions demonstrate
22 that there is a lot of room for improvement, and the
23 emissions reductions that come with that are substantial.
24 We also think that the entire way that EPA looked at this,
25 which was to kind of say, well, there are places where this

1 is really infeasible or very costly and therefore it's not
2 sort of widely available across the entire source category,
3 therefore it's not the BSER, conflicts in a kind of obvious
4 way with how they treated heat rate, which is they listed
5 seven different things that a plant could do to increase a
6 coal plant's efficiency, and then they said, well, some of
7 them aren't available at all, and some plants maybe can't do
8 any of them, so we're going to turn it over to the states to
9 look plant by plant. If that approach is --

10 JUDGE PILLARD: (Indiscernible) I'm sorry to
11 interrupt you. That's very striking, and I, I mean, I was
12 thinking that, and I guess you wrote it in your brief which
13 is why I had that idea. But it doesn't make sense if
14 they're taking heat rate improvement that are not
15 universally applicable and saying well find where they are,
16 why don't do they do the same thing with co-firing?

17 MR. DONAHUE: Right, right. And we think that
18 applies to carbon capture as well, the same problem. I
19 think another thing said was, while the most efficient use
20 of natural gas is to use it in a natural gas plant that was
21 designed for that purpose rather than, you know, co-firing
22 in a boiler that was designed to burn coal, but they didn't
23 show that there is an adequate supply, and again, in making
24 all of these judgments, they --

25 JUDGE MILLETT: Let me ask you about, sorry, I'm

1 just, more about, because at this point we're assuming the
2 Clean Power Plan, the interpretation of the statute is out
3 and things have to happen sort of on the ground at the
4 plants and facilities. And they said, oh, it's \$1 million
5 for a mile of pipeline or something like that. But what I,
6 that's what I was trying to figure out. Are there not,
7 beyond the 35 percent that are reusing it some, is there
8 information you all put in the record or someone put in the
9 record that says, that responds to that point by going, I
10 mean, one response is, well, that's worth it for the human
11 health effect. But I'm actually asking more of an
12 operational question. Is it, you know, if you only have to
13 do one mile of pipeline, then it might pay for itself within
14 a year or two. Or if I was, tried starting out but I guess
15 I'm wrong, if you have, if your sister plant facility is
16 only half a mile down the road and it's a gas one and you
17 can get pipeline in easily, or, I just don't, I didn't, I'm
18 trying to understand if there is an operational response to
19 that argument.

20 MR. DONAHUE: Right. I mean, I think we, we put
21 in studies including both NRDC and the Environmental Defense
22 Fund. I point the Court, although this is by no means all
23 that's in the record, Joint Appendix 1015 and 16 and 1126
24 and 27, we think there is extensive evidence that shows that
25 co-firing could be, you know, is widely available. And EPA

1 would have to make a judgment about, you know, whether there
2 are subcategories that could be used, or whether it can be
3 the basis or a component of the BSER. But for some plants
4 at least, particularly those that already burn natural gas,
5 it seems clear that it's every bit as valid and far more
6 effective as the measures that EPA actually --

7 JUDGE MILLETT: I get that as the 35 percent. I'm
8 just trying to deal with the 65 percent. Do we have any
9 sense of how many, what that percentage would be that might
10 be doable?

11 MR. DONAHUE: Right. I mean, I'm going to have
12 to --

13 JUDGE MILLETT: And what would be really, really,
14 incredibly expensive?

15 MR. DONAHUE: I'm going to have to point the Court
16 to the studies we did. We submitted an analysis by M.J.
17 Bradley & Company and that we basically cited in our brief,
18 the record submissions. But we think they're pretty
19 extensive, and we think EPA didn't, you know, give them
20 reasoned consideration.

21 JUDGE PILLARD: I'm sorry. Which one are you
22 talking about?

23 MR. DONAHUE: This is Natural Gas Co-Firing.

24 JUDGE PILLARD: Right.

25 MR. DONAHUE: Yes, and I was --

1 JUDGE PILLARD: Which is (indiscernible) that I
2 think they're pretty expensive.

3 MR. DONAHUE: I'm sorry. Natural gas co-firing?

4 JUDGE PILLARD: They is the natural gas co-firing.

5 MR. DONAHUE: Yes. I mean, there is a capital
6 expenditure, and there may be instances where it's
7 expensive, I mean, but it's, it's also a lot more effective
8 and as an ingredient in a best system that is based at the
9 source. Of course, the cheapest, most effective, and most
10 realistic completely at the source measure is emission-
11 reducing utilization, which totally fits within EPA's
12 construct of to or at the source. It is what EPA itself
13 said sources would do if EPA allowed them to comply even
14 with the ACE rule. That's just turning down the amount of
15 utilization and allowing that same amount of electricity to
16 be produced by a, an already online, clear source, and --

17 JUDGE PILLARD: What about the continuous
18 objection?

19 MR. DONAHUE: Yes. We'll happily address that.
20 But, so, there are two provisions, 7602, these are Clean Air
21 Act definitions, (k) and (l) in 7602 that require slightly
22 different wording, continuous emission reductions. Those,
23 neither of them prohibits a standard such as an annual
24 standard where emissions are tracked over some period of
25 time, and then, but emissions can fluctuate during that

1 time. Indeed, the ACE rule itself at page 32552 says it'll
2 be okay for states to use annual or even multiannual tests
3 to comply with ACE. And that's because a standard like that
4 is continuous. In fact, the Clean Air Act uses the term
5 emission limitation to describe the Title 4 cap and trade
6 acid rain program --

7 JUDGE MILLETT: Just hang on a second. You said,
8 hang on, because you said it's well-established or something
9 like that that when it says continuous emission, that means,
10 that can still be measured sort of over time rather than
11 meaning continuous in my --

12 MR. DONAHUE: Any emission standard, whether it's
13 an hourly, monthly, or yearly is going to have a period of
14 time. And then typically, the test is whether the amount of
15 emissions during that period of time exceeds the number that
16 the regulator has prescribed.

17 JUDGE PILLARD: Well, what is continuous about?
18 Is this about pollutants that are, where the location of
19 them matters and you don't want big bursts of them?

20 MR. DONAHUE: I think it was partly designed to
21 respond to things like standards that would allow polluters
22 to rely on the wind to blow away instead of actually
23 controlling their pollution, just disbursing your pollution
24 and not being subject to any limit at all. But if there is
25 a standard that imposes a limit on pollution, the continuous

1 requirement in the statute does not prescribe, proscribe the
2 agency from using an annual test or a monthly test, and I
3 note that the ACE rule itself says that --

4 JUDGE MILLETT: Okay, apart from the ACE rule,
5 what can you point me to? Case law recognizes, is there
6 case law discussing this, or --

7 MR. DONAHUE: I point that the statute uses the
8 term emission limitation in Title 4, which is the acid rain
9 program which is annual emission allowances, which of course
10 famously allow for fluctuations at individual plants. So we
11 don't think the, the continuous argument is --

12 JUDGE MILLETT: But fluctuations are, I guess what
13 I'm trying to figure out is if someone just said, okay, coal
14 plant, you will run on Monday, Wednesday, Friday, but not
15 Tuesday, Thursday. So that's not, that's one option. And
16 the other is, coal plant, you can go 100 percent Monday,
17 Wednesday, Friday, and 50 percent Tuesday, Thursday,
18 Saturday.

19 MR. DONAHUE: Right.

20 JUDGE MILLETT: All right, that's continuous.
21 It's just a lower level. Are you saying continuous also
22 includes don't run at all on Tuesday, Thursday?

23 MR. DONAHUE: A standard could impose a limit, 10
24 tons of pollution a week. The source could decide whether
25 to, you know, emit in an amount that if it operated 100

1 percent of the time and every hour of the week would not
2 exceed 10, or it could operate at full throttle three days
3 and then shut off. And that's common. There's nothing in
4 the --

5 JUDGE MILLETT: (Indiscernible) I'm trying to
6 figure out how it's continuous.

7 MR. DONAHUE: The standard is continuous. It
8 doesn't stop working. This Court in the Sierra Club, a case
9 under 112 dealt with a situation --

10 JUDGE MILLETT: The standard has to be continuous,
11 not the generation that has to be continuous.

12 MR. DONAHUE: Yes. And I would say that --

13 JUDGE MILLETT: And the standard is continuous if
14 somebody waits until the day that the wind is all blowing
15 east to operate.

16 MR. DONAHUE: Right. Or I think that that, that
17 would be a standard that would be very dubious if it didn't
18 actually limit pollution. I mean the thing --

19 JUDGE MILLETT: No, no, no. I'm saying if someone
20 sets a legitimate standard, you said that this continuous
21 emission was meant to prevent someone from saying I'm
22 operating when there's a strong wind east because I'm on the
23 border of whatever state you want to pick.

24 MR. DONAHUE: Right.

25 JUDGE MILLETT: I'm on Michigan's border, and so

1 then all the emission is going to go into Michigan and count
2 for their pollutants, not ours. Or it will be disbursed so
3 much, it won't be measured as that much. But the standard,
4 it's a perfectly legitimate standard because I don't see how
5 the standard --

6 MR. DONAHUE: Right.

7 JUDGE MILLETT: If the standard has to be
8 continuous, I don't see how it stops that problem.

9 MR. DONAHUE: Well, we have one data point, and
10 that is this Court's decision in the Sierra Club case, which
11 was under 112, but it's also subject to this --

12 JUDGE MILLETT: Yes.

13 MR. DONAHUE: -- for the same provision.

14 JUDGE PILLARD: You're talking about
15 (indiscernible) --

16 MR. DONAHUE: versus Johnson.

17 JUDGE PILLARD: Johnson.

18 MR. DONAHUE: 551 F.3d 1019. And that was a case
19 where the Court concluded that the regulation to stop
20 operating during, I think it was start-up and shut-down, and
21 EPA said, well, there's this other standard. And the Court
22 said, no, there has to be a Section 112 compliant one. So
23 that's an example. Whatever the outer limit of the
24 continuous prohibition is, an annual standard that would
25 allow for EPA to consider emission reducing utilization as a

1 component of the best system, which is incredibly common.
2 It eliminates the trading component, if that's, if that were
3 to trouble the Court, and it operates within the bounds of
4 each source. It has to be considered, it's what sources.

5 In fact, EPA used it as a basis for exempting
6 sources. If they want to cut their utilization, they can be
7 exempted from the ACE rules, we pointed out in our brief.

8 JUDGE PILLARD: Right. Back on the co-firing.
9 If, I mean, we're in the world where we're assuming that the
10 statute forecloses the approach used in the Clean Power
11 Plan, and that the EPA's interpretation that the measures
12 have to be used at the source, would that encompass building
13 pipeline to get to a plant to allow natural gas co-firing if
14 it isn't already so-equipped?

15 MR. DONAHUE: I don't understand EPA to say that
16 their construct of BSER would preclude co-firing as a sort
17 of legal matter. I think they acknowledge that it could,
18 but they rejected it on sort of more particular grounds that
19 there's not enough gas or it's too expensive. So --

20 JUDGE PILLARD: You also were going to talk about,
21 you had four different topics.

22 MR. DONAHUE: I do, and I'm aware that everybody's
23 tired, but I'm also desperate to get to a couple of them at
24 least.

25 JUDGE MILLETT: No, no. We'll be completely fair

1 to you. We want to be fair to everybody, wherever you fall
2 in the day.

3 MR. DONAHUE: Okay. Thank you so much. Much
4 appreciated.

5 JUDGE WALKER: Mr. Donahue, let me ask about
6 continuous just before you move totally off of it.

7 MR. DONAHUE: Sure.

8 JUDGE WALKER: If a factory is emitting 100 units
9 of a pollutant a day, and we know that when it does that,
10 everyone within a 10-mile radius can't breathe when they
11 jog. And we also know that if they could reduce that to 50
12 units a day, then, you know, people would be able to jog and
13 still breathe. And so we say, you know, you have to reduce
14 by 50 percent. And the factory were to say, all right,
15 fine, every other day we're going to emit 100, and every
16 other day, we're going to emit zero, so problem solved. It
17 definitely wouldn't solve the problem for the people who
18 want to go for a jog when they want to go for a jog. How
19 does your theory of continuous fit with that problem?

20 MR. DONAHUE: Right. I think it would be an
21 arbitrary and capricious regulation because it wouldn't,
22 you'd be dealing with a locally harmful pollutant and not
23 taking care of the problem at all, so that there would be
24 other problems with it. But I think the continuous, it's
25 hardly the only restriction in the statute, and so I think

1 my answer would be provided that in your hypothetical, the
2 standard limited the company to 100 tons per week, that
3 would not violate the continuousness requirement. It would
4 just be a terribly designed regulation that ought to be
5 struck down on --

6 JUDGE WALKER: And I agree it would be arbitrary
7 and capricious. If the arbitrary and capricious review
8 would take care of the problem in that situation, help me
9 understand why arbitrary and capricious review wouldn't
10 always take care of the problem. Why was the word
11 continuous necessary to be added to the statute?

12 MR. DONAHUE: Right. Well, I think it was, and I
13 should say in the real world, there would probably be some
14 substantive provisions that would say, you know, you have to
15 have a standard that protects health or whatever in addition
16 to sort of general, reasoned decision-making requirements.
17 The best I can say on continuousness, and there is some
18 discussion of the legislative history in the Sierra Club
19 case that I just discussed that it was concern about
20 standards that just sort of stopped operating or only
21 operated when weather conditions were one way or another.
22 And Congress was interested in reducing aggregate --

23 JUDGE WALKER: Okay, okay.

24 MR. DONAHUE: -- over overall pollution, not just
25 shifting it.

1 JUDGE WALKER: And I think that would be, I think
2 that probably --

3 MR. DONAHUE: I'm sorry?

4 JUDGE WALKER: I think that approach would
5 probably also be arbitrary and capricious, but I'd rather
6 let it go for now. I know you have other points, so.

7 MR. DONAHUE: Okay.

8 JUDGE WALKER: Please, please.

9 MR. DONAHUE: Okay, thank you. So, one of the
10 most fundamental problems with this rule is it fails to
11 execute EPA's central duty under Section 111(d), which is to
12 establish an emission limit that then governs and provides a
13 basis for reviewing state plans that substantive criterion
14 for state plans, that's why there's federal regulation here
15 at all. It is central to the regime. It has been since the
16 first regulations were adopted in 1975 the EPA actually re-
17 promulgated here, which make clear that EPA has to identify
18 a degree of emission limitation based on the best system.

19 And that then state standards of performance must
20 be no less stringent than that emission guideline, and that
21 latter one is 60.24a(c). And the ACE rule just fails to do
22 that. It just includes a menu of measures that states are
23 told to consider, possible heat rate improvement measures.
24 It's about heat rate. It's not about emissions. And those
25 are actually often at odds because increasing efficiency can

1 also increate pollution. And --

2 JUDGE WALKER: Can I ask about that, Mr. Donahue?
3 I think that's the rebound effect, if I'm understanding.

4 MR. DONAHUE: Right.

5 JUDGE WALKER: And so in trying to wrap my head
6 around it, you know, I am, again, I'm going to go back to
7 small, round numbers. There's a factory that's emitting 100
8 tons of carbon per 100 units of electricity. And EPA comes
9 along and says, well, we have a way for you to reduce that
10 rate so that you'll emit 90 tons of carbon for every 100
11 units of electricity. And the factory implements that and,
12 you know, it's going about its business. It's emitting 90
13 tons of carbon per 100 units of electricity. But then it
14 doubles its production, and it's now producing 200 units of
15 electricity with 180 tons of carbon. That seems to me like
16 the EPA has done what it's supposed to do, what the Clean
17 Air Act requires it to do. The Clean Air Act I don't think
18 has been interpreted in the past as a requirement that the
19 country produce less energy.

20 And so I guess I just don't see in my hypothetical,
21 yes, let's say that the increase in productivity was caused
22 because of an efficiency improvement, and yes, as a result,
23 there are now 180 tons of carbon being emitted where once
24 there were only 100. But absent the regulation, if there
25 were 200 units of electricity being created, there would have

1 been 200 tons of carbon. And now, because of the
2 regulations, there are only 180 tons of carbon. So you
3 compare it, I guess, isn't that how the Clean Air Act is
4 supposed to work?

5 MR. DONAHUE: So first of all, in the context of
6 the power sector, if you are increasing the amount of
7 generation with relatively high emissions, that means you're
8 going to be decreasing some other, like the whole, we're
9 working in a world where we have the same amount of the
10 product. And so, you're talking about increasing harmful
11 pollution, and decreasing generation of pollution that's less
12 harmful. And that's, I think, what's problematic about it.
13 And just in general, adopting a regulation where the known
14 effect is to cause more pollution and more, because we're
15 also talking about other kinds of pollution that cause
16 local --

17 JUDGE WALKER: But that's question-begging, Mr.
18 Donahue, I think, because you're saying it causes more
19 pollution, but it causes less pollution relative to the
20 amount of energy being produced.

21 MR. DONAHUE: Well --

22 JUDGE WALKER: Am I understanding the rebound
23 effect right? Or maybe I'm just, maybe I don't understand
24 how the rebound effect --

25 MR. DONAHUE: Right. I mean, I'm noting that if

1 the source that's regulated increases its generation in the
2 context of the power sector some other source, so you're
3 going to have the same amount of product. So you're not
4 limiting, you're not increasing the amount of widgets and
5 improving human welfare by having more stuff to buy. So
6 that's, I think that's a big problem.

7 The other thing is the Clean Air Act doesn't
8 require EPA to use an hourly rate standard, and it, in fact,
9 you know, as EPA emphasized in the Clean Power Plan, it
10 authorized and requires the agency to think about the real
11 world health effects and the characteristics of the
12 pollutants, so that's why we think, and of course, EPA did
13 use efficiency upgrades as part of the Clean Power Plan, but
14 it combined that with other measures to limit the impact of
15 the rebound effect.

16 JUDGE PILLARD: But what is the measure, you just
17 mentioned, you said that the Clean Air Act doesn't require
18 the EPA to use --

19 MR. DONAHUE: Right, an hourly rate, so --

20 JUDGE PILLARD: An hourly rate, so --

21 MR. DONAHUE: So EPA --

22 JUDGE PILLARD: So what is the metric, what was the
23 metric under the Clean Power Plan? What's the typical
24 metric? What's the metric under ACE in terms of, as you say,
25 setting a guideline that's communicated? I mean, I gather

1 there's a really major shift, but it's a little bit opaque to
2 those of us who are not steeped in the energy sector and
3 emissions regulation.

4 MR. DONAHUE: Right. So the Clean Power Plan used
5 a rate-based standard, an hourly rate-based standard, but it
6 also gave states the option of using a so-called mass-based
7 standard that would have allowed, you know, different kinds
8 of compliance approaches that Section 111 doesn't require
9 either of those, but it does require the agency to make a
10 reasonable, that plus APA requires the agency to make sort of
11 a reasonable choice. And so to say, as EPA does here, well,
12 you know, the emission rate of coal plants is going to go
13 down, and therefore we've done our job, you know, under a
14 statute that refers to danger to public health and welfare we
15 think is not, they haven't fully done their job. We don't
16 think that --

17 JUDGE PILLARD: So where is the requirement in the
18 statute of EPA, or the authorization for EPA to, I mean, I
19 don't think EPA contests this, that it has an obligation to
20 give guidance, but it is, tell me where the best pedigree is
21 for that obligation?

22 MR. DONAHUE: The obligation to set --

23 JUDGE PILLARD: (Indiscernible) case law.

24 MR. DONAHUE: -- to set a limit more than guidance
25 in the sense of like help or advice.

1 JUDGE PILLARD: Right.

2 MR. DONAHUE: So it's, we think the text of Section
3 111(a) that requires the administrator to identify the best
4 system because that is based on a degree of emission
5 limitation achievable through the application of that system,
6 and that EPA has consistently read the statute since 1975,
7 and indeed re-promulgated regulatory language that makes very
8 clear that EPA's job is to specify the limit itself, not just
9 kind of say here's how you, the states, might do it. And
10 that's, of course, really familiar under cooperative
11 federalism --

12 JUDGE PILLARD: You mean re-promulgated just now in
13 its new rules?

14 MR. DONAHUE: Yes, in the framework rules that were
15 promulgated, together with ACE. And that I, I cited those
16 earlier. I cited one of them, but they require that EPA
17 specify the degree of limitation in the guideline, and then
18 that EPA, in reviewing plans, ensure that the state standard
19 of performance is no less stringent than the limit EPA has
20 prescribed. And that's just what is just lacking in this
21 rule.

22 I want to point out two features of the rule that,
23 or two little provisions of their approach that kind of I
24 think are one of many tells about this rule, and that is that
25 of the seven heat rate improvement measures on the menu, by

1 far the two most, those that appear to be most effective,
2 which are turbine path upgrades and economizer replacements,
3 they're kind of outsized in terms of their potential benefit
4 in improving efficiency. But EPA concluded that they would
5 increase emissions and thereby trigger other Clean Air Act
6 requirements for sources under the new source review program.
7 And then states would then, permissibly, choose never to
8 require them under ACE. And then, and EPA's modeling said
9 they will never be adopted anywhere. And so I think that's
10 kind of, you know, problematic --

11 JUDGE PILLARD: Why is it not permissible if they
12 are supposed to take into account energy requirements or
13 other health and environmental impacts if these are going to
14 increase emissions?

15 MR. DONAHUE: So, I mean, I think, I'm fine with
16 these not being used. The problem is that they are trotted
17 out as being, you know, the lynchpins of a rule based on
18 improved efficiency, and they are in service of my argument
19 that there's no limit here. There's no serious effort to
20 control pollution. These are two measures that if there were
21 significant deregulation under the new source review program
22 might be more attractive, even though they pollute more. But
23 that hasn't been done even though EPA proposed it. So, you
24 know, I think that's, the fact that those two sort of lead
25 measures of the menu are projected never to be implemented is

1 typical of how kind of non-serious this rule is. EPA --

2 JUDGE PILLARD: I mean, the reason they didn't come
3 up, I gather, for purpose of reason or just a description of
4 them not coming up with an actual limit, like a verifiable,
5 numerical limit is, they said, well, you know, we can't do
6 anything categorical. It's all going to depend on what each,
7 individual source can accomplish. We don't know that until
8 the states sort of go around and work with the individual
9 sources. And so, it's just a toss-up. What's the response
10 to that?

11 MR. DONAHUE: So, they're not fulfilling their
12 obligation under the statute or their own regulations. And I
13 also think, you know, first of all, we think they should be
14 looking at a bunch of other, far more effective systems. The
15 factual premise that it's not possible to come up with a
16 limit is not consistent with the record. And it also just
17 fundamentally deprives the regime --

18 JUDGE MILLETT: Explain the former to me. How does
19 the record show that it's not possible to come up with a
20 limit?

21 MR. DONAHUE: Well, so I mean, just within the heat
22 rate category, or?

23 JUDGE MILLETT: Yes.

24 MR. DONAHUE: So I mean, I think EPA, for example
25 in the Clean Power Plan, EPA looked broadly across the source

1 category and said here's what we think heat rate potential
2 is.

3 JUDGE MILLETT: Yes.

4 MR. DONAHUE: There have been historic reviews. I
5 mean, typically, plants do differ, but we set standards based
6 on what plants can achieve, and then EPA relies on the
7 remaining useful life language in Section 111(d), but that
8 actually cuts against what they've done here. That language
9 provides that when applying a standard of performance to a
10 particular source, the state shall be allowed to take into
11 account the remaining useful life, the age of the plant, and
12 then other factors. But that presupposes that there be a
13 standard in the first place. And EPA here has kind of turned
14 that around and made it a basis for not --

15 JUDGE MILLETT: (Indiscernible) standard is a
16 single number as opposed to they did a range here. And put
17 aside your disagreement with the range they did here. Is it
18 really, what is your best citation for the fact that they
19 have to come up with a number? Because the statute says the
20 degree of emission limitation achievable.

21 MR. DONAHUE: Right.

22 JUDGE MILLETT: Why could that not be, how do we
23 know that can't be arranged?

24 MR. DONAHUE: So two things. One is, even if it
25 were arranged, there's no binding limit here. If you could

1 say, you know, you can do 2 to 4, but you've got to be in
2 that range. That would at least have some binding, would be
3 a binding basis, especially if EPA said we think that bigger
4 plants can do 4 or something, some other. But there's
5 nothing, there's nothing binding here. And so we think
6 that's the central problem.

7 JUDGE PILLARD: They point to Table 1, and the
8 problem is that they don't say that every plant in this size
9 category or this size category or this size category has to
10 meet this, has to fall within the specified range. They say
11 states will tell us --

12 MR. DONAHUE: Right.

13 JUDGE PILLARD: -- which heat rate improvements the
14 plants are planning to use. And so it's this sort of, it's
15 the sort of, it rolls from the bottom up, right? And
16 there's --

17 MR. DONAHUE: It's like what Congress might have
18 done before the modern Clean Air Act was enacted by a nearly
19 unanimous, a unanimous Senate and one vote against in the
20 House in 1970, and put the federal government in the business
21 of providing these protections on a nationwide basis. But
22 prior to that, there was often in many areas a sort of model
23 of providing guidance and information for state governance.
24 And that's just not what's going on here. This is a
25 cooperative federalism regime where EPA sets the minimum and

1 then states have substantial room to, if they choose,
2 implement that federal minimum. But the minimum is set to
3 protect the country as a whole, and EPA has sort of failed to
4 do that central thing here.

5 JUDGE PILLARD: I have a couple of questions, and
6 they really sure betray my ignorance. But what is the cost
7 and energy requirements language, I mean, at some level, if
8 the, if something is so costly that it's going to be
9 untenable for a source as a business matter --

10 MR. DONAHUE: Right.

11 JUDGE PILLARD: But if the cost, I mean, if we're
12 talking about a release of, you know, something that's going
13 to kill everybody tomorrow, is cost relative to that? I
14 mean, which is the metric? Because it feels like people are
15 talking about very different things, and we, you know, we are
16 worried about, we look at the language of the statute and
17 look at EPA's authority, but cost is listed among its
18 concerns, and I just wonder how that --

19 MR. DONAHUE: Right. So cost is explicitly
20 required to be considered. We think that, as I mentioned
21 earlier, one of the problems is there's no sort of comparison
22 between what the costs and the benefit of regulation, so it's
23 kind of in that --

24 JUDGE PILLARD: Well, that's relevant. It's the
25 cost nationwide and the benefit of regulation nationwide as

1 opposed to the, I mean, there's some implication if it's the
2 cost to a particular unit or business.

3 MR. DONAHUE: So, I think historically, EPA has
4 tended to look at the source category and has said, you know,
5 is this unreasonable and excessive for the source category
6 given what we know about it and, and has been willing to
7 adopt standards that impose significant cost and don't always
8 give a break to the sources that are the dirtiest or the most
9 marginal. They have tried to sort of push things forward
10 and, and on the other hand, the statute allows for this
11 consideration at the source-specific level under the
12 remaining useful life, so that if there is a plan that the
13 state concludes, you know, it's going to retire in a couple
14 years anyway, or it's physically configured in a way that
15 makes it extremely difficult to meet the limit, the state has
16 some discretion to build that in.

17 I don't know if I answered your question.

18 JUDGE PILLARD: Well, I think nobody wants to go
19 there because there's a lot of jobs and a lot of, you know,
20 productive capacity and a lot of expectation built into all
21 kinds of energy production as it stands today. But just to
22 understand the limits statutory as opposed to kind of
23 political and policy and economic that the statute puts on
24 EPA.

25 If EPA said, look, we recognize that these plants

1 were built and invested in and people have put their careers
2 into them, and a lot of people. But now we know things about
3 the externalities of these ways of generating energy. What
4 in the statute constrains, controls, and guides how severely
5 EPA can impose, assuming that the net overall national costs
6 are worth it.

7 MR. DONAHUE: Right.

8 JUDGE PILLARD: Is there anything that sort of
9 speaks to this more like distributional question?

10 MR. DONAHUE: Right. So I think, you know, the
11 agency would have to act reasonably in applying the cost
12 factor to a particular record. And, you know, I think
13 there's some guidance in the Michigan decision. I think it
14 would also be appropriate to take into account the fact that
15 there's this second level of remaining useful life that can,
16 you know, kind of dampen impacts on particular sources.

17 But beyond that, the Clean Air Act is a serious
18 statute. It was intended to secure major economic benefits
19 to the country. I mean, you don't have to live in California
20 or Oregon to realize how extreme this now annual experience
21 of having schools shut down for smoke days is to understand
22 that this is affecting the economy in a really significant
23 way. So I think, and that's one of the problems with
24 thinking about this as only on the side of, you know, costs
25 to a regulated industry. And of course, the Clean Power Plan

1 was designed to minimize that.

2 And there are lots of ways that you could design a
3 rule to build on these very powerful trends that are already
4 in play. But I think, you know, you kind of have to think
5 about the other side of it too. And leaving this problem
6 that the record shows, unrebutted record shows is a super
7 serious problem, really imminent, leaving that kind of
8 unaddressed also has very significant costs. And so --

9 JUDGE PILLARD: I'm not sure that I'm, I mean maybe
10 there isn't really an answer. But is there anything in the
11 statute or the regs that addresses sort of bumpy imposition
12 of costs, assuming that the net costs are really worth it,
13 and that, and the costs of the other side or the benefits of
14 the regulation are very exigent. You know, is there anything
15 in the statute that says, you know, you can't go there --

16 MR. DONAHUE: Right.

17 JUDGE PILLARD: -- to sort of, to curtail
18 production by these legacy technologies, or is there nothing,
19 and then Congress just has to turn around and figure out how
20 to, how to smooth those bumps and help those communities,
21 sort of like when you have global trade, and it messes, I
22 mean seriously messes with people's lives. But there's a
23 sense, oh, it's a net benefit.

24 MR. DONAHUE: So, I mean, I think EPA has a lot of
25 tools, like the ability to subcategorize a source category to

1 take --

2 JUDGE PILLARD: But see, that's the thing I'm
3 wondering about. So cost can mean that they can make those
4 choices?

5 MR. DONAHUE: In making a subcategorization
6 decision?

7 JUDGE PILLARD: Yes.

8 MR. DONAHUE: I think so. You know, if some
9 category of sources, it's a lot harder to get natural gas to
10 them or some other pollution control, you know, measure, that
11 would be a basis for EPA to make that. And, you know, and I
12 think the agency has to act reasonably, and then, and explain
13 itself. And that's a significant, you know, restraint. And
14 then also, you know, the agency has the ability to design
15 rules that take account of reality, including, in this case,
16 you know, trends that are operating very powerfully in the
17 sector that can be used to relatively cheaply achieve both
18 major benefits for public health and welfare, and also much
19 less bumpiness economically by allowing for flexibility.

20 And that's what's, one of the things that's so
21 remarkable about the ACE rule is that EPA says no, you can't
22 use emission reducing utilization or trading or some of these
23 things that virtually everyone would use if they could and
24 that are cheaper. And it just seems really surprising to
25 find anything in the statute that prevents that kind of

1 common sense.

2 JUDGE MILLETT: Okay, Mr. Donahue. Oh, go ahead,
3 Judge Pillard.

4 JUDGE PILLARD: (Indiscernible) your four
5 questions? We were, I know we were hijacking your time quite
6 a bit.

7 JUDGE WALKER: And I have more hijacking to do.

8 JUDGE MILLETT: Okay, let's go.

9 JUDGE WALKER: I don't want to interrupt.

10 JUDGE PILLARD: Did you get to cover your four --

11 MR. DONAHUE: Oh, so number four was just the --

12 JUDGE PILLARD: (Indiscernible).

13 MR. DONAHUE: -- repeal of the gas standards which
14 we don't think EPA has given a reasoned response to the
15 evidence that was put in on its proposal to repeal with no
16 replacement any standards for natural gas and oil. But
17 natural gas is the really big one. Our brief cites studies
18 that show that there are even sort of to and at the source
19 approaches that can work for natural gas. And like with, as
20 has already been mentioned, there's this disconnect between
21 their rationale for rejecting that and their heat rate
22 approach to coal, which is, oh, you know, there's not enough
23 specificity about unit level potential, et cetera, which EPA
24 didn't find necessary for coal. So we think that that was
25 unlawful as well. And I'm happy to be --

1 JUDGE MILLETT: Okay, Judge Walker.

2 MR. DONAHUE: -- to be grilled with Judge Walker's
3 questions.

4 JUDGE WALKER: I'll ask just one question, although
5 I can't promise that the answer will be short. It will be up
6 to you, but. And I know your topic is the ACE rule, but I
7 have a question about the CPP, and I want to exploit your
8 knowledge of environmental law and energy law if I can.

9 The way that generation shifting works that I have
10 in my head, what the CPP would have done is there's a power
11 company called Acme Power, and they have nothing but a coal
12 plant. And the CPP says, or their state says, for every 100
13 units of electricity, you used to produce 100 tons of carbon.
14 But now for every 100 units of electricity produced, you can
15 only produce 50 tons of carbon.

16 And one thing that that Acme Energy Company can do
17 is they can buy a wind, a wind energy place that does no
18 carbon, and it will start doing 100 units of electricity from
19 the coal and 100 from the wind, and now it's doing 200 total
20 with 100 tons of carbon, and it's now made that 2-to-1 ratio
21 requirement. Instead of buying a wind plant, they could buy
22 credits from another company that does wind energy or solar
23 energy.

24 Am I understanding how generation shifting works?
25 Because I would hate to get back to chambers and try to, you

1 know, write an opinion and not have my basic understanding of
2 the Clean Power Plan correct.

3 MR. DONAHUE: Right. And I think it may be a term
4 that there's a little bit of slippage between different well-
5 informed users. But I think basically one quibble I would
6 have with I think the hypothetical is that the Clean Power
7 Plan, which of course was a sort of framework, it was a
8 guideline then states had some significant discretion about
9 how to implement it.

10 But I think the hypothetical was, or the sort of
11 situation was that the coal plant has to reduce its
12 emissions, and that isn't how the Clean Power Plan would
13 work. The plant would have had the ability to reduce its
14 emissions. It could have done something like a partial
15 carbon capture and reduce out of its smokestack to comply.
16 But that, of course, wasn't the best system that EPA
17 identified there. It could also purchase a credit from a
18 renewables or a gas company that would then average in on one
19 variant of the Clean Power Plan in to reduce its rate. And
20 that's how a lot of these, you know, programs work is getting
21 credits that then serve to reduce the rate that is attributed
22 to a particular source. The source could also, you know,
23 choose to, you know, whatever level of production that it
24 wanted to and use credits to achieve the limit.

25 I don't know if that answered your question.

1 JUDGE WALKER: I mean, the only reason why I'm
2 worried it didn't is because aside from the carbon capture
3 option, I thought I had said what you just said. So do you
4 see daylight -- did I say something wrong?

5 MR. DONAHUE: I thought that --

6 JUDGE WALKER: If so, what was it?

7 MR. DONAHUE: I thought you said the CPP said the
8 coal plant has to ratchet down its production, and that's not
9 right. Okay.

10 JUDGE WALKER: Okay.

11 JUDGE PILLARD: So as a result of the CPP, the
12 state is coming to the coal plant and saying we think, right?
13 Because it's all mediated through the state saying how are we
14 going to allocate the limitations that we have to, the belt-
15 tightening that we have to do.

16 MR. DONAHUE: Right. Right, and it --

17 JUDGE PILLARD: The state under it came to the
18 plant and said it looks like your share, folks, is going to
19 be this. Then how can you meet it? Is that right?

20 MR. DONAHUE: I think I understood. One point I
21 would just like to make about this is that there's a lot of
22 sort of policy variance here. But we have before us a rule
23 that repeals the Clean Power Plan on a legal theory that's
24 really sweeping that would, rule that would allow --

25 JUDGE WALKER: I know, I know. I get that.

1 MR. DONAHUE: So.

2 JUDGE PILLARD: All right.

3 JUDGE WALKER: Okay.

4 MR. DONAHUE: So --

5 JUDGE PILLARD: So one of the points I know that
6 the EPA has made here is well we, you know, we threw out
7 carbon capture and co-firing, but so did the Clean Power
8 Plan. And maybe this is an argument that you all made, but
9 it seems like that doesn't necessarily carry over because if
10 you think the statute puts off the table a lot of the things
11 that the Clean Power Plan thought were cheaper and more
12 efficient methods of reduction, then the costs, the unchanged
13 costs in terms of environmental costs might bring those back
14 into focus is actually not only reasonable but required.
15 What's your position on that?

16 MR. DONAHUE: We think that the word best in best
17 system is necessarily sort of a comparative term. If you
18 think that the CPP approach is off the table, you have to
19 figure out something else that's best. EPA didn't say co-
20 firing is infeasible or unreasonably expensive. It said the
21 opposite. It said this is the approach we could use, and
22 that, you know, was in line with other Clean Air Act rules,
23 but that the Clean Power Plan's more flexible, cost-effective
24 approach is better.

25 And they also say, EPA says incorrectly in its

1 brief that the Clean Power Plan rejected emissions-reducing
2 utilization as, it's not clear since the current EPA does not
3 appear to have a lot of, hold the Clean Power Plan in lots of
4 esteem, so it's not clear what it would have meant if the
5 Clean Power Plan had come out differently. But it's not
6 true.

7 I direct the Court to, or I suggest the Court look
8 at page 64782, note 602 of the Clean Power Plan where the
9 agency said emission-reducing utilization is, meets the BSER
10 statutory factors. What EPA is pointing to is a different
11 thing, which is EPA's rejection in the final Clean Power Plan
12 of reliance on energy efficiency, which would have reduced
13 the overall amount of the electricity product available to
14 customers, and EPA said that's different from what we've done
15 before. We're not doing that. But they certainly didn't
16 categorically say emission-reducing utilization where you're
17 basing that on the availability of replacement generation in
18 an equal amount that's clear can't be part of the best
19 system.

20 JUDGE PILLARD: You mean energy efficiency by like
21 people and their appliances?

22 MR. DONAHUE: Right. So the proposed Clean Power
23 Plan, since we've fully understood the final Clean Power
24 Plan, now we're going to go in the proposal --

25 JUDGE PILLARD: Yes.

1 MR. DONAHUE: -- had four building blocks, one of
2 which --

3 JUDGE PILLARD: (Indiscernible).

4 MR. DONAHUE: -- was energy efficiency, which
5 actually is a very effective, cost-effective, as you know,
6 from the EPSA decision, it's widely used. But EPA ultimately
7 decided that as a matter of discretion made a policy choice
8 to leave that out. And it's in connection with that that
9 EPA's --

10 JUDGE PILLARD: Got it. Yes.

11 JUDGE MILLETT: I have one last question. Is
12 emission reduction utilization a very fancy way of saying
13 simply reducing your hours of production, or does it include,
14 or I'm sorry, yes, reducing your hours of production or does
15 it include stopping production?

16 MR. DONAHUE: Both.

17 JUDGE MILLETT: Both, both.

18 MR. DONAHUE: It can be both.

19 JUDGE MILLETT: Okay.

20 MR. DONAHUE: And I direct, suggest the Court look
21 at the discussion in the trade association petitioners' brief
22 which talks about using it in connection with, you know, a
23 judgment that --

24 JUDGE MILLETT: Okay.

25 MR. DONAHUE: Yes.

1 JUDGE PILLARD: You mean Con Edison, is it that
2 brief?

3 MR. DONAHUE: No, that's the power companies. This
4 would be American Wind Energy Association, et al.

5 JUDGE PILLARD: Okay.

6 JUDGE MILLETT: Okay.

7 JUDGE PILLARD: So -- go ahead.

8 JUDGE MILLETT: No, no. I was just going to say, I
9 was going to try and wind it up, but go ahead. If you have
10 another question, we'll keep going.

11 JUDGE PILLARD: So just thinking about kind of the
12 decision tree for the panel, if the panel were to decide that
13 the, were to reject EPA's statutory argument for the
14 invalidity of the Clean Power Plan, are any of the issues
15 that we've been discussing in this third segment of the
16 argument still alive?

17 MR. DONAHUE: So I think that it would require
18 vacating the ACE rule, and the, because it's predicated on
19 that construct being legally mandated by the Clean Air Act,
20 so EPA didn't consider all kinds of things. Their response
21 to comments over and over says, even with respect to should
22 we think about climate change, they say, oh, no, the statute,
23 we're limited by the statute. So it requires vacating ACE.

24 Whether the Court, as we've suggested in our brief,
25 we think the proper remedy would be vacate ACE and then

1 remand the whole thing. We don't think putting the Clean
2 Power Plan in effect now with deadlines past, the power
3 sector is a very different place, makes any sense. So that's
4 the remedy we're seeking. Should the Court reach other
5 issues, I mean, the other issues in the ACE case certainly
6 are, you know, important to how EPA would consider the range
7 of options. They could, on remand, they could do something,
8 you know, totally different, but I don't think the Court
9 would have to reach any of those issues because I think --

10 JUDGE PILLARD: But could we reach any of them?
11 And like, one thing that I think that relates to your point
12 about them not having considered the environmental risks,
13 that goes to the framework regulations, changing of the time
14 frame.

15 MR. DONAHUE: Right.

16 JUDGE PILLARD: Like, if that's something, if we
17 were to invalidate the ACE rule, there's still the issue of
18 the framework regulations which seems closely --

19 MR. DONAHUE: Right.

20 JUDGE PILLARD: -- together with the question of
21 the ACE rule, but it isn't technically the ACE rule. So are
22 there parts of that that fall, parts of that that stay? How
23 does one think about that?

24 MR. DONAHUE: So what we've challenged are those
25 extensions of deadlines, which we think are just kind of not

1 justified with reasoned decision-making. And so you're
2 right, Your Honor, that wasn't something we proposed to
3 argue, but we do argue in our brief that those deadlines are,
4 I think those changes are arbitrary and capricious, and we
5 would ask the Court to at a minimum send those back, even if
6 it relied in general on the proposition that the ACE rule was
7 predicated on the assumption that EPA's repeal was valid.
8 And, you know, it seems to me courts often make kind of
9 pragmatic judgments on these, if the Court were to conclude
10 that the repeal is unlawful. There may be issues that make
11 sense to address and others not. And I'm not sure I have a
12 really crisp answer except I don't think the Court would be
13 sort of obligated to address the issues of regulatory design,
14 and obviously the record issues in the ACE case.

15 JUDGE PILLARD: (Indiscernible) hear from others
16 about that too, sort of what's the prudential and what are
17 the hard stop, you know, questions about what we could or
18 couldn't, should or shouldn't --

19 MR. DONAHUE: I mean, to me it would be helpful. I
20 mean, I think the failure to look at the pollution control,
21 pollution reduction factor and the benefits and the record on
22 risk, and then the failure to set a binding limit are sort of
23 issues that, if it's okay to do what they did here, then that
24 would, you know, very significantly change what they could do
25 in a new rule. If the Court were considering addressing some

1 issues, those are sort of high-level, cross-cutting ones that
2 I think it would be helpful to address. But certainly not
3 necessary to address details of, you know, that the record on
4 how extensive the natural gas distribution system is or
5 whatever.

6 JUDGE MILLETT: Any questions for my colleagues?
7 Okay, well, you got a little extra time there, Mr. Donahue.
8 Thank you, though, for very, very informative and being very
9 responsive to the questions.

10 Mr. Myers.

11 ORAL ARGUMENT OF MICHAEL J. MYERS, ESQ.

12 ON BEHALF OF STATE AND MUNICIPAL PETITIONERS

13 MR. MYERS: May it please the Court, Michael Myers
14 for state and municipal petitioners. Mr. Donahue will be
15 dealing with (indiscernible) segment for both of us, so I
16 won't be requesting separate rebuttal time, Your Honors will
17 be happy to hear. I'm going to discuss two areas, the
18 implications for a state --

19 JUDGE PILLARD: Mr. Myers, this is for us. This
20 is how we have fun.

21 JUDGE MILLETT: It's a pandemic. We don't get to
22 see anybody.

23 MR. MYERS: I'm going to focus on two areas, Your
24 Honor. The implications for state plans will be ACE rule's
25 lack of minimum degree of emission limitation and how ACE's

1 prohibition on emissions trading and averaging is unlawful.

2 Before I go to those two areas, I just wanted to
3 quickly follow up on a question that Judge Pillard raised,
4 and that is in terms of, you know, once EPA ruled best
5 generation shifting type measures out of the equation,
6 didn't it still have an obligation to look at the emission
7 reductions that are available under the systems it does
8 think are lawful.

9 Mr. Donahue talked about how EPA failed to weigh
10 emission reductions when it made its best system choice. I
11 just want to make a quick call-up on that, and that is under
12 FCC v. Fox, EPA found in the Clean Power Plan that heat rate
13 improvements alone could not be the best system because they
14 had, quote, a critical flaw, and that critical flaw, and I'm
15 referring to 80 Fed. Reg. at 64727, was the quantity of
16 emission reductions. So not only did EPA fail to weigh the
17 different systems it did think were lawful, it did not
18 explain how heat rate improvements now had fixed what it
19 referred to previously as a critical flaw.

20 JUDGE WALKER: Is that the rebound effect, the
21 critical flaw was the rebound effect, or are those different
22 things?

23 MR. MYERS: Well, that's related to it, Your
24 Honor. It's both the heat rate improvements do not get
25 substantial emission reductions, and it's also whatever

1 emission reductions they may get you can be offset by the
2 rebound effect.

3 JUDGE PILLARD: Isn't their position, this is
4 where they cite Mexi-Cal (phonetic sp.), their position is,
5 yes, this could be a huge problem. And these may be really
6 inadequate measures. And in fact, I don't think they deny
7 that these are, you know, pretty small when measured against
8 the magnitude of the CO2 greenhouse gas problem. But
9 they're saying this is all we can do under the statute. So
10 get, you know, get another statute.

11 MR. MYERS: Well, that is what they say, Your
12 Honor, but my more limited point is when it comes to even
13 the systems under their constrained reading that they said
14 we're allowed to consider, like co-firing, carbon capture
15 and sequestration, they did not weigh emission reduction and
16 explain how they could choose heat rate improvements despite
17 what they themselves found was a critical flaw just a few
18 years earlier.

19 Moving to the two main areas I wanted to quickly
20 cover. First of all, EPA's failure in ACE to include a
21 minimum degree of emission limitation for state plans --

22 JUDGE MILLETT: I apologize. May I back you up to
23 your last point? Could they have said that, or they did say
24 the market states are already taking care of this, they're
25 making great progress on climate production, or protection.

1 So, we don't understand that as being their balanced
2 consideration of climate impact? If you understood it as
3 them saying we can do less because the market's already
4 doing more at this level, that wouldn't be sufficient?

5 MR. MYERS: Well, Your Honor, we cover this in our
6 reply brief. I actually think there are two problems with
7 this. First, there's a Chenery problem because they did not
8 actually say in the rulemaking here's what the market is
9 getting us, so therefore we only need to get X-plus with the
10 ACE rule. They did not do that. And in addition to that,
11 it's their obligation to consider what level of reduction
12 may be necessary with respect to the endangerment that they
13 found, and they just did not do that analysis here.

14 So in terms of the unworkable nature of ACE, by
15 failing to provide a minimum level of reduction, EPA has
16 discarded its longstanding criterion for determining whether
17 state plans are satisfactory under the statute, and also
18 whether under EPA's regulations that Mr. Donahue cited, the
19 performance standards and state plans are no less stringent
20 than EPA's emission guideline unless the state can
21 demonstrate to EPA that a specific source cannot meet that
22 limit. But with no baseline at all, there's no basis to make
23 that comparison.

24 Second, and this is the second reason why ACE is
25 unworkable. States have no minimum or default emission limit

1 to work from when setting standards for specific sources. As
2 the Association of State Air Pollution Control Agencies told
3 EPA in its rulemaking comments, this is at Joint Appendix
4 1098, this creates problems for states lacking expertise
5 and/or resources to translate these vaguely defined heat rate
6 improvements into emission standards.

7 And on that point, I wanted to go back to something
8 that Judge Pillard said about the table and the ranges. The
9 main case that EPA relies upon for support that it could
10 adopt a range is the Sierra Club v. Costle case. But that
11 case, as the NGOs explain in their brief at page 15, was a
12 much different situation. There, EPA created the
13 subcategories that, Judge Pillard, you were talking about
14 with Mr. Donahue, and set specific limits that applied to
15 each of those categories. They did not just sort of, you
16 know, spout out this table and say to the states, hey, you
17 know, essentially good luck in coming up with an emissions
18 standard.

19 ACE is also inequitable, in addition to being --

20 JUDGE PILLARD: So when you talk about this having
21 to have like a quantifiable or substantive degree of
22 emissions limitation achievable, again, it's somewhat
23 striking that it doesn't seem to really be spelled out in the
24 statute. And the best authority you have, like, so here's
25 another way of putting it more concretely. EPA says, look,

1 this, you know, cooperative federalism, we actually think the
2 states really are more knowledgeable. And what we're going
3 to do is we're going to throw these heat rate suggestions
4 out, and the states are going to tell us, you know, they're
5 going to survey all their sources, and they're going to tell
6 us what they think is, you know, really the most ambitious
7 they can do. We're going to have some dialogue with them.
8 We're going to push back a little bit, maybe, you know, ask
9 them to do a little bit more.

10 But then they're going to come back to EPA with
11 something specific, and that's going to be in their SIP, and
12 we're going to say okay. We're going to accept that, and
13 then you go forward and meet that. So that, it's not
14 something that ex ante based on the BSER in the way that the
15 Clean Power Plan comes up with a limitation, but it is sort
16 of saying, like, we think BSER is this more episodic or more
17 informational exercise. But we are going to have something
18 that's verifiable. It's just going to be something that's
19 served up from the states, and that we're going to bless.
20 What about the law or the statute or anything forbids that?

21 MR. MYERS: Well, Your Honor, I would point you to
22 EPA's regulations, 60.21a(e), the definition of emission
23 guideline, which provides that an emission guideline shall
24 reflect the degree of emission limitation achievable through
25 the application of the best system. And --

1 JUDGE PILLARD: But why isn't this what I'm
2 describing, which is the state coming up with something that
3 reflects the degree of emissions limitation achievable, and
4 the feds say, okay, we're on board with that.

5 MR. MYERS: Well, EPA is saying in that regulatory
6 provision, we're going to define the emission limitation that
7 is achievable through the best system.

8 JUDGE PILLARD: Right.

9 MR. MYERS: And states take that information, and
10 they figure out on a specific, individual unit basis if that
11 works, if they have to vary from it. If they have to vary
12 from it, then they have to demonstrate why.

13 JUDGE PILLARD: So, right. I understand that's how
14 you'd like it to work, but I'm just wondering if there's
15 something legal that prevents it from working effectively the
16 way it does under the ACE rule. And I have a reason that's
17 really ascribing something to Congress, and then Mr. Donahue
18 mentioned the history, and he said that, you know, it used to
19 be that that kind of was how EPA functioned before 1970.
20 They'd go around and say, hey, you might consider this and
21 that. I guess one problem is that if you're a national
22 pollution regulator, if you do that, then the states have a
23 really strong incentive, especially when the grid is
24 integrated to race to the bottom.

25 MR. MYERS: Well, that actually was going to be my

1 second point, so.

2 JUDGE PILLARD: Okay, go ahead.

3 MR. MYERS: I was going to say, that was going to
4 be my second point in terms of why ACE is inequitable because
5 without a minimum required level of reduction, EPA does open
6 the door for states to set very lenient standards
7 contradicting the statutory design that all states must do
8 their share to limit pollution that's endangering public
9 health and welfare.

10 JUDGE PILLARD: I mean, is there anything more
11 general in the Clean Air Act or environmental law that tells
12 us if you don't have a kind of, you know, uniform or somehow
13 nationally-disciplined standard, it's just, I mean, if I were
14 a state regulator, and I'm thinking if my sources are
15 cleaner, they're also going to be more expensive. And with
16 the kind of generation shifting that happens as a matter of
17 just the bare function of, you know, the transmission, what's
18 it called, least cost dispatch, or, that my sources are going
19 to be disadvantaged.

20 I mean, even if you care a lot about the
21 environment, what state would, so I just wonder if there's
22 anything in the EPA that kind of, I mean in the Clean Air Act
23 that tells us of course that's not what is meant or permitted
24 because the whole system would fall to the ground. I don't
25 see it in the language of 111, quite frankly.

1 MR. MYERS: Well, Your Honor, I guess I would just
2 fall back on the response that this is the way that EPA has
3 been, you know, administering this program since its outset
4 in 1975. It comes up with an emission limit that serves as
5 the substantive criteria by which it judges state plans to be
6 satisfactory. And within that is do the performance
7 standards, are they no less stringent than what's in the
8 emission guideline? And if the answer is yes, then a state
9 has to specifically demonstrate why, you know, a specific
10 source requires different treatment.

11 JUDGE PILLARD: Amy I right that, here it seems
12 like on the one hand they sort of walk back on the framework
13 regulations, and they say, okay, okay, we're going to keep
14 that. But then, as they, as ACE instantiates them or applies
15 them, they don't.

16 MR. MYERS: That's absolutely right, Your Honor.
17 That is a disconnect.

18 Moving to the second area that I was going to
19 cover, the ACE rule's prohibition of emissions trading and
20 averaging as compliance measures is unlawful. Trading
21 emissions credit is part of a program to reduce overall
22 pollution has longstanding roots in the Clean Air Act,
23 including under Section 111(d). Here, the record
24 demonstrates, and EPA does not dispute, that emissions
25 trading programs successfully reduce power plant CO2

1 emissions.

2 Our brief cited, for example, to the success of the
3 10-state Regional Greenhouse Gas Initiative, or RGGI.
4 Despite this proven track record, ACE precludes trading
5 because sources would comply through increasing the use of
6 lower or zero-carbon generation, not upgrading coal plants.
7 And according to EPA, that would undermine their best system.

8 Now, before turning to the legal problem --

9 JUDGE WALKER: Mr. Myers, does ACE prevent states
10 from doing generation-shifting, or does it just prevent them
11 from using generation-shifting to satisfy the ACE standard?

12 MR. MYERS: You mean as a matter of state law,
13 could --

14 JUDGE WALKER: Right.

15 MR. MYERS: -- clients in our states continue to
16 use generation shifting for other reasons?

17 JUDGE WALKER: Right.

18 MR. MYERS: Yes, they could, Your Honor.

19 JUDGE WALKER: If you couldn't regulate under 7411,
20 if you couldn't regulate under 111(d), I should say, but you
21 still wanted to regulate power plants and their carbon
22 emissions, where in the Clean Air Act would you go to to do
23 that? I know you think you can under 111(d), but assume that
24 you can't, assume you're the EPA administrator, you can't do
25 it under 111(d). What are the other ways you can do it?

1 MR. MYERS: Well, Your Honor, under the Prevention
2 of Significant Deterioration program, that was the program
3 that was at issue in the UARG case, power plants and other
4 major stationary sources regulated under that provision
5 provided that they trigger the statute for reasons other than
6 simply their quantity of emitting greenhouse gas emissions.
7 So that's one of the programs. There are other potentials --

8 JUDGE PILLARD: They need to be backsliding to
9 trigger that, or, provided they meet the criteria which would
10 be significant deterioration?

11 MR. MYERS: Well, Your Honor, the way it would work
12 is either if building a new stationary source or modifying an
13 existing --

14 JUDGE WALKER: But assume it's just existing, it's
15 an existing stationary source because 111(b) covers new and
16 modified. So, I'm saying we, you, want to regulate carbon
17 emissions from existing sources. Assume you can't use
18 111(d). What would you use? What are some of the
19 possibilities that you would use?

20 MR. MYERS: Well, I think they would be the
21 possibilities that you were discussing in some of the earlier
22 arguments, Your Honor. NAAQS program, Section 112, Section
23 115, those are all possibilities the EPA has --

24 JUDGE WALKER: I just wanted to see if you had a
25 fourth or a fifth to add to that list, but it's totally fine.

1 I'm good.

2 MR. MYERS: Thank you, Your Honor. So --

3 JUDGE MILLETT: Can I ask a question? If someone
4 objects to, under a scheme like this where the states have so
5 much discretion, if someone objects to the state plan, not
6 the EPA guideline, sorry, I just don't know this, how are
7 those challenged? Are those challenged inside the state, or
8 are they also challenged under the --

9 MR. MYERS: Those would be challenged, each state
10 plan could be challenged under state law, Your Honor.

11 JUDGE MILLETT: Or they can also, I guess you could
12 always challenge, I guess, the Secretary's or the
13 Administrator's approval of a particular state plan. But if
14 the Administrator has approved a state plan, it can still be
15 struck down under state law?

16 MR. MYERS: Well, typically the works, typically
17 the way it works, Your Honor, is the state law and act, as a
18 matter of state law or state regulation whatever provisions
19 will go into a federal plan for submission. So challenges
20 usually come first at the state level, and then, you know, a
21 state will propose, here are the regulations that we have
22 enacted that will fulfill our obligations under this EPA
23 plan. We'll submit those to EPA. If EPA disapproves them,
24 then, you know, that could be challenged. Or if EPA
25 approves, that could also be challenged as well.

1 JUDGE MILLETT: So, I'm sorry, the state law
2 challenges come before it's approved by the administrator?

3 MR. MYERS: Typically.

4 JUDGE MILLETT: Okay, all right. Thank you. Are
5 there any more questions from Judge Pillard or Judge Walker?

6 MR. MYERS: If I might. Sorry, Your Honor, if I
7 might just finish up this point on trading?

8 JUDGE MILLETT: One minute. One minute.

9 MR. MYERS: I heard Your Honor wrap this up. So,
10 two quick points on this. First, the ban on trading is
11 inconsistent with the flexibility recognized in Section
12 111(a)(1) and EPA's implementing regulations. Those
13 provisions don't mandate using EPA's best, or EPA's preferred
14 systems. They say the standards in state plans are to
15 reflect the degree of emission limitation achievable through
16 the application of the best system. And that is consistent
17 with Congress's notion of cooperative federalism that it's
18 the results, the performance that matters here. It's not the
19 specific methods the states choose.

20 The second legal problem is, many of our states
21 intend to use emissions trading programs going forward, yet
22 EPA's prohibition on using trading to comply with ACE means
23 that we'll need to devise a different plan to get reductions
24 of the same pollutant from the same sources. And for the
25 reasons we cited in our brief, we think both Section 116 of

1 the Act and the Supreme Court's decision in Union Electric go
2 against that result.

3 JUDGE MILLETT: Okay.

4 MR. MYERS: Let me just --

5 JUDGE MILLETT: Thank you.

6 MR. MYERS: Sorry. I was just going to very
7 quickly conclude, if I may.

8 JUDGE MILLETT: Go ahead.

9 MR. MYERS: It's been 15 years since New York and
10 other states sued EPA in this Court for failing to use
11 Section 111 to limit power plant CO2 emissions causing
12 climate change harms. Today, our residents are struggling to
13 breathe from wildfire smoke, and our communities are being
14 inundated by severe storms and rising seas. EPA has the
15 authority to meaningfully address those harms. We ask the
16 Court to grant our petition for review and order EPA to
17 promptly fulfill its obligation under the statute to provide
18 that relief. Thank you, Your Honor.

19 JUDGE MILLETT: Thank you, Mr. Myers.

20 All right, Mr. Brightbill, your turn again.

21 ORAL ARGUMENT OF JONATHAN D. BRIGHTBILL, ESQ.

22 ON BEHALF OF THE RESPONDENTS

23 MR. BRIGHTBILL: Thank you very much, Your Honor.

24 Jonathan Brightbill again for the Justice Department. So
25 I'm going to try and move through it quickly, but I've

1 accumulated a list of 15 points over the course of the hour-
2 and-a-half on the first part of this that I'm going to try
3 and get through very quickly and not take as long.

4 But before I do that, I want to start now by just
5 clarifying and nailing down the standard of review now for
6 the ACE rule, right? For the CPP, under the MERC cases
7 where you had a fundamental change in the regulatory
8 structure and the regulatory paradigm from a technology
9 technique, bottoms-up standard, to an aggregate area-
10 planning and incentives-based, you know, coming down,
11 impacting states. That triggers the major question
12 doctrine. We think you don't even get there, Chevron step
13 1. But what we have here now is unquestionably in the realm
14 of agency discretion. And so statutory issues like degree,
15 what does BSER mean, those types of things, we're clearly in
16 the wheelhouse of Chevron step 2, and no one is disputing
17 that.

18 Now, with respect to the, first of all, point one
19 here was the suggestion that because there is a modest
20 reduction in the ACE, there must be a problem. And
21 actually, that logic is exactly to the contrary when you
22 look at Section 111(d), which is one of the if not the most
23 modest emission reduction provisions in all of the Clean Air
24 Act, Your Honor.

25 You're talking about adequately demonstrated,

1 based on cost, based on energy requirements, and the like.
2 And the fact that you had effectively, you contrast that to
3 MACT, maximum achievable control technology in 112, LAER,
4 lowest achievable emission rate for non-attainment areas for
5 new source review, Your Honor. You have one of the most
6 modest provisions in the Act, and the fact that you, of
7 course, from this mouse hole used five times successfully
8 prior to the Clean Power Plan, you spring from that the
9 elephant of the Clean Power Plan with its dramatically
10 different form of regulation, it's actually to the contrary,
11 Your Honor. The results that you see or that were claimed
12 under the Clean Power Plan as --

13 JUDGE MILLETT: I'm sorry, Mr. Brightbill. I
14 guess, I understand the point you're making, but we really
15 need to focus on the ACE rule now. I understand your
16 arguments on the Clean Power Plan. So I guess you're, I
17 think you're setting up the point that this is supposed to
18 be modest regulation, and that's where you want to land, so.

19 MR. BRIGHTBILL: And that is the point, Your
20 Honor, thank you, that ultimately the fact that you see a
21 modest result from the ACE rule is not inconsistent with
22 this statute or its history or its place.

23 JUDGE PILLARD: Well, no, you were talking about
24 maximum, and here it says best. So that has a -- does that
25 not have a (indiscernible)?

1 MR. BRIGHTBILL: It does not, Your Honor. This is
2 not actually a technology forcing provision because it
3 requires that best system of emissions reduction to be
4 adequately demonstrated. And this Court's case law has
5 talked a lot about what that means over the course of the
6 year. And in particular, for example, in the Essex Chemical
7 case, talks about it being without becoming exorbitantly
8 costly in an economic or environmental way. In Portland
9 Cement, it talks about it needing to be a national standard.
10 In National Lime Association that this is a standard that
11 you consider the representativeness from the industry as a
12 whole.

13 So these are all background principles that when
14 you layer it into adequately demonstrated, achievable,
15 taking into account costs, energy factors, right? To the
16 contrary, these are actually what the EPA called the guiding
17 principles of how it went about formulating the ACE rule.
18 And this is a very important, critical argument that none of
19 the petitioners have actually wrestled with. And we
20 actually called them out specifically on this in our brief
21 at page 211, which is that the first act of EPA in
22 formulating the ACE rule was to look at what BSER means and
23 to interpret that, the statutory provision in a Chevron step
24 2 manner considering what all these other case laws are, and
25 to come up with an articulated legal standard for how it was

1 going to go about conducting its review.

2 JUDGE MILLETT: Was this the best system of
3 emission regulation include, do you just look at costs and
4 technology, or do you have to balance in deciding what is
5 best not just cost, but also whether it is, in fact, making
6 a material change, materially addressing the environmental
7 problem at hand, one which, as we discussed earlier, the EPA
8 has, it's so dramatic that the EPA has (indiscernible)
9 finding for?

10 MR. BRIGHTBILL: It does not, Your Honor, require
11 that. It requires --

12 JUDGE MILLETT: You don't have to consider whether
13 it makes, moves the needle at all on emission control in any
14 material way?

15 MR. BRIGHTBILL: No. And in fact, this Court's
16 prior precedent in the Essex Chemical case represents that
17 for purposes of Section 111, EPA may not be able to set a
18 BSER that moves the needle at all. Now, the petitioners
19 give on reply --

20 JUDGE MILLETT: So they're not allowed to factor
21 in -- I'm saying it for a specific reason, because they are,
22 the EPA is forbidden to consider in deciding what is the
23 best system, whether it in fact makes any material reduction
24 in emissions? That's EPA's position?

25 MR. BRIGHTBILL: EPA's position is that under the

1 Essex Chemical case, it may be the case that the best system
2 of emissions reduction is one that once you consider all of
3 the statutory factors, that there actually is not a best
4 system of emissions reduction that for a particular category
5 of sources may actually move the needle. And this Court
6 already held that --

7 JUDGE WALKER: I'm sorry, Mr. Brightbill. I don't
8 think you answered Judge Millett's question.

9 MR. BRIGHTBILL: I apologize, Your Honor, because
10 then maybe I didn't understand what she's trying to get to.

11 JUDGE MILLETT: The first is whether best system
12 of emission reduction forbids you from considering amongst
13 those statutory factors whether the technique at hand or the
14 system at hand will make any material difference in emission
15 reduction.

16 MR. BRIGHTBILL: In that, to that narrow question,
17 I would say it doesn't forbid it, but that consideration
18 cannot overcome, ultimately --

19 JUDGE WALKER: Does it require it?

20 MR. BRIGHTBILL: -- whether or not specific
21 factors -- what's that?

22 JUDGE WALKER: Does it require the EPA to consider
23 it?

24 MR. BRIGHTBILL: No. No, it does not --

25 JUDGE WALKER: I'm not saying it would be

1 dispositive, but --

2 MR. BRIGHTBILL: No, it does not, Your Honor. The
3 statute doesn't use that. What the statute says --

4 JUDGE WALKER: I mean, I think that's, so, I think
5 that's --

6 JUDGE PILLARD: It doesn't?

7 JUDGE WALKER: -- really hard to grapple, I mean
8 to wrap my head around. We're talking about a program to
9 reduce air pollution, and it doesn't even require you to
10 consider how much -- it's been a long day -- pollution
11 you're reducing.

12 MR. BRIGHTBILL: Well, Your Honor --

13 JUDGE WALKER: That's what you're saying?

14 MR. BRIGHTBILL: Okay, no. Let me clarify that.
15 So of course it requires you to consider the amount of
16 pollution that the system is reducing when you're looking at
17 it. But I understood Judge Millett's question to be
18 broader, I guess, in some sense, in terms of reaching all
19 the way to whether there are health and environmental
20 benefits from the system of emissions reduction. And I
21 would say no, it does not require that. In 111(d),
22 actually, 111(d) is actually triggered by 111(b). And that
23 kind of, what I will call high-level determination of
24 whether regulation of the pollutant is beneficial from a
25 Michigan v. EPA perspective, from a cost benefit

1 perspective, that occurs in 111(b) in the context of --

2 JUDGE MILLETT: But in doing the cost benefit --
3 I'm sorry. I'm hearing a ringing. Is one of you trying to
4 ask a question? No, okay. You have to balance both the
5 cost and the benefits, correct?

6 MR. BRIGHTBILL: In 111(d), when you are doing a
7 determination of whether you are going to regulate a
8 particular substance under the Section 111, then yes, you
9 need to weigh the cost and the benefits under Michigan v.
10 EPA. Once you're through that gate and into 111(b), the
11 statutory inquiry is different. Now, when we're talking
12 about existing sources and whether or not there is something
13 more that the existing sources can do, we're now conducting
14 a technological review of whether there is a best system of
15 emission --

16 JUDGE MILLETT: I'm sorry. Are you saying 111, B
17 as in boy or D as dog? Maybe I'm not hearing you right
18 because I'm getting a little confused about the argument.

19 MR. BRIGHTBILL: So in boy, in 111-boy is when you
20 do kind of a broad, Michigan v. EPA style weighing of cost
21 benefits to determine whether --

22 JUDGE MILLETT: But (b) is new and modified
23 sources. So let's just confine the argument, at least,
24 unless my colleagues prefer otherwise, to 111(d), existing
25 sources.

1 MR. BRIGHTBILL: Correct. So --

2 JUDGE MILLETT: (Indiscernible) that cost benefit
3 I assume is happening under 111(a).

4 MR. BRIGHTBILL: No. Once you get to (d), there's
5 a statutory inquiry. The statutory inquiry that Congress
6 sets forth is whether there is a system that is adequately
7 demonstrated --

8 JUDGE MILLETT: That's in (a).

9 MR. BRIGHTBILL: Well, (a), but (a), again, you
10 have to read in the context of (d) because you're, because,
11 again, one of the problems is that there's been kind of a
12 conflation --

13 JUDGE MILLETT: There's no balancing under (a),
14 just to be clear, that's your position?

15 MR. BRIGHTBILL: When EPA is doing the
16 determination of what the BSER is in the context of (d)
17 because it is doing a BSER for the (d) rule, then the
18 statutory inquiry is not, or is a balancing of the factors
19 that are in that statute.

20 JUDGE PILLARD: And I thought I heard you say, Mr.
21 Brightbill, that it doesn't look at the extent to which the
22 system reduces emissions. So best is not the system in your
23 view that is best at reducing emissions? It's the system
24 that is best at taking into account the cost and non-air
25 quality, health and environmental impact, and energy

1 requirements but not the best at reducing emission?

2 MR. BRIGHTBILL: No, no, no. I think you
3 misunderstood.

4 JUDGE MILLETT: That's (indiscernible) emission
5 reduction.

6 MR. BRIGHTBILL: I think you misunderstood what I
7 was talking about with respect to Section (b) for boy versus
8 (d) for dog. So for dog, for Section (d), you are trying to
9 -- thank you, Your Honor -- you're trying to determine what
10 is the best system of emissions reduction that is, and then
11 there's a statutory analysis. What is adequately
12 demonstrated, of course --

13 JUDGE PILLARD: Right.

14 MR. BRIGHTBILL: You know, of course, in the
15 contest of that, you will be looking at whether there are
16 emissions reductions. But in addition to whether there are
17 emissions reductions, you also have to determine that it is
18 adequately demonstrated, and this is the gating exercise
19 that the petitioners hit. You have to determine if it's
20 adequately demonstrated and broadly achievable for the
21 source category across the country. That is how, as a
22 Chevron step 2 matter, to begin their analysis --

23 JUDGE MILLETT: The statute doesn't say broadly
24 achievable. The statute just says achievable. But go
25 ahead.

1 MR. BRIGHTBILL: That's right, Your Honor. And
2 again, if you read, if you refer back to the preamble, the
3 ACE rule where they lay this out, Joint Appendix 0015,
4 that's really where it starts. These are the guiding
5 principles. And that additional, that gloss that you just
6 referred to there, broadly achievable across the country,
7 that, again, comes from this Circuit's case law interpreting
8 111(a)(1). Now, that was in the context of new sources, but
9 EPA interpreted this Court's case law to require a similar
10 analysis for BSER when it comes to existing sources.

11 So the very, very first thing that EPA did then
12 was, okay, what does it mean to have a BSER in the first
13 instance when you, in light of the case law, and they
14 established this standard.

15 Now, the petitioners never attack that
16 interpretation, never call it wrong. They were called out
17 for it in our brief. And the only response was, well,
18 there's case law that reflects that a BSER doesn't have to
19 be in widespread use. But that sidesteps the question, Your
20 Honor, because that's not what EPA is saying in it either.
21 All the analysis asks is, is this broadly achievable for the
22 source category across the country. It's not dependent upon
23 the sole response that they had on this issue, Your Honor.

24 So, now, once you've established what is a BSER,
25 EPA's practice at that point was to go through the candidate

1 systems of emissions reduction against this standard.
2 Again, the standard is ultimately articulated in Joint
3 Appendix at 16, 84 Fed. Reg. 32535, column 2, and then
4 consider each of them. And so when it did so, only heat
5 rate improvement met the statutory standard to qualify, to
6 kind of get through the door, to get through the gate in the
7 first instance to be a BSER, Your Honor.

8 Now, so that was actually points 1, 2, and 3, Your
9 Honor. So point 4. Mr. Donahue then makes reference to the
10 rebound effect. And with respect to the rebound effect,
11 Your Honor, it's interesting that you ultimately, that
12 petitioners are of two minds and kind of working at cross-
13 purposes.

14 For one thing, I just want to point out that there
15 seems to be a criticism of the ACE rule as compared to the
16 Clean Power Plan and the benefits. But at the end of the
17 day, the scientific study that the petitioners themselves,
18 and Mr. Donahue I think was referring to this, earlier put
19 into the record, submitted in comments to the Environmental
20 Protection Agency. And they included an excerpt at Joint
21 Appendix 1452 to 53, calls the difference between the ACE
22 rule and the Clean Power Plan in terms of its net cumulative
23 emissions slight. And I'll read it to you. Cumulative CO2
24 emissions from 2021 to 2050 are slightly lower under all
25 three ACE scenarios compared to new policy and slightly

1 higher compared to the CPP.

2 Now, the EPA's own data is to the contrary, and
3 they disagree with that. But with respect to the assertions
4 of a Chenery problem or otherwise that they've established
5 in this record that there's a material difference or a
6 significant difference between the ACE rule and the Clean
7 Power Plan, the record that they've established is to the
8 contrary. But now this study also goes on and addresses
9 this issue of the rebound effect, which again is --

10 JUDGE MILLETT: (Indiscernible) slightly different
11 in the amount of emissions, and that's just measurably
12 different. The amount of emissions reduction is, just the
13 numbers are not slightly different.

14 MR. BRIGHTBILL: Well, they have a table, and the
15 difference between the two --

16 JUDGE MILLETT: I'm just asking you, just look at
17 me and just talk to me about it. Maybe you just say that,
18 that maybe that expert's all wrong or confused or we're
19 talking about something else. But I think we can all agree
20 that the number is the amounts of reduction unless you're
21 talking about what the market would bring in on its own.
22 But if we're just talking about what ACE alone would
23 accomplish --

24 MR. BRIGHTBILL: Well the Clean Power Plan --

25 JUDGE MILLETT: -- or what the Clean Power Plan

1 would have accomplished in the percentile of emission
2 reductions, there's not a slight difference between them.

3 MR. BRIGHTBILL: Well, the Clean Power Plan itself
4 in its preamble was premised on the idea that the market was
5 going to do many things on its own --

6 JUDGE MILLETT: Right. You're relying on the
7 market --

8 MR. BRIGHTBILL: -- (indiscernible) Your Honor.

9 JUDGE MILLETT: That's --

10 MR. BRIGHTBILL: So, but --

11 JUDGE MILLETT: So you're talking about ACE plan,
12 plus the market keeps going and doing what it does.

13 MR. BRIGHTBILL: So the difference between the
14 market and ACE at the end of the day is about a percent
15 overall, Your Honor. But the difference between the ACE
16 plan and the CPP, as compared to their own data, is,
17 depending on the scenario, 2.2 to 2.7 percent in cumulative
18 emissions over the next 30 years, Your Honor. But, more
19 broadly, in defending the Clean Power Plan, Mr. Wu --

20 JUDGE MILLETT: Is that including on the
21 assumption that people are going to use the, I'm not going
22 to have the language right here, but the blade changing, and
23 the, oh, what is the other one called? Where do I have it
24 here? It's the blade, and then, sorry. I am not
25 technological. The blade path upgrade and the economizer --

1 MR. BRIGHTBILL: Yes, Your Honor. Your Honor --

2 JUDGE MILLETT: Are you including those or not
3 including those in your number?

4 MR. BRIGHTBILL: I am including those, and I'm
5 sorry, I just want to double-check.

6 JUDGE MILLETT: Okay. You include those, but you
7 said we don't think anyone's going to do it. So that seems
8 to gild the lily a little bit.

9 MR. BRIGHTBILL: Well, let me clarify, Your Honor.
10 No, it doesn't, because that's why I gave you a range. And
11 I should have said 2.3 percent to 2.9 percent, according to
12 their tables, Your Honor. But that's why there is a range,
13 because they do do different scenarios. And one of the
14 scenarios is the one you're referring to with the --

15 JUDGE MILLETT: Well, how can you count something
16 that, I mean, it is on the table. It looks like the most
17 productive one. But you all said we don't expect anyone to
18 do it, and you, I think you didn't even model it. So you
19 can't include those, any projections about those emission
20 reductions. Can you reasonably do that?

21 MR. BRIGHTBILL: Your Honor, when assessing the
22 overall rationality of the policy, it is reasonable to
23 assess the various outcomes. And on the whole --

24 JUDGE MILLETT: Wait, wait, wait. That's a nice,
25 general rule. Is it rational to assess an outcome where, in

1 fact, probably your most significant outcome is under a,
2 including in that a scenario that you have said we don't
3 think that will happen. That is your position, that is a
4 rational thing to do?

5 MR. BRIGHTBILL: It is rational to do that, Your
6 Honor, because part of rational policymaking is to model
7 various different scenarios. And that's what they did in
8 their studies. That's what EPA did when it did its analysis
9 of what the emissions output would be.

10 JUDGE MILLETT: But can you defend your scenario
11 on the ground of options that you expect, that do move the
12 needle more than the other options on the list when you have
13 said, made a finding or a determination or a prediction, an
14 informed expert prediction from the agency that it will
15 never happen.

16 MR. BRIGHTBILL: Well, Your Honor --

17 JUDGE MILLETT: That feels like gilding the lily
18 to me. You count it, but you don't expect it to happen.

19 MR. BRIGHTBILL: Well, Your Honor, again, that's
20 only one of the modeling scenarios, of course.

21 JUDGE MILLETT: Yes.

22 MR. BRIGHTBILL: And the total record, the
23 total --

24 JUDGE MILLETT: I'm just responding to you saying
25 it's rational to do that.

1 MR. BRIGHTBILL: Well, it is rational to do it as
2 a standalone. I'm not saying it could be the only scenario
3 that you could do it. But your question was is that
4 rational. I interpreted that to mean is that rational to do
5 it all ever? And it is if you're doing a range of different
6 assessments, and that is what they did ultimately. It's a
7 series of modeling, and ultimately, the expert agency to
8 which Congress has delegated on this issue had substantial
9 evidence which this Court owes an extreme degree of
10 deference to since this is a technical judgment, that the
11 net benefits of the ACE rule would be positive overall.

12 Now, with respect to the rebound effect, then,
13 Your Honor, here again the petitioners want their cake and
14 to have it too because when they are defending the Clean
15 Power Plan, one of the things that Mr. Wu said in his
16 discussion was that it doesn't matter. And actually, I
17 believe it was in response to your question, Judge Millett,
18 and you said is it possible that you could have a state that
19 could do nothing, and they could run full power gangbusters,
20 and then all the credits could come from another place. And
21 his answer to that was, that's okay, Your Honor, because the
22 total, because of the unique nature of this pollutant and
23 the total amount of greenhouse gas emissions is going to
24 come down, then the Clean Power Plan is rational, and that
25 type of hypothetical scenario is true.

1 That same logic is why the rebound effect is not a
2 criticism, a legitimate criticism of the ACE rule, Your
3 Honor. Yes, it may be the case that in some places, some
4 states, there will be more emissions. But on the net,
5 across the entirety of the United States for this unique
6 form of pollutant, which all emissions across the country
7 ultimately mix evenly in the atmosphere, as Mr. Wu noted.
8 The rebound effect is not a legitimate criticism since the
9 regional isolation that they are engaging in doesn't answer
10 what this particular pollutant (indiscernible).

11 So with respect to the rebound effect and the
12 other issues that they raised against it, of course, the
13 statute, 302(k), does permit EPA to establish or to base
14 things on a rate and to have standards based on rates. The
15 net emissions are going down. And one additional
16 justification for why EPA's response was rational, and why
17 the rebound effect, its response to that was not arbitrary,
18 is at Joint Appendix page 24, EPA noted that states would be
19 able to take account of the putative prospect of rebound
20 when they're formulating their own state plans. And of
21 course, we're dealing with a period of emissions over the
22 next 30 years, and to the extent the rebound effect actually
23 develops, that that is something that EPA can watch --

24 JUDGE MILLETT: Does EPA expect -- I don't know
25 why we're getting feedback. I apologize. Does EPA expect

1 rebound effect?

2 MR. BRIGHTBILL: EPA expects, when it does its
3 cumulative analysis across the country, EPA does expect that
4 in some places, some states there could be higher emissions,
5 but that the total overall CO2 emission profile --

6 JUDGE MILLETT: I just want to ask, I'm going to
7 try this one more time. Does EPA expect rebound effect?

8 MR. BRIGHTBILL: Not net rebound effect in any
9 except the worst-case scenario modeling that it conducted in
10 conjunction with its RIA. But in total, its conclusion is,
11 no, there will not be a rebound effect.

12 JUDGE MILLETT: What do you mean by net rebound
13 effect? Do you mean just within coal power emissions, or
14 are you throwing in all the market reductions in the
15 process?

16 MR. BRIGHTBILL: In total for the country --

17 JUDGE MILLETT: Oh, that includes market, less use
18 of coal, trading systems, whatever it is, all that. I'm
19 just trying to -- if the only thing we're going to look at
20 is what happens with coal.

21 MR. BRIGHTBILL: Right.

22 JUDGE MILLETT: All we're going to look at is what
23 happens with coal. We're not going to look at trading.
24 We're not going to look at generation shifting. You don't
25 want us looking at that stuff anyhow. Would this lead to a

1 rebound effect --

2 MR. BRIGHTBILL: What the record reflects --

3 JUDGE MILLETT: -- just the coal system itself.

4 MR. BRIGHTBILL: What the record reflects and what
5 EPA's finding is, is that there will not be a rebound effect
6 that will outweigh the benefits of heat rate improvements at
7 the coal-fired power plants, Your Honor. That was their
8 finding.

9 Now, with respect to point 6 that Mr. Donahue made
10 about the lack of emissions limit. As Your Honor already
11 noted that that argument was premised on the, avoiding the
12 word degree in the analysis for the, for what is a BSER.
13 The statute does not require EPA to set a specific limit.
14 Instead, it is to provide guidelines that reflect the degree
15 of emissions reduction which can occur by virtue of the
16 application of the best system of emissions reduction.

17 JUDGE MILLETT: Has the EPA ever set a range like
18 this before? It sounds like it's not, it sounds like it's
19 more a suggested range. It's not even a mandatory range.

20 MR. BRIGHTBILL: No, it's an actual range, Your
21 Honor, in the following sense. The way that EPA's
22 regulations work is that the states will now take the
23 emissions guidelines. They will now take the table, and the
24 regulations and the process each state to go through each of
25 the seven heat rate improvement technologies at each of the

1 coal-fired power plants that they have in their
2 jurisdiction. And using that HRI information, determine
3 whether that HRI can be deployed at that facility. And
4 again, one of the reasons why EPA took this approach and
5 nothing in the statute --

6 JUDGE MILLETT: Okay. I don't want to hear that.
7 I want to hear you finish and tell me how this is an actual,
8 these up and down, the top or bottoms here are actual, hard
9 limits for them.

10 MR. BRIGHTBILL: Yes. So the EPA needs to take
11 the, or they take the information. Excuse me, Your Honor.
12 The states take the information. They have to go through
13 each of the heat rate improvement candidates, and then they
14 need to do an analysis of whether or not the heat rate
15 improvement technologies will have the effects.

16 To the extent that a state determines that, hey,
17 for our particular facility, because they've already done
18 equipment upgrades of this kind, or this plant is unique in
19 its nature and therefore this HRI is not going to have the
20 expected results, there could be geographically-driven
21 results because of ambient temperatures and other things,
22 depending on where in the country a particular power plant
23 is. There's also many other idiosyncratic factors that
24 relate to remaining useful life. The state will have to
25 take those factors into account and justify and explain

1 variation from the emission guidelines that EPA has provided
2 through the Table 1, through the expected heat rate
3 improvements. And --

4 JUDGE PILLARD: So, Mr. Brightbill, I just have a
5 question about what you've said so far. You were saying
6 that states are the backstop on the rebound effect. But
7 does that mean that states can use averaging or trading to
8 account for rebound effects? I mean, how are they a
9 backstop on that?

10 MR. BRIGHTBILL: Well, they can, they set the
11 standards of emission reduction, Your Honor. And they are
12 empowered as states to make judgments as they are reviewing
13 these technologies and applying these technologies, Your
14 Honor. And --

15 JUDGE PILLARD: So what is the federal, the
16 federal guidelines, is it correct as Mr. Donahue was
17 suggesting, this is sort of going back to the pre-1970 EPA
18 where EPA is coming up with some information that might be
19 useful to the states, but really the only actual, you know,
20 verifiable, numerical metrics are, as an initial matter, at
21 least, served up by the states in their SIPs?

22 MR. BRIGHTBILL: I'm sorry, Your Honor. I don't
23 quite understand your question.

24 JUDGE PILLARD: So when EPA under its new
25 framework regulations, it reaffirmed the requirement that

1 EPA have a guideline that it communicates as part of its
2 BSER. But I gather from the ACE, and you can correct me if
3 I'm wrong, that they don't actually specify for states or
4 for categories of services, degrees, numerical degrees of
5 emission limitation.

6 MR. BRIGHTBILL: So what they don't do is they do
7 not provide a standard in, you know, which would be relevant
8 for a coal power plant of pounds of, you know, million tons
9 of CO2 per megawatt --

10 JUDGE PILLARD: Or even a rate. Or even a rate.

11 MR. BRIGHTBILL: Right. They do not provide --

12 JUDGE PILLARD: No numbers. Okay. So how is it
13 verified whether they're complying or not?

14 MR. BRIGHTBILL: What they provide is information
15 about the expected heat rate improvement --

16 JUDGE PILLARD: Right, Table 1. You're talking
17 about Table 1.

18 MR. BRIGHTBILL: Table 1, Your Honor, yes. If --

19 JUDGE PILLARD: Right. And if a state says, you
20 know, we are going to meet Table 1, we're going to be at the
21 low end of everything, or we just can't meet Table 1, but
22 here's what we can do.

23 MR. BRIGHTBILL: Right.

24 JUDGE PILLARD: And they come back. Then what
25 happens?

1 MR. BRIGHTBILL: So if they say they cannot meet
2 Table 1, they will need to justify that and explain what the
3 factors are that, at the power plants and the places where
4 they imposed a standard of performance that was late, that
5 was less than and derived less heat rate improvement, and
6 then ultimately a lesser standard than Table 1. They'll
7 have to explain and justify that.

8 JUDGE PILLARD: Okay.

9 MR. BRIGHTBILL: If they do not, and that will be
10 subject --

11 JUDGE PILLARD: And they justify that according to
12 what standard?

13 MR. BRIGHTBILL: They will justify that according
14 to the standards in the regulations, in the new
15 implementation regulations, Your Honor.

16 JUDGE PILLARD: Which section numbers are you
17 thinking about?

18 MR. BRIGHTBILL: So, in the new implementing
19 regulations, 60.24a and Joint Appendix 57, and this in
20 general applies the standards of performance and compliance
21 schedules. And you can see, there are a number of various
22 criteria that are being imposed on the states in particular
23 in doing the heat rate improvement analysis. This provides,
24 the regulations provide except as provided in paragraph E of
25 this section, standards of performance shall be no less

1 stringent than the corresponding emission guidelines
2 specified in subpart C of this part, and final compliance
3 shall be required --

4 JUDGE PILLARD: Are there emission guidelines in
5 subpart C of this part? I didn't see those.

6 MR. BRIGHTBILL: The emissions guidelines, then,
7 are the, is the Table 1, Your Honor. That was the emissions
8 guidelines for this class of sources, Your Honor.

9 JUDGE PILLARD: Oh.

10 MR. BRIGHTBILL: So, and then they use those --

11 JUDGE MILLETT: But suppose the company comes in
12 or state comes in and says we went and talked to coal plant
13 A, and we said let's see if we can, let's see if it makes
14 any sense to have you do the blade path. They go, oh, no.
15 Nobody's going to do that. Nobody's going to do that, just
16 as the EPA predicted. All right, how about the economizer?
17 No, we can't do that, just as the EPA said we can't. And
18 then they go through the other things, and they go, look, we
19 are really old, and the economies in coal production are so
20 bad, we can't make any of the changes on this table because
21 we are hanging on by a shoe string, and any extra expense,
22 we're already, it's already hard for us to compete on the
23 grid. Our bids are always coming in on the bottom of the
24 pile. And if you put more expense on us, we're just going
25 to go even deeper, so we just can't do any of this. And the

1 state says, well, makes sense to me. If you spend, you're
2 hanging on by a shoestring, you're on that verge of
3 bankruptcy. If you do anything more, you're going to become
4 even less competitive on the grid.

5 State it comes back and says that to EPA. What
6 happens?

7 MR. BRIGHTBILL: So, if a state says that, they'll
8 have to write up the technical and other cost justifications
9 for that, and ultimately, that will be subject to review by
10 EPA who will determine if the reasons that have been
11 provided --

12 JUDGE MILLETT: Well, let's assume it's truthful.
13 It's truthful. Clean power plants are old. They're already
14 having a tough time competing on the grid. They're already
15 financially struggling. Then what happens?

16 MR. BRIGHTBILL: Well, Your Honor, they would need
17 to articulate how the remaining useful life and other
18 factors that are set forth in that way, they would provide
19 that argument to EPA. EPA would determine if that argument
20 is satisfactory. I'm not --

21 JUDGE MILLETT: Yes, I'm going to give you 10
22 pages of this, and it's all going to be truthful, and I'm
23 going to give you the economic information you need, the
24 financials that you need, the studies that you need, and
25 it's going to show you exactly what I just told you. So I'm

1 going to back it up with some data and facts, and so EPA
2 goes, okay. So then they just don't have to meet, do they
3 just not have to meet an emission limit then?

4 MR. BRIGHTBILL: Yes. Let me answer your
5 penultimate question, Your Honor, because I'm not EPA, so I
6 can't make the technical judgments in the weighing of all
7 the economic factors in your hypo. But --

8 JUDGE MILLETT: You're not the EPA?

9 MR. BRIGHTBILL: -- to answer your penultimate
10 question --

11 JUDGE MILLETT: I'm sorry. Wait. I'm sorry.
12 Aren't you representing the EPA?

13 MR. BRIGHTBILL: I am. I am, but I'm not a
14 technical expert as to how all those factors would
15 ultimately come together --

16 JUDGE MILLETT: Okay.

17 MR. BRIGHTBILL: -- in any particular scenario to
18 determine if --

19 JUDGE MILLETT: Surely your client could have told
20 you how this was going to work.

21 MR. BRIGHTBILL: If the plan is going to be deemed
22 satisfactory or not, but --

23 JUDGE MILLETT: But what choice would they have?
24 There's no real standard here to violate, and they would
25 have explained their way why they couldn't do any of those

1 things. What happens?

2 MR. BRIGHTBILL: Well, again, they would need to
3 explain. And if they explain and provide sufficient
4 technical justification --

5 JUDGE MILLETT: That's my hypothetical to you.

6 MR. BRIGHTBILL: Yes, that's your penultimate --

7 JUDGE MILLETT: It's true. You look at --

8 MR. BRIGHTBILL: -- hypothetical, then that's
9 right. Then there may not be an improvement that can be
10 applied to that particular plant, a standard of performance
11 that requires anything more from that plant. That's
12 consistent with the Essex Chemical case --

13 JUDGE MILLETT: Is it hard to imagine that every
14 coal plant will be, or almost, that a large percentage of
15 the coal plants will be able to make that same showing?
16 They're old. There aren't new ones.

17 MR. BRIGHTBILL: EPA doesn't think so.

18 JUDGE MILLETT: They're all competing, and as we
19 all said earlier, they tend to come out low on the, low on
20 the grid competition. It's just more expensive. Getting
21 coal is more expensive than getting a beam of sun or natural
22 gas, which is in abundance. Right? They're just always
23 coming out on the bottom of that. And anything you install,
24 money is money. It's going to cost more. It's going to be,
25 our bids are going to have to go up a penny, two pennies, in

1 a system like this that might make all the difference,
2 right?

3 MR. BRIGHTBILL: Your Honor, EPA's technical
4 assessment, ultimately, after going through the heat rate
5 technologies and the data that was collected and comments
6 was that ultimately there will be heat rate improvements
7 that will be able to be made that across the entirety of the
8 plate will achieve emissions reduction. It is the case that
9 with respect to particular facilities that states review, in
10 light of remaining useful life, which Congress directed them
11 to be able to account for. When setting that standard of
12 performance and other factors --

13 JUDGE PILLARD: No, they directed the states to
14 account for that in determining how the standard applies,
15 not EPA in setting the standard. That's pretty clear.

16 JUDGE MILLETT: Right.

17 MR. BRIGHTBILL: Yes, that's exactly right, Your
18 Honor. And the state --

19 JUDGE PILLARD: But under your, the ACE rule, I
20 realize you're the Department of Justice, not EPA, but under
21 the rule that you're defending, the reference in 60.24a,
22 sub-(c) to federal guidance that requires standards of
23 performance on the part of facilities to be no less
24 stringent than the corresponding emission guidelines
25 specified in Table 1. So that's the floor, right? That's

1 the stringency requirement, is that it has to be at least as
2 stringent as Table 1.

3 MR. BRIGHTBILL: They have to use that as their
4 emission guidelines. They cannot use anything less than
5 Table 1 --

6 JUDGE PILLARD: Right.

7 MR. BRIGHTBILL: -- to start their analysis.

8 JUDGE PILLARD: But, and this is just summing up,
9 I think, what your interaction with Judge Millett has sort
10 of walked through, it's up to the plant and the state to
11 decide which of the heat rate improvements in the suite of
12 BSER --

13 JUDGE MILLETT: If any.

14 JUDGE PILLARD: -- the facility is going to take
15 on. And so the state and the facility are going to make
16 those determinations. And if they decide none of them, then
17 there's no stringency requirement.

18 MR. BRIGHTBILL: No. If they decide that there's
19 none of them --

20 JUDGE PILLARD: Yes.

21 MR. BRIGHTBILL: -- it may be that Congress's
22 purpose in 111(d) is fulfilled.

23 JUDGE PILLARD: Exactly. That's your position.
24 That's right. Congress's purpose is fulfilled because
25 they've looked at the remaining useful life, and they've

1 thought about the possible emissions control, and they've
2 said it's nothing, nothing to gain here.

3 MR. BRIGHTBILL: They'll say nothing to gain here.
4 Now, that'll be subject to judicial review whether, when EPA
5 determines that a state plan is satisfactory or not
6 satisfactory, when it approves or disapproves the plan,
7 that'll be subject to judicial review in the regional
8 circuit in which the Administrator takes that action to
9 either approve or disapprove the plan. So, if that action
10 has been arbitrary and capricious, the state has basically
11 just kind of waved their hands and winked and nodded --

12 JUDGE MILLETT: No, that's not the hypotheticals
13 we're giving you. The hypotheticals are not. That it's
14 true, that they send in this documentation about age and
15 financial condition and competitiveness. So don't say wave
16 your hand. That's not the hypothetical here. That's too
17 easy. I'm trying to figure out now how it's going to be
18 arbitrary and capricious --

19 MR. BRIGHTBILL: I think that --

20 JUDGE MILLETT: -- under this table on judicial
21 review.

22 MR. BRIGHTBILL: So, you know, it will not be
23 arbitrary and capricious if they ultimately conclude because
24 of the remaining useful life and other factors, after
25 reviewing the heat rate improvements, that there are no,

1 there are no HRI technologies that for a particular source
2 can be applied appropriately. They will have to justify
3 that. If they have justified it, then the result may be
4 that for that particular source there is not, there aren't
5 additional technologies that can be --

6 JUDGE PILLARD: And Mr. Brightbill, under Judge
7 Millett's hypothetical, she was saying that the
8 justification is we are, this is an old plant. It's a coal
9 plant. The competition out here is fierce. We are going to
10 be not able to provide any power in a system that runs on
11 least cost dispatch if we take on even one of these methods,
12 so we're not going to do it. And you're the state regulator
13 in West Virginia. And you're going to say, you know what?
14 You're right. And then that plant comes to EPA and/or the
15 court. What is the standard that we use to evaluate whether
16 that is arbitrary and capricious or whether that meets the
17 minimum level of stringency of the corresponding mission
18 guideline?

19 MR. BRIGHTBILL: It would be an arbitrary and
20 capricious standard of review at that point. That's --

21 JUDGE PILLARD: How do we tell? What are we
22 comparing it to?

23 MR. BRIGHTBILL: You're comparing, first of all,
24 Table 1, the expected heat rate improvements to the
25 explanation and the justification for whether or not there

1 is a reason to depart downward from those heat rate
2 improvements at that particular plant, Your Honor. It's
3 very similar to --

4 JUDGE PILLARD: What does remaining useful life
5 mean? Like, the concept that that's trying to capture, is
6 that like we're not going to upgrade because we're going to
7 retire this thing in two years anyway, or, is that what it
8 means?

9 MR. BRIGHTBILL: It means a variety of what I will
10 call related factors, Your Honor, but certainly cost is a
11 major part of that when you look at what the Congress itself
12 said, which is considering other factors, including
13 remaining useful life. And so it would be, you know, any of
14 a whole breadth of unbounded, right? I mean, that's, other
15 factors is a highly discretionary grant of authority to
16 states, Your Honor. So other factors, not completely
17 unbounded other factors because it's being used in the
18 context of remaining useful life.

19 But clearly, that is a very broad discretionary
20 grant of authority to states, Your Honor. I would agree
21 with that. And that was Congress's policy choice.

22 Now, with respect --

23 JUDGE MILLETT: What's the average age, what would
24 you say the average age of coal plants is in this country?

25 MR. BRIGHTBILL: I do not know the answer to that

1 factual question, Your Honor. We could submit --

2 JUDGE MILLETT: Wouldn't that have been important
3 to know in setting up a scheme like this?

4 MR. BRIGHTBILL: I'm sure that EPA does know that.
5 Off the top of my head, I apologize, Your Honor, I don't
6 know the answer to that particular factual question. So
7 with respect --

8 JUDGE PILLARD: Let me just ask you, Mr.
9 Brightbill. If a plant had been sort of, you know,
10 scheduled to last or amortized to last for 40 years, and if
11 it, you know, it's still going along, and they're expensive,
12 and so you want to get everything you can out of it, and
13 it's in the fiftieth year, then how does one project
14 remaining useful life in that situation?

15 MR. BRIGHTBILL: And to make sure I understand
16 your hypothetical, Your Honor, you're suggesting that the
17 plant is about to shut down. It's 50 years in, or it's --

18 JUDGE PILLARD: It's 50 years in, but it's
19 actually running fine. But sort of as a matter of
20 amortization, it was only intended to last 40 years. So
21 it's, you know, it's gravy now.

22 MR. BRIGHTBILL: Right. I think it would be an
23 engineering, technical judgment ultimately by those who are
24 reviewing that plant, by the states acting pursuant to the
25 broad discretion that the Congress has granted them. So, I

1 think it's, as you say, if it's all running along fine, it
2 probably has a more extended remaining useful life
3 ultimately, Your Honor. So --

4 JUDGE MILLETT: I would like, you said EPA knows
5 what the average age of coal plants is in this country. If
6 you could send in a letter after argument, that would be
7 helpful.

8 MR. BRIGHTBILL: I'd be happy to do that, Your
9 Honor. Yes, I'd be happy to do that.

10 JUDGE MILLETT: All right. And we are, we're
11 really getting up on our time limit here again. I can't
12 remember if you, you had a list of, did I hear you said 15
13 points?

14 MR. BRIGHTBILL: Yes, so let me --

15 JUDGE MILLETT: Are you close to 15? What number
16 are you on?

17 MR. BRIGHTBILL: I was up to six at that point,
18 Your Honor. And in particular, because Judge --

19 JUDGE MILLETT: You're going to have to do some
20 triage here.

21 MR. BRIGHTBILL: I think that I will. I can take
22 the hint, Your Honor. But one of the things that, there was
23 a reference to was, by Mr. Donahue, was the statutory
24 authorization for generation shifting. And one of the
25 things I wanted to be clear about is that where Congress has

1 authorized generation shifting, including in the SIP
2 provisions, Section 110 provisions, there is specific
3 language in the statute that has authorized that. So 42
4 U.S.C. 7410(a)(2)(A) talks of techniques, including
5 marketable permits and auctions of emissions rights in the
6 acid rain cap and trade program. Section 7651, Congress
7 specifically provided for alternate methods of compliance
8 provided by an emission allocation and transfer system, Your
9 Honor.

10 JUDGE MILLETT: Is trading a technique for
11 obtaining, I'm not wanting to give the clean power plant
12 argument about where you have to do that, but is it a
13 mechanism for obtaining emission reduction?

14 MR. BRIGHTBILL: No, Your Honor, not under
15 111(d)(1).

16 JUDGE MILLETT: I'm just asking you is it a
17 mechanism for reducing emissions.

18 MR. BRIGHTBILL: It has been --

19 JUDGE MILLETT: In the real world.

20 MR. BRIGHTBILL: It has been utilized as a
21 compliance mechanism, Your Honor, yes.

22 JUDGE MILLETT: Well, it can't be utilized as a
23 compliance one if it doesn't actually accomplish emission
24 reductions. Is that --

25 MR. BRIGHTBILL: EPA claimed in the Clean Power

1 Plan that it was, it could be used --

2 JUDGE MILLETT: I'm not asking for claims. I'm
3 asking what your position. I'm just asking you, is trading
4 part of the market system that has accomplished the
5 reduction in emissions in this country?

6 MR. BRIGHTBILL: My position on that, and EPA's
7 position on that, Your Honor, is show us the statute.
8 Right? If the statute has authorized --

9 JUDGE MILLETT: I'm not asking statutory
10 authority. I'm asking an empirical question here. All
11 right? And you all say, and this is how you all, you know,
12 you say your system plus these market forces make the delta
13 between you and the Clean Power Plan not all that big. Is
14 part of that market force system that you're referring to,
15 does that include trading or credit systems?

16 MR. BRIGHTBILL: If Congress has provided for it
17 in the statute --

18 JUDGE MILLETT: I'm not asking what Congress did.
19 I'm asking you empirically in the world of market systems,
20 not the congressional system. What they're doing out there
21 on their own because there hasn't been a system in place.
22 Is that part of the market forces that have gotten levels
23 down faster than the Clean Power Plan?

24 MR. BRIGHTBILL: If you use as your baseline the
25 grid, and generation shifting is a system that can achieve

1 market or emissions reductions for the grid in a kind of
2 empirical, generalized sense, Your Honor, yes. It is not a
3 continuous system of reducing --

4 JUDGE MILLETT: When you say generation shifting,
5 are you including with that trading systems?

6 MR. BRIGHTBILL: Yes, I was, Your Honor.

7 JUDGE MILLETT: Okay.

8 MR. BRIGHTBILL: Yes. As an empirical, top level.
9 But it is not actually a system that is applied at a source,
10 Your Honor. I mean, one of the things --

11 JUDGE MILLETT: (Indiscernible) I don't want to go
12 back to go back to the Clean Power Plan argument. I
13 understand your argument in that regard. But I'm just,
14 you're talking about statutory authorization, and so it
15 certainly could be part of the system. You just say it's
16 not a system, you don't dispute that it could be part of a
17 system for emission reduction. It's just not a, in a, not
18 looking at the statutory language, that it is descriptively
19 in part of a system for emission reduction. It's just not,
20 I think, under your argument, a system for emission
21 reduction that's applied at a particular facility. Is that
22 where we are?

23 MR. BRIGHTBILL: That's right.

24 JUDGE MILLETT: Okay.

25 MR. BRIGHTBILL: It's not applied at a particular

1 authority --

2 JUDGE MILLETT: But you don't dispute that it's
3 part of, it could be part of a system for emission
4 reduction. It just, in your mind, that's not the language
5 problem. The language problem is it has to be putting
6 111(d), 111(a) and 111(d) together, it has to be at a
7 facility.

8 MR. BRIGHTBILL: Right. EPA identifies a BSER for
9 a source. It does not identify BSERs for owners of sources,
10 Your Honor. And there's a conflation of two steps there,
11 Your Honor.

12 JUDGE MILLETT: No, no, no. I want --

13 JUDGE WALKER: We've got it. We've got that.

14 JUDGE MILLETT: Yes, we've got that, I think.

15 MR. BRIGHTBILL: Okay, all right.

16 JUDGE WALKER: Let me ask a follow-up to Judge
17 Millett's question. There are 10 states, I think, that have
18 done some version of cap and trade generation shifting.
19 There's the northeastern ones and there's California. Is it
20 true that there are fewer, there is less carbon being
21 released into the air from existing power plants because
22 those 10 states are doing cap and trade or some version of
23 cap and trade?

24 MR. BRIGHTBILL: I believe that, I don't know the
25 specific answer to that question, Judge Walker. I believe

1 that in the Clean Power Plan preamble, that is addressed at
2 some point. And I believe that the answer to that is yes.
3 But that's a factual detail relating back to findings that,
4 again, you know, we could follow up and provide the answer
5 to that question.

6 JUDGE MILLETT: So, I'm sorry, just to be clear,
7 was it yes, they have resulted in emission reductions?

8 MR. BRIGHTBILL: I believe that the answer to that
9 is yes, Your Honor. But --

10 JUDGE MILLETT: It's kind of undeniable.

11 MR. BRIGHTBILL: -- relative to a baseline of if
12 there was not a regional group in New England, I believe the
13 answer to that would be yes. That was one of the things
14 that EPA concluded in the Clean Power Plan. But, you know,
15 off the top of my head, I don't know the answer to that.

16 JUDGE WALKER: Under your approach, everything
17 that you said, you were talking with Judge Millett about
18 that the coal company says this, the state says this. The
19 state says, okay, you have to do this, you don't have to do
20 that. It goes to the EPA. The EPA has to approve that or
21 not approve that state plan. And it would be arbitrary and
22 capricious for the state to make the wrong call on that
23 based on, I think, and here's my question for you, all of
24 these factors I'm about to list, and you tell me if I'm
25 missing any. EPA would have to consider the benefits of

1 reduced air pollution, the benefits of improved health, the
2 benefits of improved non-air environmental impact, the costs
3 of possibly reduced energy, and the costs of possibly more
4 expensive energy.

5 Now, is that list over-inclusive, under-inclusive
6 when it comes to figuring out whether the EPA's approval or
7 disapproval of a state plan is arbitrary and capricious?

8 MR. BRIGHTBILL: So I'd have to go through each of
9 the factors step-by-step, Your Honor, but I believe that
10 ultimately your list would be over-inclusive in all
11 likelihood in what would be required of states, but that
12 your list includes factors, I believe. You'd have to go
13 through them again with me one-by-one but are certainly
14 factors that states could account for within the broad
15 breadth of their discretion.

16 JUDGE WALKER: Well, there's five, and I know
17 we're late, but I think this is important. The state comes
18 to EPA with a plan, and EPA chooses not to consider the
19 increased cost of energy that would result from that plan.
20 The EPA chooses not to consider that factor. Arbitrary and
21 capricious or not arbitrary and capricious?

22 MR. BRIGHTBILL: In the context of the state plan,
23 Your Honor?

24 JUDGE WALKER: Yes.

25 MR. BRIGHTBILL: So the increased cost of energy

1 is definitely a factor in the BSER consideration, Your
2 Honor.

3 JUDGE WALKER: Okay, what about --

4 MR. BRIGHTBILL: (Indiscernible) regulations here,
5 Your Honor.

6 JUDGE WALKER: I mean, because it's not like
7 highly technical factors I'm giving, I don't think.

8 MR. BRIGHTBILL: Well --

9 JUDGE WALKER: I think I understood you to just
10 say EPA would have to consider the increased cost of energy
11 from the plan. EPA would have to consider the possibility
12 that the plan will reduce the amount of energy available.
13 Do you agree with that?

14 MR. BRIGHTBILL: Would have to increase the
15 amount, reduce --

16 JUDGE WALKER: The state says part of this plan
17 means doing something expensive, and so as a result, some
18 companies are going to not be able to do it, and so they're
19 going to shut down. Now there's less energy produced. That
20 would be a factor the EPA would consider, right, in
21 approving or disapproving the plan. It's in the statute,
22 it's --

23 MR. BRIGHTBILL: No, I don't think so, Your Honor,
24 because ultimately what we're --

25 JUDGE WALKER: In terms of the best system, the

1 best system takes into account, quote, the cost of achieving
2 such reduction, and any non-air quality health and
3 environmental impact --

4 MR. BRIGHTBILL: Okay.

5 JUDGE WALKER: -- and energy requirements.

6 MR. BRIGHTBILL: I see. I see, Your Honor. So
7 what you're, you're looking at the factors that EPA itself
8 considered when establishing and identifying the best system
9 of emissions reduction in the first instance. That is
10 distinct, then, from the factors that the state considers.

11 JUDGE WALKER: Okay. So, that's helpful. I get
12 it. I get it. And that is very helpful to know, and I was
13 confused on that.

14 I think now I'm even more worried, though, and I'm
15 going to leave it at this, because at first I thought we
16 were going to do arbitrary and capricious review based on
17 five factors, which seems to me mushy. And now you're
18 telling me, we don't even know exactly what the factors are.
19 I don't even know that those are the five factors.

20 And so I guess what's going to happen is, EPA's
21 going to approve or disapprove a state plan, and it's going
22 to be, the EPA is going to have enormous discretion to do
23 that, which is a lot of power for this Court to vest in the
24 EPA to just say thumbs up or thumbs down kind of because we
25 woke up on one side of the bed that day. And then it's

1 going to get sued. It's going to get taken to court, as it
2 always does, and the court is going to be highly deferential
3 to the EPA. And so, and we're not even going to exactly
4 know as a court what standard we're supposed to apply in
5 terms of figuring out what's arbitrary and what's not, so
6 we're not going to be able to say it was arbitrary.

7 This is, I mean, I think this is a, maybe this
8 approach is defensible, but I haven't, I haven't heard the
9 defense.

10 MR. BRIGHTBILL: So, Your Honor, I'm just looking
11 here because the EPA did address this in the preamble to the
12 ACE rule. And I am going to get you the citation so that
13 you can look at this. It's Joint Appendix 39 here,
14 according to my notes, provides the -- sorry. We've got a
15 lot of paper moving around along, in all of this, Your
16 Honor. And, but in Joint Appendix 39, I have every other
17 appendix here except for that first one, that's -- oh, here
18 it is. Okay.

19 So if you look on Joint Appendix 39, EPA
20 describes, column 3, how they're going to analyze these
21 plans. To the extent that state plans consider an existing
22 source's remaining useful life --

23 JUDGE MILLETT: Are you quoting now? I'm sorry.
24 If you could tell me, if you're quoting now, if you could
25 give me --

1 MR. BRIGHTBILL: Sure.

2 JUDGE MILLETT: My eyes can't go down column 3
3 that fast. Are you in the second paragraph, the third
4 paragraph, the beginning?

5 MR. BRIGHTBILL: Column 3, Your Honor.

6 JUDGE MILLETT: Which paragraph in column 3?

7 MR. BRIGHTBILL: It starts about halfway down the
8 page, to the extent. So Joint Appendix 39 --

9 JUDGE MILLETT: Got it, okay.

10 MR. BRIGHTBILL: Okay.

11 JUDGE MILLETT: In the paragraph starting the
12 second. Okay.

13 MR. BRIGHTBILL: Yes. To the extent that state
14 plans consider an existing source's remaining useful life in
15 establishing a standard of performance for that source, the
16 state plan must specify the exact date by which the source's
17 remaining useful life will be zero. In other words, the
18 state must establish a standard of performance that
19 specifies the designated facility will retire by a future
20 date certain, i.e., the date by which the EGU will no longer
21 supply electricity to the grid. It is important to note
22 that as with all aspects of a state plan, the standard of
23 performance and associated retirement date will be federally
24 enforceable upon approval by the EPA. In the event --

25 JUDGE MILLETT: That's interesting, but it doesn't

1 deal with my hypothetical, which is not its useful life.
2 It's like really old, really living on the financial edge,
3 very hard to compete. And because we're really old, any
4 upgrade's going to be expensive.

5 MR. BRIGHTBILL: Well, Your Honor, I think it does
6 in the following sense.

7 JUDGE MILLETT: Now, this is a very precise thing
8 about one factor, and that is useful life. And I am giving
9 you a combination of concerns that a state could rightfully
10 note about its coal plants. And so, yes, okay, so you've
11 got like a deadline. If all they're relying on is useful
12 life, you're putting a deadline in. But that's, I don't
13 think that's --

14 MR. BRIGHTBILL: Well, both --

15 JUDGE MILLETT: Now, I looked over this stuff, and
16 it made me even more nervous that there's no there there on
17 how someone's supposed to review if a state were to come, if
18 the EPA approved a state plan that said what my hypothetical
19 did.

20 MR. BRIGHTBILL: Well, Your Honor, I guess what I
21 would ask you to do is take a look at starting that whole
22 section under submission of state plans.

23 JUDGE MILLETT: No, I'm telling, that's what I'm
24 just telling you. It didn't help. That's what I'm trying
25 to tell you. I'm looking at more than just that line, those

1 lines that you're telling me about a narrow topic. I'm
2 telling you, it didn't give me, and if I didn't understand
3 some of the language or missed it, and it could be I missed
4 it. We've done a lot of reading in the last few weeks. I
5 just don't see where the standard is for finding, reviewing
6 the APA's -- excuse me. We do that statute a lot too. The
7 EPA's approval of a state plan that says this power plant
8 can't do anything on the grounds that I have recited to you.

9 MR. BRIGHTBILL: So I guess what I would say to
10 you, Your Honor, is this whole Section 3, submission of
11 state plans, and starting, there's a setoff, a set-up
12 paragraph in column 2, and the continuing from there,
13 generally the plans by the states must be adequately
14 documented. And just continuing down through this entire
15 section, Your Honor, provides EPA's analysis of how it's
16 going to go about reviewing state plans that are submitted.

17 JUDGE MILLETT: That's all about providing
18 documentation, which my hypothetical did. I mean, it may
19 just be, as I think you said at one point, maybe in response
20 to Judge Walker, that if a state comes in and says what I
21 proposed as to its coal plant, and it gives you the
22 documentation, then EPA's going to sign off, and there's not
23 anything arbitrary and capricious about that.

24 MR. BRIGHTBILL: I don't think I've said that your
25 hypothetical, in fact, I think my answer to your question

1 was, Your Honor, that as to your specific hypothetical, that
2 I wouldn't be able to answer how EPA would weigh those
3 factors and all those things together --

4 JUDGE MILLETT: My question is does this rule
5 provide. I mean, if you're telling me you can't answer it
6 because the rule doesn't address it, and so nobody knows,
7 and there is no standard in this rule, then that's your
8 answer.

9 MR. BRIGHTBILL: No. What my answer is, is to the
10 extent that the economics are playing in and weighing, there
11 being, they'll be analyzed consistent with what's described
12 at length here across the various columns of Joint Appendix
13 39 and 40, Your Honor. And as to the remaining useful life
14 element that you noted as if there is an adjustment that's
15 made, a variance that's authorized. Or a consideration. I
16 shouldn't say variance. It's not a variance. It's a
17 setting of the standard.

18 But if the standard is set at a point in
19 consideration of remaining useful life, it'll become a
20 binding determination under federal law that they will be
21 committed to ultimately if they --

22 JUDGE MILLETT: Okay, all right.

23 MR. BRIGHTBILL: -- want to use remaining useful
24 life to secure an adjustment.

25 JUDGE MILLETT: Okay. All right. Are there more

1 questions from my colleagues?

2 JUDGE PILLARD: So just going, so that I absorb
3 what you've just been talking about. It's basically a
4 procedural requirement in terms of documentation and
5 explanation.

6 MR. BRIGHTBILL: Yes but anchored by the
7 substantive requirements of Table 1 in that they must
8 document and explain substantively why they're burying from
9 that and letting something occur that is contrary to what
10 those expected heat rates. So that is substantive.

11 JUDGE PILLARD: Or just not using them.

12 MR. BRIGHTBILL: No, they have to use them. They
13 have to --

14 JUDGE PILLARD: Right, they don't have to use
15 them.

16 MR. BRIGHTBILL: Well, they have to use them as
17 the anchor, as the baseline from which --

18 JUDGE PILLARD: No, no. But, I mean, they don't
19 have to use the heat rate improvements. They don't have to
20 adopt them if they can explain why they're not.

21 MR. BRIGHTBILL: That's right, Your Honor. They
22 don't --

23 JUDGE PILLARD: You said if HRIs are not feasible
24 to apply, states must provide a rationale.

25 MR. BRIGHTBILL: That's right, Your Honor.

1 JUDGE PILLARD: Yes.

2 MR. BRIGHTBILL: So they don't have to apply them
3 per se, but they are an anchor from which, a substantive
4 anchor from which the analysis must then justify departure,
5 Your Honor if the state wants to have its plan reviewed and
6 approved as satisfactory.

7 I know there is a lot of questions about co-
8 firing, and I didn't know if folks wanted to have those kind
9 of record-based questions addressed with respect to co-
10 firing because ultimately, EPA determined not to do co-
11 firing because it failed the gating requirement of the BSER
12 in that it was not determined to be adequately available on
13 a consistent, nationwide basis. So there was some questions
14 about --

15 JUDGE PILLARD: Yes, that's (indiscernible)
16 because the very decision to include a kind of table of
17 options rather than uniform heat rate improvements that were
18 expected of all facilities, it seems like you've already
19 accounted for the notion that some of these things might not
20 be widely available. So, and then the question arises, why
21 not add to that list the co-firing and the partial carbon
22 capture rather than just put them off the table?

23 MR. BRIGHTBILL: And the answer is that it doesn't
24 fit with heat rate improvements because, as they explain
25 that the co-firing is actually, it increases heat rate. It

1 doesn't decrease it, so it's not --

2 JUDGE MILLETT: Well, but wait. There's nothing
3 sacrosanct about heat reduction. It's supposed to be
4 emission reduction. And you want emission reductions
5 whether at the facility. And it turns out the heat ones
6 are. But as it turns out, so is co-firing. Now, if I've
7 misunderstood your rule, if your rule was even if there are
8 other available technologies at the facility, that at least
9 35 percent of the plants in this country -- I'm just
10 throwing that out. I don't want to get into the details. I
11 know there's some question about that. But my hypothetical
12 is 35 percent of the plants in this country could meet. You
13 go, we don't care; we care only about heat reduction?

14 MR. BRIGHTBILL: No. Co-firing was not accepted
15 as a BSER because it fails to meet the availability criteria
16 and be a representative technology consistent with the prior
17 D.C. Circuit case law that can be generally applied to like
18 sources in the category across the country.

19 JUDGE MILLETT: What case is it that says
20 generally, broadly applicable? Can you tell me again?

21 MR. BRIGHTBILL: So, Portland Cement at 486 F.2d
22 at 330 talks about it being a national standard with long-
23 term effects. National Lime Association, 527 F.2d 432 to 33
24 looks at that, considering the representative for the
25 industry as a whole of the tested plants on which it relies.

1 And EPA then laid out its interpretation, including this,
2 these case law in addition to the statutory provisions at
3 Joint Appendix page 15 and Joint Appendix page 16 to
4 ultimately culminate in the interpretation of BSER that they
5 applied of adequately demonstrated and broadly achievable
6 for a source category across the country.

7 And so applying that standard, again, a Chevron
8 step 2 interpretation of the statute to the various facts
9 that relate to the candidates, so co-firing for one, EPA
10 determined that co-firing actually washed out for a number
11 of reasons. One was insufficient nationwide availability,
12 another was the cost of co-firing, another was the
13 environmental --

14 JUDGE MILLETT: Okay, that's okay. I thought your
15 first response to me was, well, that doesn't address heat.

16 MR. BRIGHTBILL: Yes, I was responding directly to
17 your question about why not put it on a list with heat rate
18 improvements, Your Honor, and --

19 JUDGE MILLETT: A list of options to reduce of
20 emissions with the heat ones, yes.

21 MR. BRIGHTBILL: Right. And because it's not a,
22 it is actually a heat rate increasing, not a heat rate
23 reducing. So, in terms of subcategorizing or including that
24 on the list, technologically, the EPA finding was it doesn't
25 fit on the list. It doesn't belong on a list with those

1 other things. And again, that's a technical judgment --

2 JUDGE MILLETT: But if you re-caption that list
3 emission reduction technology applicable at the facility,
4 and you listed your heat stuff, and then you added co-
5 firing, that would take care of that problem.

6 MR. BRIGHTBILL: Yes. EPA's finding after review
7 of the facts and the technologies available, they said you
8 can't add co-firing on a list of generally applicable,
9 generally available technologies for sources in the category
10 because of, again, the cost, because it is not generally
11 available. Their evidence reflected that about --

12 JUDGE MILLETT: You mean generally available, like
13 the technology's available, or just generally affordable,
14 like reasonably implemented?

15 MR. BRIGHTBILL: Yes, exactly, reasonably
16 implemented, generally available in the --

17 JUDGE MILLETT: Then why is the blade path there,
18 which you said no one's going to do?

19 MR. BRIGHTBILL: The finding wasn't that nobody
20 was necessarily going to do it because, but in part, that
21 was, that is because of the legal requirements of new source
22 review, Your Honor. But it is a generally-available --

23 JUDGE MILLETT: What is that answer to me? So the
24 answer is people will do it? Because I had thought you said
25 people won't do it.

1 MR. BRIGHTBILL: It is anticipated, given the
2 current legal regime, that it is unlikely that people will
3 do the blade --

4 JUDGE MILLETT: Well, why is it there on a list of
5 things that have to be broadly available, and you said
6 available means reasonably likely to be implemented --

7 MR. BRIGHTBILL: Well --

8 JUDGE MILLETT: Reasonably implementable.

9 MR. BRIGHTBILL: No, no, no. What I said was that
10 it's, it's that it's reasonably available for implementation
11 across the source category. So they could do it.
12 Technologically, it is, but to the extent they don't do it
13 and won't do it is because of the legal requirements that
14 would come along with new source review in order to
15 implement that, Your Honor. But with respect to the co-
16 firing, again, it washed out on, you know, four different
17 considerations.

18 JUDGE MILLETT: Okay. Yes, I think --

19 MR. BRIGHTBILL: Energy requirements,
20 environmental, the waste of the gases, the inefficient use
21 of gas in the co-firing as opposed to in a burner that is
22 actually designed to efficiently make use of --

23 JUDGE MILLETT: Okay. I think we have those
24 arguments. I think we have those arguments from the brief.
25 Are there any other questions from my colleagues? We gave

1 you plenty of extra, equal time Mr. Brightbill.

2 JUDGE PILLARD: I have one question, which is do
3 you have any view on what we could or should or couldn't
4 decide of these issues if we rejected your statutory
5 argument under the first section of argument?

6 MR. BRIGHTBILL: You mean under the Clean Power
7 Plan?

8 JUDGE PILLARD: Yes.

9 MR. BRIGHTBILL: If you determine that the --

10 JUDGE PILLARD: Yes.

11 MR. BRIGHTBILL: So at that point, the appropriate
12 remedy would be to remand back to the agency to consider
13 what its policy choice would be in light of the
14 determination that generation shifting is an acceptable
15 BSER. One of the things I would want to emphasize, of
16 course, is that the ACE rule is one that has begun to be
17 implemented by states. The Clean Power Plan, of course, was
18 based on data and findings that are now five years old, Your
19 Honor, and was specifically put in place with a long,
20 multiyear timeline as to implementation. I called it, you
21 know, it was eight years, you know, from --

22 JUDGE PILLARD: So if this rule were to be vacated
23 and we remanded to the agency, there's nothing, there's no
24 regulation in place?

25 MR. BRIGHTBILL: No. I think that, what I would

1 suggest, Your Honor, is based on what I've heard during the
2 argument is a lot of the questions have come in the context
3 of why more stuff isn't on the list. And so there would be
4 no reason to vacate the rule simply because the panel and
5 the Court is of the view that there may be more things that
6 can be done that EPA should go back and look at. The rule
7 can remain in place, and EPA can begin to implement what's
8 there now without vacating the rule in total, Your Honor.

9 JUDGE MILLETT: I just want to follow up. You
10 said states are beginning to comply? I thought they had
11 three years. What are the states doing? Has anyone
12 submitted a plan to you yet?

13 MR. BRIGHTBILL: I don't believe I said the word
14 comply. I believe I said the word implement. And --

15 JUDGE MILLETT: Okay. Who's doing the
16 implementing, and what are they doing?

17 MR. BRIGHTBILL: So I know that EPA has been doing
18 workshops with the states, with the state environmental
19 agencies where they have been talking about the formulation
20 of the plans --

21 JUDGE MILLETT: Oh, so this is informational steps
22 is all that's been going on so far.

23 MR. BRIGHTBILL: That is my understanding, Your
24 Honor, and my understanding is that states are getting to
25 the point where they're preparing to submit plans --

1 JUDGE MILLETT: Okay. Maybe Ms. See will be able
2 to address that for us on behalf of the states, so. So
3 that's helpful for you to explain that.

4 MR. BRIGHTBILL: Okay.

5 JUDGE MILLETT: I'm sorry --

6 MR. BRIGHTBILL: Any more questions on remedy,
7 Your Honor?

8 JUDGE MILLETT: I'm sorry to cut you off. We're
9 just really, we really need to move on. Some people have
10 been waiting all day to speak even once yet, so.

11 MR. BRIGHTBILL: I appreciate that, Your Honor. I
12 appreciate your patience, all of you, today. This has
13 obviously been going a really long time. I just want to
14 make sure I answer any questions that you have on your
15 issues before --

16 JUDGE MILLETT: I'm grateful for that. We're
17 wearing you all down, I'm afraid, so thank you. We done?
18 All right.

19 Ms. See, welcome back.

20 ORAL ARGUMENT OF LINDSAY SEE, ESQ.

21 ON BEHALF OF THE INTERVENORS

22 MS. SEE: Thank you, Your Honor. It's good to be
23 back. But I will answer the question, Judge Millett, that
24 you ended with about my understanding of where at least our
25 state plans are. My understanding is no state has submitted

1 a plan to EPA at this point, but I know that our state
2 agencies and regulators are working very hard on that and
3 are close to a point, that they're far beyond the mere
4 informational stage and close to the point where they can
5 submit --

6 JUDGE MILLETT: All right. So if the plans aren't
7 due for probably, I've lost track of the exact date, but
8 maybe two more years, I don't, I'm sure this plan process
9 isn't something you whip up in a week or anything. But sort
10 of how far in advance of deadlines do plans usually get
11 submitted? Like, when would you anticipate plans being
12 submitted?

13 MS. SEE: I don't have the information to say when
14 a plan for every power plant in West Virginia will be
15 submitted. But I know because this is a detailed
16 undertaking --

17 JUDGE MILLETT: Well, you're going to do a plan
18 for each? You're not going to do a state plan for each
19 plant?

20 MS. SEE: I can't make any specific
21 representations about what our state's environmental agency
22 will do, but I know some of the discussions have been at the
23 level of looking at specific plans. Because, again, that's
24 what 111(d) tasks --

25 JUDGE MILLETT: Well, I guess you can do that.

1 But I thought the state would put it all together in one
2 plan that goes to the EPA. Otherwise, the EPA is sort of
3 piecemealing this and doesn't get a big picture of what the
4 state's doing until at least halfway through that process.

5 MS. SEE: EPA has certain accepted both options.
6 It would be the discretion of the agency to decide how to
7 respond if a state were to have a partial plan like this.

8 JUDGE MILLETT: EPA has in the past accepted just
9 plant-by-plant plans?

10 MS. SEE: There have been partial plans under
11 other portions of the Clean Air Act, and there would be
12 similar provisions here. But again --

13 JUDGE MILLETT: What's a partial plan?

14 MS. SEE: It would be what the situation we're
15 talking about here, a plan that sets the standard of
16 performance for some but not all of the units in the
17 particular state. And so, again, I'm not able to speak to
18 the specifics of where a state agency is, but what I can say
19 is because this is a detailed and data-specific process, it
20 is well underway. I am not suggesting that there will be a
21 plan for every unit in the state (indiscernible). So
22 certainly this is a plan that the state takes seriously and
23 collects data from all of the sources and interested
24 parties. And this is a process that takes time.

25 JUDGE MILLETT: Do you know the average age of

1 coal plants in West Virginia?

2 MS. SEE: I can't tell you West Virginia
3 specifically. I know, and I don't know if we have that in
4 the record, but my colleague did look up for us during the
5 argument then the average age nationally for coal plants is
6 39 years. So --

7 JUDGE MILLETT: Is how many?

8 MS. SEE: Thirty-nine.

9 JUDGE MILLETT: Thirty-nine. And what's the
10 average life? Is that -- I'm old enough now that 39 sounds
11 young. But I don't know what that, is that, is there an
12 average life range generally for coal plants? I'm sure it
13 depends on a thousand factors. I'm averaging.

14 MS. SEE: It does. It applies in a number of
15 factors. I don't have a number that I can give for an
16 average age. I know we're happy to work with EPA. I now
17 Your Honor requested supplemental briefing on that.

18 JUDGE MILLETT: Yes, that would be helpful.

19 MS. SEE: We're happy to help on that point.

20 JUDGE MILLETT: Okay.

21 MS. SEE: So --

22 JUDGE WALKER: Ms. See, let me ask about Mr.
23 Brightbill's discussion with the panel about what standards
24 the EPA is supposed to apply when it's deciding whether or
25 not to accept a state plan. He directed us to JA-39, and in

1 particular column 3. I can say in looking at that page, my
2 excellent law clerk has color coded that with about eight
3 different colors, and we have both dug into this, you know,
4 at least as deep as I'm able to. And I don't see a
5 standard. It talks about how the state plan must be
6 satisfactory, that's not a particularly intelligible
7 principle, to borrow a phrase from a different area of the
8 law. It's big on documentation, so that's good. I'm all
9 for documentation.

10 But assuming that the documentation is thorough,
11 and everything is honest, I mean, I don't know how the EPA
12 would, I don't know how we would know whether the EPA
13 arbitrarily accepted or denied the plan. And let me just
14 add, I think it's by design. I don't know that for sure,
15 but it seems like it's possible this, it is a standardless
16 standard by design. And you're defending it. West Virginia
17 is defending it.

18 And I see the short-term benefit of a standardless
19 standard. But I actually wonder, from West Virginia's
20 perspective and the perspective of the 21 total states that
21 are with you, if it's not actually very dangerous because,
22 sure, it's possible West Virginia could submit a plan that
23 is too lenient with regard to environmental regulation. And
24 because this is a standardless standard, it gets approved.
25 But West Virginia, you know, from West Virginia's

1 perspective, maybe so far so good.

2 But then maybe down the road, a different EPA,
3 West Virginia submits a perfectly legitimate plan that's not
4 too lenient about regulation, and the EPA denies that state
5 plan because they want something that's way, way, way more,
6 way, way, way stricter. And so then West Virginia goes to
7 the appellate court, and they petition, and they say the
8 rejection of our plan was arbitrary and capricious. And
9 that court is going to have no standard to apply, and it's
10 going to be bad news for West Virginia. Thoughts?

11 MS. SEE: Your Honor, respectfully, I do disagree
12 because I think it is a feature of the statute that gives a
13 role for EPA and a role for the states. This is not the
14 sort of statute that operates where EPA tells us what to do
15 and that we just check the box and send in a plan. It would
16 minimize the role that the state would have in that process.
17 But essentially, what the state does is it works hand-in-
18 hand with EPA, and that's the design of the Clean Air Act as
19 a whole and specifically at Section 111(d).

20 And I think when we look at the standards here,
21 it's important to distinguish the standards for when we as
22 the state exercise our authority to consider factors such as
23 remaining useful life and the standards to determine whether
24 we productively satisfy the BSER and have come up with an
25 achievable standard. When we look at --

1 JUDGE WALKER: Ms. See, tell me why this is wrong.
2 It seems like the standard that you, or the process you've
3 just articulated puts enormous, unchecked power in the hands
4 of EPA because now, under this standard, 11(d), and the
5 states' compliance with it means whatever the EPA says it
6 means.

7 MS. SEE: Well, that's not true, Your Honor,
8 because if we have a situation like that where a state
9 submits a plan and it's rejected out of hand, one of the
10 arguments that a state would likely make is this, EPA did
11 not allow the state to fill its statutory mandate to
12 consider remaining useful life and other factors.

13 Now, I will agree that the statute does not give a
14 precise list of what those factors are. But again, that --

15 JUDGE WALKER: What do you think are the statutory
16 factors? I understand you to have just said they are not
17 enumerated. Fair enough. But what do you glean from the
18 statute that you see as the factors that the statute would
19 require EPA to consider?

20 MS. SEE: Certainly what those other factors in
21 (d) have to refer to are other source-specific factors like
22 their location restriction, how recently they have made
23 other related upgrades, you know, their operational design
24 differences from others in the fleet. Those would be the
25 sort of source-specific things that can make applications

1 different in one circumstance or another.

2 But I think it's important to emphasize that this
3 concern about what these factors are and how to justify
4 remaining useful life, that's not just an issue with this
5 particular rule. That could be an issue that could come up
6 any time there's a state plan under any 111(d) standard --

7 JUDGE MILLETT: Well, except you have here a rule
8 that doesn't impose any emissions standard as a baseline.
9 And, in fact, seems to be a sort of here's some suggestions.
10 States, you go look at your plants and tell us what will
11 work. That's how it seems to me, that seems to me, I didn't
12 hear Mr. Brightbill say otherwise, unprecedented under the
13 statutory provision for the 111(a). And so we're starting
14 with here's some thoughts, how does it work on your end, as
15 sort of a standard from EPA, some thoughts and ideas, some
16 suggestions, two of which we already know you're not going
17 to want to do.

18 And then, a rule that doesn't respond to the
19 nature of what they've formulated as their emission
20 limitation when it puts this rule, by the nature of what
21 they've done under 111(a) puts even more weight, and it
22 seems designedly, when you look at how it's explained in the
23 brief, it deliberately shifts the weight of compliance to
24 this sort of generalized analysis under (d), and not even
25 under meeting a standard under (d), but under the age of the

1 plant and among other considerations. That seems to be
2 where all the action is going to be now under this rule. Am
3 I wrong about that?

4 MS. SEE: I don't know, Your Honor. I think that
5 there are other factors here. And first I would, I would
6 disagree that I think these are thoughts and suggestions.
7 And I know that that's the phrase used here. I agree with
8 Mr. Brightbill that there is more specific guidance, for the
9 state to look at seven specific technologies, they have to
10 look at presumptive application range --

11 JUDGE MILLETT: Well, they say potential
12 application. Potential application. And they want it all
13 to be, all the work to be done when the state goes plant by
14 plant and says what will work here.

15 MS. SEE: But to some --

16 JUDGE MILLETT: And we'll still allow that plant,
17 39-year-old plant to stay competitive. Because if it
18 doesn't stay competitive, I assume it's going under. And
19 that's going to affect energy production.

20 MS. SEE: Of course. But to some extent, that's
21 always the rule for the states under 111(d). The states
22 always have to have source-specific standards of
23 performance, and they always have to determine remaining
24 useful life and other factors like that. So that's always
25 going to be the result of a statute where Congress --

1 JUDGE MILLETT: Well, that's different if you
2 have, look, here's the standard. Here's a hard-and-fast
3 standard. Here's our best system, and here's the standard
4 it produces. States, figure out how to meet it. And then
5 you look at the rest of 111(d), and it's like an
6 exceptional, you know, if you meet an exception, it'll opt
7 out. And my understanding is that it was an exception.

8 And yet, now, under this rule, that's where all
9 the work is done. We're going to give you this range for
10 some potentially applicable technologies, but we don't even
11 think they're all applicable. And we want you to go plant-
12 by-plant and look at these things and tell us what works.
13 That is not the same system as you had, certainly the Clean
14 Power Plan. And it's my understanding you had under other,
15 the Clean Mercury Rule, and how this thing would apply.

16 MS. SEE: It is true that in other contexts, EPA
17 has given a more specific emissions limitation.

18 JUDGE MILLETT: So these all become exceptional.
19 These back-outs become exceptional, right?

20 MS. SEE: I would take issue with the term
21 exceptional, Your Honor. There may be circumstances in
22 states where source-specific factors may apply to many of
23 the sources. And again, that may turn on geography and age
24 of fleet. So, some states may be relying on these
25 exceptions of remaining useful life and source-specific

1 factors much more often than others. So I don't think
2 there's support --

3 JUDGE MILLETT: (Indiscernible) under other rules
4 that's been what's happened, that some state has been able
5 to come in and get a large percentage out?

6 MS. SEE: Well, we have very different rules.
7 Again, what we're dealing with is a circumstance where
8 111(d) has been used only a handful of times, so we don't
9 have --

10 JUDGE MILLETT: No. You know more about how it
11 worked in those times than I do.

12 MS. SEE: I don't have the specifics of for
13 instance the West Virginia plan under any of those rules, so
14 I can't say the percentage of times that states have
15 considered remaining useful life. But here, what we're
16 talking about, stationary sources and fossil fuel-fired
17 plants and others, some of these factors are going to be, it
18 could be more than just incidental because of some of these
19 factors we've been talking --

20 JUDGE MILLETT: No, that's exactly the point of
21 this rule, right? Yes. It's only --

22 MS. SEE: (Indiscernible.)

23 JUDGE MILLETT: It's only coal power plants, all
24 of which, my bet, and I didn't quite hear an answer, but my
25 bet is that 39 is not as young for coal power plants as it

1 is for people in my book. And so that's going to be where
2 all the action is, that and the other factors that --

3 MS. SEE: But that's a quarrel with Congress and
4 the terminology that Congress used.

5 JUDGE MILLETT: Well, that begs the question, I
6 know that begs the question of the design here, of whether
7 what Congress wrote here was there will be an emission
8 limit, a hard emission limit that will be there, and only if
9 you, you know, and that the exception is the opt-out. And
10 now it seems to be, the whole point is you have to sort of
11 prove your way into any obligations.

12 MS. SEE: Your Honor, that's not how I read the
13 statute. If we look at what (d)(1) says, it says EPA's
14 responsibility is to establish a procedure for states to
15 submit these plans, which, and then the plans for each
16 source set the standards of performance. So EPA has done
17 that here. It has set a procedure that has given guidelines
18 and guidance to the states when the states are setting a
19 standard of performance for each existing source. So --

20 JUDGE MILLETT: So any particular source, which
21 makes it sound like, look, they're going to have it going
22 statewide, but if we run into somebody and it's just not
23 working for them --

24 MS. SEE: Your Honor, there's two portions where
25 (d)(1) references source. I was referring specifically to

1 (d) (1) (A) which says that the procedure that the EPA sets
2 out has to allow a state to submit a plan which establishes
3 standards of performance for any existing source. So that
4 is the first, the first step that the state does, that the
5 state has to go source by source to --

6 JUDGE MILLETT: So under your theory, they're
7 doing all this under that first sentence, and you're never
8 going to get to, I don't know. It's really written badly.
9 Maybe it's the second sentence, but it's the regulations
10 have to allow to sort of opt out for useful life or other
11 factors. But you're saying all that's already being done in
12 the first sentence under this rule, or under the statute?

13 MS. SEE: I don't believe that all of it is being
14 done. It is true that the --

15 JUDGE MILLETT: Why wouldn't it be done? Why
16 wouldn't you look at useful life under your, in your view
17 under the first sentence?

18 MS. SEE: I'm sorry. Why wouldn't we look at
19 useful life under the first -- so we're, we're submitting --

20 JUDGE MILLETT: (Indiscernible) I'm saying your
21 view of, you look at the standard of performance for each,
22 individual existing source --

23 MS. SEE: Yes.

24 JUDGE MILLETT: -- under that first sentence, of
25 course you're going to look at every factor there that you

1 would look at down in the opt-out.

2 MS. SEE: Your Honor, I think the way I was
3 looking at it, so what does it mean to establish a standard
4 of performance for an existing source, that's where we get
5 this question of what is achievable when we apply the BSERs.
6 And that's the first step of what the state's doing for each
7 source is to say we have the BSER the EPA has given us.
8 What's achievable for this source? And then, once we have
9 that default --

10 JUDGE MILLETT: But you don't take the BSER, you
11 don't take the system and apply it to the source. You're
12 supposed to take the emission limit. That's the standard of
13 performance. That has to reflect the emission limit. It
14 doesn't have to reflect the BSER. So that emission limit is
15 what you're going to go take to Company A.

16 MS. SEE: Well, Your Honor, I know we've been
17 looking at the definitions a lot today, but we look at what
18 the standard of performance is. At heart, what the standard
19 of performance is what the state is saying here, that's an
20 emissions limit, and how does the state know what that limit
21 is, it's what's achievable for that source when applying the
22 BSER. So that is the process --

23 JUDGE MILLETT: That's how the EPA comes to a
24 number. When they give you all an emission limit, yes, they
25 back it up and they document and share with, I assume they

1 share with you what they used in their BSER so if you want
2 to do it, you can do it. But I thought it was pretty well-
3 established, and I'd be surprised if a state disagreed, you
4 don't go to each plant and say you've got to do every
5 element of this BSER. What your standard of performance is,
6 you plant have to meet this number. Isn't that what your
7 standard of performance is?

8 MS. SEE: Well, the standard of performance will
9 often be a number. But how it works in this case is for --

10 JUDGE MILLETT: I'm not talking about under this
11 rule. I'm just talking about under the statute. Your
12 general understanding of the statute is that what you go to
13 the plant with, you've got to figure out a number for each
14 plant.

15 MS. SEE: Yes. We have to figure out what is
16 achievable when applying the BSER --

17 JUDGE MILLETT: And that is, you said, what they
18 can do to get to that number.

19 MS. SEE: The number is what is achievable when
20 the BSER is applied. That is our view of the statute.

21 JUDGE MILLETT: Oh, okay. So your view is that a
22 state could not get to that number using anything other than
23 the BSER?

24 MS. SEE: The BSER and what EPA could give us
25 could include some default numbers. But the question would

1 still be what's achievable when we apply the system that EPA
2 has given us. So there's always going to be room for the
3 source-specific adjustment here. And again, that's a
4 feature that Congress put into this statute by giving states
5 this role to apply the guidelines that EPA has given.
6 There's nowhere in the statute that says EPA (indiscernible)
7 emissions limit for each standard. There's always roles for
8 (indiscernible) whether it's applied under (A) or (B) or
9 both, which is how it would work (indiscernible).

10 JUDGE MILLETT: Okay. Do my colleagues have
11 questions?

12 JUDGE WALKER: No. None for me.

13 JUDGE MILLETT: Does Judge Pillard have any?

14 JUDGE PILLARD: No, no. I'm sorry. I shook my
15 head.

16 JUDGE MILLETT: Okay. I'm sorry. I didn't see
17 that. I'm sorry, Ms. See. Did you have a key point or
18 something that I didn't give you a chance yet to make?

19 MS. SEE: No, Your Honor. I believe we talked
20 about the specific considerations. And in the interest of
21 time, I will allow us to go into the next area.

22 JUDGE MILLETT: Well, you were very effective
23 getting it all in in response to questions, so thank you.
24 Okay.

25 ORAL ARGUMENT OF JONATHAN D. BRIGHTBILL, ESQ.

1 ON BEHALF OF THE RESPONDENTS

2 MR. BRIGHTBILL: Your Honor, may I beg the Court's
3 forgiveness to give one last, clarifying cite on all of
4 this?

5 JUDGE MILLETT: Sure.

6 MR. BRIGHTBILL: 60.24a(e) in Joint Appendix 58.
7 If you continue reading the implementing regulations --

8 JUDGE MILLETT: Sorry. I need to go slow again.
9 I'm sorry. What are you giving us? Are you giving us a
10 regulatory cite, or Federal Register cite, or a case cite?

11 MR. BRIGHTBILL: A regulatory cite, Your Honor.

12 JUDGE MILLETT: Okay.

13 MR. BRIGHTBILL: That's in the Joint Appendix.
14 It's the new implementing regulations.

15 JUDGE MILLETT: Yes.

16 MR. BRIGHTBILL: And I was reading them, and then
17 you asked me a question. I never got back to finishing that
18 (e), which is --

19 JUDGE MILLETT: I'm sorry. Give me that cite
20 again, 60.2?

21 MR. BRIGHTBILL: 60.24, little a, sub-E in Joint
22 Appendix 58. That is the regulatory articulation of the
23 remaining useful life standard.

24 JUDGE MILLETT: Okay.

25 MR. BRIGHTBILL: So.

1 JUDGE MILLETT: Great.

2 MR. BRIGHTBILL: Thank you, Your Honor.

3 JUDGE MILLETT: Thank you very much.

4 MR. BRIGHTBILL: Thank you again for the
5 privilege.

6 JUDGE MILLETT: Thank you. All right. Mr.
7 Donahue, I hope you can go fast. And I think your time is
8 now up, but we'll give you three minutes. But you're going
9 to have to unmute. Sorry.

10 ORAL REBUTTAL OF SEAN H. DONAHUE, ESQ.

11 ON BEHALF OF THE HEALTH AND ENVIRONMENTAL PETITIONERS

12 MR. DONAHUE: I'm trying to figure out how to --

13 JUDGE MILLETT: You're unmuted.

14 MR. DONAHUE: Can you hear me now?

15 JUDGE MILLETT: Yes.

16 MR. DONAHUE: Okay, great. So I'm going to
17 largely just supply some citations. So, I'd really
18 highlight the 1975, the preamble to the regulations that
19 still sort of supply the Court of how EPA thinks 111(d) is
20 supposed to work at 40 Federal Register 53342 and 43, which
21 really explains why it's central to the way the statute's
22 meant to work for EPA to set out a substantive requirement
23 to evaluate state plans.

24 Secondly, the Clean Power Plan noted that one of
25 Section 111's purposes is promoting the advancement of

1 pollution control technology. That's 80 Federal Register
2 64775. And this Court in Portland Cement of 1973 noted that
3 as well, that Section 111 looks ahead beyond existing
4 technology to what will be available. That's 486 F.2d 391.

5 Just, this is slightly more than a citation, but
6 just, we should recall the statute is about emissions, not
7 about heat rate. Heat rate may sometimes be a way of
8 getting at emissions, but I feel like in this rule it's kind
9 of jumped the guardrail, and it's become an end in itself.
10 And indeed, a basis for turning down more effective
11 measures. And on the point of heat rate, I would strongly
12 commend the amicus brief of the energy modelers, that's
13 Bertram and Keys (phonetic sp.), et al., which talks a lot
14 about rebound and different scenarios with respect to the
15 more aggressive heat rate measures and how they will produce
16 more emissions.

17 And then I think I would just say, you know, on
18 the question of remedy has come up a few times. It's not
19 uncommon for environmental groups, even when we think a rule
20 is not as strong as it should be to ask the Court to leave
21 it in place because it gives some protection in the interim.
22 That's Allied Signal and related cases. We do not take that
23 position here. We think this rule really doesn't provide
24 appreciable benefit. And we ask that it be vacated rather
25 than maintained while EPA goes back to do a lawful rule.

1 And with that, I'm happy to take any questions,
2 but --

3 JUDGE MILLETT: Why would you want to vacate it,
4 which means there's going to be nothing there. And these
5 rules tend to take a little while to get put together.

6 MR. DONAHUE: Right. I mean, I think that's a
7 testament to how we feel about the rule's public benefits
8 that they really aren't there. We did ask in our opening
9 brief that the Court admonish EPA that there is a time
10 factor here. And as Mr. Myers noted, it's been well over a
11 decade that we've been trying to get a rule in place because
12 this is highly time sensitive. But a rule that won't do
13 anything good is not a rule that we think would be helpful.

14 JUDGE MILLETT: Thank you. Do my colleagues have
15 any questions?

16 JUDGE PILLARD: No. I'm fine.

17 JUDGE WALKER: If the rebound effect happens
18 because the heat efficiency improvements make it possible
19 for a consumer to buy more energy at less cost, or make it
20 less costly for the producer of the energy to produce the
21 energy, why haven't all of these heat rate improvements
22 already been made? Just because the coal factories are
23 interested in producing the same product at less cost?

24 MR. DONAHUE: Right. I mean, I think, first of
25 all, it's not likely to benefit consumers to spend more

1 money to make, to prolong the operation of a coal plant.

2 And so I think EPA is talking about using regulation to push
3 companies to do it.

4 JUDGE WALKER: But I thought the rebound effect
5 happens because the coal company can produce the same amount
6 of energy at less cost?

7 MR. DONAHUE: After it has engaged in a capital
8 expenditure required by regulation. And that's --

9 JUDGE WALKER: Oh, and so that expenditure doesn't
10 get pumped back into the price that consumers are charged,
11 or that the grid considers?

12 MR. DONAHUE: I'm --

13 JUDGE WALKER: (Indiscernible.)

14 MR. DONAHUE: I'm going to have to point to the
15 energy modelers' briefs, and, because I --

16 JUDGE WALKER: All right. That's fine. Next
17 time.

18 MR. DONAHUE: -- I've exceeded my --

19 JUDGE WALKER: I'm good. I'm good. Thank you
20 though.

21 MR. DONAHUE: Okay. Thank you, Your Honor.

22 JUDGE MILLETT: Okay. Judge Pillard, did you say
23 you were done? Okay, all right.

24 MR. DONAHUE: Thank you very much, Your Honor.

25 JUDGE MILLETT: All right, thank you, again for

1 your patience everybody. I hate to do it, but I think we've
2 been waiting, saving the best for last, biogenics. With
3 deep apologies to Mr. Williamson and Mr. Carlisle and Mr.
4 Duffy, I'm going to have to call a five-minute break. Is
5 that okay? But then I promise it's all your floor for the
6 rest. Okay?

7 Madam Deputy, can we have a break, please?

8 THE CLERK: This Honorable Court will now take a
9 brief recess.

10 (Whereupon, a brief recess was taken.)

11 MR. WILLIAMSON: Good afternoon, now, and I'm
12 mindful that it's almost good evening, so I'm going to try
13 to condense this. I can't promise --

14 JUDGE MILLETT: It's only fair I feel like you
15 should do what you need to do for your client because --

16 MR. WILLIAMSON: Oh, okay.

17 JUDGE MILLETT: It's only fair to you.

18 ORAL ARGUMENT OF DAVID M. WILLIAMSON, ESQ.

19 ON BEHALF OF THE BIOGENIC PETITIONERS

20 MR. WILLIAMSON: Well, let me start with a
21 hypothetical, and if I can, I'll adopt Judge Walker's Acme
22 Power Plant name.

23 JUDGE WALKER: Just as long as there are no prime
24 numbers.

25 MR. WILLIAMSON: No math at all, hopefully, in

1 this. So if a state were to require Acme Power to reduce
2 its greenhouse gas emissions by 5 percent, and instead of
3 replacing expensive equipment like an economizer, the power
4 plant prefers to comply by using five percent biofuels. The
5 question is, did Congress unambiguously prevent it from
6 doing so?

7 EPA says the biofuels are disqualified,
8 importantly not because of a science or a policy
9 determination, but because Congress used the word
10 application, EPA says, in 111(d), which limits the types of
11 compliance measures. But EPA never explains why Congress
12 would have intended to foreclose using low-carbon biofuels
13 when addressing greenhouse gases.

14 So EPA's interpretation is impermissible in two
15 ways, either of which requires remand. First, there's no
16 indication in the text or structure of the statute that
17 Congress actually did constrain compliance measures. EPA
18 misapprehends a limit that simply doesn't exist.

19 Second, even if the definition of application
20 extends to compliance measures, it's our position that EPA's
21 disqualification of biofuels is impermissible and arbitrary
22 because biomass fuels actually do meet the criteria that the
23 agency derived in the final rule. In fact, EPA
24 acknowledges --

25 JUDGE MILLETT: That's your first argument. That

1 sort of rises and falls with the arguments about the Clean
2 Power Plan, the Chevron step 1 argument against the Clean
3 Power Plan.

4 MR. WILLIAMSON: Well, we did not argue, we don't
5 have a dog in that fight, and I've tried to keep out of
6 those issues. But as we did mention in our reply brief, I
7 believe, that yes, if the definition of application that EPA
8 has derived for the BSER selection context, if that falls,
9 that also crumbles the foundation of, well, of EPA's
10 position on the --

11 JUDGE MILLETT: Because your second argument about
12 you meet the at the facility, then, probably maybe the more
13 important one for you?

14 MR. WILLIAMSON: Well, we think the simpler
15 resolution is that compliance measures are simply not
16 addressed in the statute at all. EPA has to find, in order
17 to limit compliance measures, EPA has to find some hook in
18 the statute. And the only thing, and this is a Chenery
19 issue, the only thing that EPA has identified, it's not the
20 science. It's not a balancing of policy considerations.
21 EPA has said that the word application in the statute means
22 for BSER also means that compliance measures have to
23 correspond with the BSER in the same way. So that if
24 biomass co-firing is not, has been, Mr. Brightbill said, has
25 been screened out in the screening, that compliance measures

1 must be screened out as well.

2 And so, as a matter of statutory interpretation of
3 not arbitrary and capricious review, applying the role to a
4 situation, our position is that there's simply not statutory
5 basis in 111 and certainly not in that single word
6 application. And it occurred to me that --

7 JUDGE MILLETT: Do you think 111(a) talks about
8 compliance measures at all? 111(a).

9 MR. WILLIAMSON: No, Your Honor. I'm pausing
10 because I don't want to wade into the grammatical debate
11 about whether, how 111(a) and 111 -- we're accepting for
12 this purpose the EPA's position that the 111(a) definition
13 of standard of performance is just inserted into 111(d).

14 JUDGE MILLETT: Yes, and I'm not raising that
15 question. I'm just asking --

16 MR. WILLIAMSON: Okay, all right.

17 JUDGE MILLETT: -- were compliance measures. So
18 not how --

19 MR. WILLIAMSON: Yes. Yes.

20 JUDGE MILLETT: Not how the BSER is calculated,
21 and not how the standards are calculated --

22 MR. WILLIAMSON: That's right, Your Honor. They
23 are different animals. BSER is different than compliance
24 measures because --

25 JUDGE MILLETT: Right. That's what I'm asking.

1 So, 111(a), you would say, is just not relevant to
2 compliance measures. It's --

3 MR. WILLIAMSON: No --

4 JUDGE MILLETT: 111(d) is where we get to states
5 coming up with standards of performance, which is where
6 compliance measures would be.

7 MR. WILLIAMSON: I agree, Your Honor, but I would
8 also say that 111(d) doesn't address compliance measures.
9 And it occurred to me, it wasn't really briefed because I
10 don't think it was a point of contention, but I thought I
11 would make sure the Court understands what the default here
12 is in terms of compliance measures.

13 And the default is very well expressed by EPA
14 itself in an earlier part of the preamble to the rule, not
15 the section discussing compliance measures, but. This is at
16 Joint Appendix 2. And if I could read. EPA says that after
17 the emissions limits are set, each regulated source then
18 must meet those standards using the measures they believe
19 appropriate. So that's EPA's conception of how compliance
20 measures work.

21 And we've cited an article by Professor Nordhaus,
22 and that's included in the JA at 2009, which discusses sort
23 of the default, what the starting point is. And our
24 argument is that if EPA wants to alter the starting point of
25 the power plant gets to decide how to meet the emissions

1 limit that's set by the state, the 5 percent reduction in
2 the hypothetical, that there has to be something in the
3 statute that allows EPA to restrict the types of compliance
4 measures.

5 EPA had made a point earlier in the argument that
6 it was following a precedent in BSER setting, 30, 40 years
7 of precedent. Well, we've also cited Judge Wald's fairly
8 extensive 150-page opinion in Sierra Club v. Causal. But
9 that opinion, this issue was not specifically raised in that
10 opinion, but it does acknowledge or recognize that the power
11 plant gets to choose the measure to comply with the
12 emissions standard. So that at least back to the 1970s was
13 the default. And I wanted to emphasize that point.

14 JUDGE WALKER: Mr. Williamson, can you help me try
15 to understand the science of how it works? Here's what I
16 have in my head. You tell me if I got it right or wrong.
17 There's a coal plant right now. It's burning coal for 100
18 percent of its fuel. It then switches to 5 percent biomass,
19 so now it's burning 95 percent coal, 5 percent wood. It
20 actually emits just as much carbon as it was before, but
21 that wood that it's burning consumed carbon when it was
22 grown in a forest, whereas, of course, mining coal does not
23 consume carbon.

24 So if you look at how much carbon is emitted from
25 the beginning of the process, either grown in a forest or

1 mining the coal, through the end of the energy production
2 when the carbon is emitted through the smokestack, biogenic
3 actually means that there's less carbon emitted from the
4 beginning of that process to the end. Do I have it even
5 close to correct?

6 MR. WILLIAMSON: You have it absolutely correct,
7 Judge Walker. It's the scientific principle that exists,
8 and I'm not going to say that we rely on it because it
9 simply exists, and it's not denied by EPA, is that there is
10 a method for accounting for the net emissions reduction when
11 dealing with greenhouse gases, which are an unusual or
12 atypical pollutant, and the netting that we start in our
13 opening brief with a collection of the scientific literature
14 that acknowledges that this type of netting is a scientific
15 principle that would otherwise be applied.

16 The rule that we're challenging is the basis of
17 EPA's rule is not that it rejects the scientific principle.
18 It's that EPA fancies itself because of the word application
19 to be unable to apply that science. EPA says that because
20 it defined application as a technology only being used at a
21 facility or put into operation at a facility at and to the
22 facility, that that BSER definition also then has to be
23 transferred to compliance measures and becomes a restriction
24 on the type of compliance measures.

25 So EPA says, essentially, we would otherwise

1 recognize the netting principle here, but because all of the
2 facets of the co-firing technology have to occur within the
3 facility fence line, we, EPA, are unable to credit or look
4 at the uptake of the carbon when the crops are, were
5 primarily crops rather than wood, but it works either way.
6 But when the crops are grown, even though the carbon is
7 taken up by those crops, even though when the biofuels are
8 brought into the facility (indiscernible) and used or put
9 into operation in the facility boilers, EPA is saying
10 because of the word application and the definition that
11 we've given it in the BSER setting, we cannot look at the
12 uptake of carbon from the crops. And we say we're making
13 the word application do far more work even if it does apply
14 to compliance measures. We're saying that is not, the rule
15 itself doesn't even follow the BSER definition because it
16 departs even further from BSER by requiring that every
17 aspect of the technology.

18 So we're not, the biomass co-firing is not, and
19 I'm not making a, taking a side in this debate, but we're
20 not like wind and solar which EPA described as occurring
21 wholly outside the fence line. We're a technology that's
22 applied at the power plant because the fuel is actually fed
23 into the boiler. The only question is whether EPA can
24 recognize the scientific principle that otherwise would,
25 would establish the calculation of the emissions. And, but

1 because of the word application, EPA can't acknowledge that
2 scientific principle can't give effect to the netting of the
3 carbon that's already been absorbed, and it's embedded into
4 that biofuel when it's brought to the plant.

5 JUDGE PILLARD: I know this --

6 JUDGE MILLETT: Go ahead.

7 JUDGE PILLARD: -- isn't really part of your
8 argument, but there is a way in which the netting would seem
9 to invite a broader inquiry on a lot of other inputs into
10 the process of power generation. And yet, I'm not aware of
11 EPA having any tradition of doing that, you know, how the
12 coal is shipped to the, you know, to the facility, is it by
13 truck or by train. And if there's an emissions difference
14 there, how it's mined, you know, maybe one ought to if one
15 is doing a kind of environmental inventory. But there isn't
16 any precedent really for looking at, more broadly at the
17 kind of origins of what happens at the plant at the end of
18 the day?

19 MR. WILLIAMSON: Well that, you will see that
20 question of the life cycle analysis raised by some
21 stakeholders, and the EPA, I think, doesn't necessarily take
22 a position but they said they haven't decided how to do that
23 calculation. But, I want to answer the question, but I want
24 to emphasize that the basis that EPA identified from a
25 Chenery perspective for the rule --

1 JUDGE PILLARD: Right, it --

2 MR. WILLIAMSON: -- was not that it was unable to
3 make that --

4 JUDGE PILLARD: Right. It's a statutory
5 interpretation question.

6 MR. WILLIAMSON: That's right. It's just simply
7 based on the word application. But for context, there are
8 different ways to do life cycle analyses. Our position, my
9 client's position in this context is that it's not necessary
10 to look at all the emissions from the production activity,
11 from the mining of the coal or the diesel fuel that's used
12 in the tractor to grow the crops. But that is, those are
13 considered in a comparative life cycle analysis.

14 Now, if EPA disagreed and adopted a comparative
15 life cycle analysis and had said we can't count biomass co-
16 firing because of that analysis, that would have been a
17 different role, and this would be a different case. But our
18 position, to answer your question, Judge Pillard, is that
19 our view of the life cycle analysis is that you look at the
20 carbon itself. And the carbon is grown by the farmer in the
21 spring. It's embedded into that fuel. The fuel is brought
22 to the facility. It's released when it's used in the
23 boilers. But because it had been stored, essentially, only
24 months earlier that the net effect for greenhouse gas
25 purposes is zero from the use of that biofuel.

1 But again, I guess I'm beating this horse, but
2 that is context-only.

3 JUDGE PILLARD: Right.

4 MR. WILLIAMSON: That's not the basis for this
5 rule.

6 JUDGE MILLETT: It's your position that compliance
7 measures are not limited by the statutory text either 111(a)
8 or 111(d) would also apply, then, to trading programs?

9 MR. WILLIAMSON: Well, I hesitate to wade into
10 that battle, Your Honor.

11 JUDGE MILLETT: I mean either the text limits what
12 can be used as a compliance measure or it doesn't, right?

13 MR. WILLIAMSON: Right, for a compliance measure.
14 We don't see any restriction of any type in the compliance
15 measures because that choice is left to the power plants by
16 default. That's traditionally, then --

17 JUDGE MILLETT: Well, the states. First by the
18 states would have to approve it, but.

19 MR. WILLIAMSON: Well, there's some question as
20 to, right, whether the states in their selves could put some
21 limits on compliance measures, but ultimately the states
22 set, and I don't mean to argue this point, necessarily, but
23 the states set an emissions limit that is the target to
24 which the power plants have to comply. And conventionally,
25 the power plants choose how to meet that target, whether by

1 being more efficient, whether putting a scrubber. In our
2 case, we would argue by using biofuels.

3 JUDGE MILLETT: I had thought there was some at
4 least interchange between the, or at least there's a vision
5 here, some interchange between the state and the utility in
6 even deciding -- not the utilities, between the plant, the
7 facility in determining what limit gets set on each
8 facility. Does that happen backwards, starting from the
9 facility up, or? I had thought in my world that there was
10 some back-and-forth on that.

11 MR. WILLIAMSON: Well, again, probably not our
12 issue, Your Honor, but my understanding is that --

13 JUDGE MILLETT: I'm just asking the process, so --

14 MR. WILLIAMSON: Yes, well, the process, to my
15 understanding, is that that emissions limit will be set by
16 the state and put into a permit. And so that we're
17 accepting for our issue, whatever emissions limit, whether
18 that's a numerical limit, whether it's a percentage
19 reduction, whatever limit results from that state facility
20 interchange, we accept that, and we will meet that. Well,
21 the power plant will meet that.

22 And what we're saying is if the power plant elects
23 to use biofuel because it's an available technology, and
24 because it can be accounted for and actually even can be
25 applied at the facility, at least under the EPA's BSER

1 definition, that the power plant ought to have the option to
2 utilize that (indiscernible).

3 I wondered if I might take a moment to address
4 also how, in our brief we pointed to a number of examples of
5 how we believe EPA's, particularly the criteria articulated
6 in the rule, which are two, that the compliance measure be
7 applied at the facility, and then that the emissions
8 reductions be measurable, that EPA's disqualification of
9 biomass co-firing on the grounds that it does not meet those
10 two criteria breaks down from an arbitrary and capricious
11 standpoint.

12 And the first is the example of biofuels. Again,
13 I think I touched on this. But in our view, the biofuels
14 satisfy the applied criteria because they are actually used
15 at the plant, and EPA --

16 JUDGE MILLETT: Well, they aren't used to reduce
17 emissions at the plant I think is what EPA would say.

18 MR. WILLIAMSON: Well --

19 JUDGE MILLETT: When they're used at the plant,
20 emissions don't go down. It's all the, the emissions
21 control is happening in the years that it takes before while
22 these things are growing.

23 MR. WILLIAMSON: Yes, well, actually, Your Honor,
24 EPA did not say that in the rule. They say that in the
25 brief, interestingly. And there's a disconnect here.

1 JUDGE MILLETT: Yes.

2 MR. WILLIAMSON: The rule only requires that the
3 technology be applied at the plant, and that the emissions
4 reductions be measurable. Okay? And --

5 JUDGE PILLARD: Hmm.

6 MR. WILLIAMSON: Pardon? Yes?

7 JUDGE PILLARD: No, I just said --

8 MR. WILLIAMSON: Oh.

9 JUDGE PILLARD: Saying that was interesting.

10 MR. WILLIAMSON: Well, in EPA's, in the
11 Government's brief, EPA morphs this somewhat, and we make a
12 point of this in the reply brief that they then talk about,
13 well, biofuels can be disqualified because they don't reduce
14 the emissions at the stack. But the rule itself only says
15 that the source actually reduce its emissions. And then one
16 can ask, well, what does actually reduce mean? Well, we
17 don't have to guess at that.

18 JUDGE PILLARD: Its emissions. After --

19 MR. WILLIAMSON: I'm sorry?

20 JUDGE PILLARD: Its emissions.

21 MR. WILLIAMSON: Its emissions rate, yes. So the
22 power plants that the source, the power plant actually
23 reduced its emissions rate.

24 JUDGE PILLARD: Right, so --

25 MR. WILLIAMSON: That's what the rule says and --

1 JUDGE PILLARD: (Indiscernible) leans on its
2 emissions is the fact that the field in which the biomass
3 was grown absorbs CO2, you know, I mean, it's just netted
4 against the emissions at the stack, or is that not part of
5 its net emissions?

6 MR. WILLIAMSON: Right. Well, I was about to
7 address that, Your Honor, because that's critical. We don't
8 have to guess at what actually means or its means because
9 EPA tells us in the very next clause. You probably have the
10 excerpt, but it's at JA-39. This is from the final rule.
11 They say that --

12 JUDGE PILLARD: Look at which page? Because I'm
13 dealing with it not the JA.

14 MR. WILLIAMSON: Yes. It's, in the final rule
15 it's Federal Register 32558. And so this is at, in the
16 first column --

17 JUDGE MILLETT: That's our favorite page.

18 MR. WILLIAMSON: Yes. It covers a lot of topics.
19 So, this is the first column, let's say two-thirds of the
20 way down. And you can see that there's a 1 and a 2.

21 JUDGE PILLARD: Yes.

22 MR. WILLIAMSON: These are the two factors. And
23 the point I want to make sure that we understand is --

24 JUDGE PILLARD: Measurable, the point you made in
25 your brief that it has to be measurable there.

1 MR. WILLIAMSON: Well, but the rule starts, Your
2 Honor, by saying a compliance measure is eligible if it
3 reduces an emissions rate. But then EPA doesn't leave that
4 to guesswork. It tells us exactly how, what that means. It
5 says in that, meaning this is what we mean, number 1 and
6 number 2, that they, the measure, be applied to the source
7 itself, and second, the measure be measurable at the source.
8 There's no discussion here of the stack.

9 And again, that's consistent with that EPA was not
10 rejecting the science of netting for looking outside the
11 fence line to see what happened to create the biofuel in the
12 first place. It was not rejecting that. But it was saying
13 that what EPA says a little further down on JA-39 is that
14 the biofuels don't satisfy the measurement because it relies
15 on a counting, I'm reading now from the Federal Register,
16 accounting for activities with an ellipsis, will not apply
17 to, and not under the control of the source.

18 JUDGE PILLARD: It's interesting the not apply and
19 not under the control. I mean, they do require, you know,
20 coal, what is it, coal washing or, you know, certain things
21 that are going to, and the outcome, it comes to fruition at
22 the stack that it's less polluting, but it's a preparatory
23 measure. And I wonder, like, I'm thinking about if, and you
24 mentioned arbitrary and capricious and whether a rule that
25 did not foreclose biomass as a compliance measure but

1 allowed it would then raise obligations to non-arbitrarily
2 do things like require inventory of the methane gas released
3 in the production of natural gas. I mean, I realize it's no
4 longer regulating gas, but if EPA were to, you know, there
5 are life cycle issues with other forms, the production of
6 other forms of fuel as well. And presumably those would
7 have to be taken into account.

8 MR. WILLIAMSON: Well, Your Honor, I think that an
9 important point is that this concept of life cycle is
10 standard. In fact, as I said, EPA is not disavowing that
11 life cycle is used, and that's what it's trying to use
12 application, the statutory term application as a hook to
13 avoid acknowledging what it would normally do or usually do,
14 which is to apply some sort of life cycle analysis.

15 And I think it's very instructive, Your Honor, and
16 this is in the opening brief, that in the context of new
17 facility permitting, and this is the BACT, the best
18 available control technology, EPA applies this very life
19 cycle. In fact, the record contains the biogenic bioenergy
20 guidance. Now, EPA sort of backtracks from that in its
21 brief and said, well, it's just guidance; we haven't
22 decided. But I believe it's --

23 JUDGE PILLARD: Well, a little bit of a different
24 system. The factors that there are on new sources are
25 different from the factors on existing sources. In fact --

1 MR. WILLIAMSON: Yes.

2 JUDGE PILLARD: -- you rely, you do cross-
3 reference in your brief, but the technological system
4 terminology doesn't appear anywhere in 111(d), and it's
5 defined independently of the best system, and it just seems
6 like that's much more of a new source concept.

7 MR. WILLIAMSON: Well, Your Honor, part of the
8 problem here is that we only get into this discussion if
9 control measures are defined in part by the BSER definition.
10 So the absence of language in the statute really sort of
11 begs the question, well, what is the statutory hook in the
12 first place to even, for EPA to even define this set of
13 compliance measures. But on that, I want to be very clear.
14 And if you have the appendix, it's at Supplemental Appendix
15 233, but it's under the statute. And 7479(a)(3) defines
16 what BACT is.

17 If you need a minute to look for it, but to just
18 jump to the point here, it's very similar language.
19 Application of systems, and BACT actually says including
20 clean fuels, right? But it's the application of a system.
21 And that's the very same terminology that's used in 111(d).
22 So there's an exact parallel here. And as we noted, in the
23 BACT context, EPA has issued guidance at least that says we
24 are going to look at how the biofuels are grown. And for
25 purposes of the BACT standard, which is, yes, a different

1 standard that BSER, but said we're not going to be
2 constrained by this fence line concept for BACT purposes.

3 And what our argument is, is it's arbitrary to
4 then say, well, what's the difference in 111(d)? Why can't
5 you look outside the fence line? Why can't you consider
6 what the science would require you to consider how that
7 carbon got into the biofuel. And EPA's only answer, the
8 only basis upon which it founds the entire rule is, well,
9 the word application in the BSER setting in 111 restricts
10 compliance measures. And that has to fail.

11 And also I wanted just to highlight some of the
12 other, what we call the stress test examples that, that the
13 way EPA is applying even its own rule to biofuels breaks
14 down because EPA applies it inconsistently to other
15 situations. And I'll just take first that, I think we
16 mentioned earlier today the carbon capture and storage.

17 So carbon capture and storage really is the
18 converse of biofuels. So in that, and this is a system that
19 EPA has determined is an eligible compliance measure.
20 Right? But whereas biofuels capture the carbon, bring the
21 biofuel into the fence line, and then it's used in the
22 boiler, carbon capture and storage is the converse in this
23 sense that the carbon dioxide is captured from the boiler,
24 but then it's taken and piped outside of the fence line. So
25 half of the relevant activities are occurring outside the

1 fence line, and referring back to that section of the rule,
2 they are occurring outside of the control of the power plant
3 operator because the CO2 is not --

4 JUDGE MILLETT: But to be fair, this is, you know,
5 this is a program for emission reduction. And so why is it
6 insensible to focus on the emissions that actually happen?
7 And that is the difference between carbon capture and
8 biofuels.

9 MR. WILLIAMSON: Well, so I guess there's two
10 parts to that answer. Is that one, the reason that EPA
11 disqualified biofuels, again, wasn't because there aren't
12 any emissions reduction. It was because it said that the
13 activity of growing the crops on the farm was outside the
14 control of the Acme power plant owner. And the parallel I'm
15 trying to draw is that in the CCS context, the storage or
16 the recycling of the CO2 once it goes outside the power
17 plant, that is equally outside of the control of the
18 facility owner. So it can't be a rational reason to
19 disqualify biofuels if it's not a rational reason to
20 disqualify CCS. We're not trying to get CCS disqualified.

21 JUDGE MILLETT: I understand. Okay.

22 MR. WILLIAMSON: But also, Your Honor, again, I
23 want to come back, because it's so critical, that EPA is not
24 saying that there's not, excuse the double-negative, they're
25 not saying that there's no emissions reduction. That's not,

1 unequivocally not what they're saying. What they're saying
2 is we would otherwise recognize through a lifecycle analysis
3 that the biofuels had this low-carbon rate. Now, whether
4 it's 100 percent of half or some fraction, that's, we're not
5 asking the Court to make a technical determination about how
6 to apply a lifecycle analysis. But EPA never disavows that
7 it would apply that lifecycle analysis except that the word
8 application and the gloss that EPA has given it in the BSER
9 context creates a constraint that doesn't constrain it in
10 the BACT context, that doesn't constrain EPA in the, there's
11 a whole program under the renewable fuel program under
12 Section 211(o) that is predicated on the concept that
13 biofuels are low carbon when they're used as a
14 transportation fuel, and so we don't count that as
15 additional greenhouse gases when it's used, when it comes
16 from a tailpipe, which is the same as a smokestack for the
17 source.

18 JUDGE MILLETT: All right. Do my colleagues have
19 further questions?

20 JUDGE PILLARD: No, thank you.

21 JUDGE MILLETT: Okay, all right.

22 MR. WILLIAMSON: Thank you.

23 JUDGE MILLETT: Thank you very much.

24 MR. WILLIAMSON: I recognize the time is short.

25 JUDGE MILLETT: No, no. You've been very helpful

1 explanation. Appreciate it. Mr. Carlisle.

2 ORAL ARGUMENT OF BENJAMIN CARLISLE, ESQ.

3 ON BEHALF OF THE RESPONDENTS

4 MR. CARLISLE: Thank you, Your Honor. Ben
5 Carlisle from the Department of Justice on behalf of EPA.

6 I will just start very quickly with a point on
7 which I think Mr. Williamson and I are largely in agreement,
8 but just to clarify, and that is that the issues before the
9 Court are indeed narrow, and they turn on a narrow statutory
10 interpretation issue. The question of whether or not there
11 is a scientific consensus on whether biogenic CO2 emissions
12 are low carbon or are not contributing to climate change is
13 not before the Court.

14 And I do just want to add one clarifying point
15 onto what Mr. Williamson has said. EPA, because it was
16 effectively not at issue in this rule, EPA has not
17 represented, as I think Mr. Williamson suggested, that it
18 would necessarily apply this lifecycle analysis absent its
19 statutory interpretation. Again, our view is that's simply
20 not a question before the Court, including the scientific
21 issue.

22 JUDGE MILLETT: (Indiscernible) Chevron step 1
23 issue in front of us.

24 MR. CARLISLE: Exactly. That's right, Your Honor.
25 And turning to that Chevron step 1 issue, EPA correctly

1 concluded that the statutory text prohibits biomass co-
2 firing as a compliance measure. And here, I think Mr.
3 Williamson doesn't quite engage with the argument or the
4 statutory texts that EPA has advanced.

5 And the text that we focus on here, and it is
6 related to the averaging and trading argument, but it's that
7 when a state sets a standard of performance, that is defined
8 in Section 7411(a)(1). And it is to be a standard for
9 emissions of air pollutants. And that standard, what the
10 state does must reflect the degree of emission limitation
11 achievable through application of the best system of
12 emission reduction.

13 So what we're talking right here is that while
14 states can adjust the standards that they set for certain
15 criteria, such as remaining useful life, and that has been
16 talked about for some length. Once those adjustments are
17 factored in, each source is to have its own particular
18 standard of performance, which must itself reflect the
19 degree of emission limitation achievable through the
20 application of the best system. And if a standard allows
21 for compliance --

22 JUDGE MILLETT: I want to make clear, and that's,
23 so your position is each facility has to apply every
24 component of the best system at that facility?

25 MR. CARLISLE: No, Your Honor, that's not quite

1 it. It's not that they must actually apply the best system
2 itself.

3 JUDGE MILLETT: (Indiscernible.)

4 MR. CARLISLE: But rather, the standard must broad
5 scale reflect the application of the best system of emission
6 reduction.

7 JUDGE PILLARD: It has to reflect the degree of
8 emission limitation.

9 JUDGE MILLETT: Right. That's --

10 MR. CARLISLE: That's right. I apologize. That
11 language is actually very --

12 JUDGE PILLARD: That's Mr. Williamson's whole
13 case, isn't it, that there is play in the joints between the
14 actual activities on which EPA based the BSER and
15 deracinated from that a standard of emissions of air
16 pollution which reflects the degree of emissions limitation
17 achievable through that BSER. So you take that from the
18 BSER, give it to the states, and they are free to do it in
19 some completely other way.

20 MR. CARLISLE: Your Honor, that --

21 JUDGE PILLARD: Isn't that written into the
22 statute?

23 MR. CARLISLE: I think that is mostly right but
24 with a critical difference. And I apologize for leaving out
25 the degree of emission limitation because that's actually an

1 important aspect of the language here. And biomass is
2 actually an example because if a standard allows for
3 compliance measures that don't apply to the source to reduce
4 the emissions from the source, then the standard that is
5 actually being set may reflect a higher or lower level of
6 emission than the application of the BSER would entail. So
7 biomass is an example of this because it raises the
8 emissions from the source.

9 So take, for example, if EPA were to set a
10 particular standard or derive a particular numeric
11 limitation, states then have to translate that into a
12 standard performance that reflects that degree of emission
13 limitation achievable through application of the BSER.

14 JUDGE PILLARD: But it's a standard for emissions
15 of air pollutants. It doesn't say from the stack or at the
16 plant. Right? A standard for emissions of air pollutants.
17 And Mr. Williamson's point is I understand it is that you
18 look at the lifecycle emissions of biofuels in determining
19 the degree of emission limitation achieved by burning them.

20 MR. CARLISLE: That goes in part to EPA's
21 interpretation of application that we are talking about the
22 application of the best system of emission reduction to the
23 source. That must --

24 JUDGE PILLARD: No, no, no, but that's a
25 construct, right? So the point of the best system of

1 emission reduction, it doesn't ever, my understanding
2 reading the statute, and you know much more about this than
3 I do, but my understanding is that the best system of
4 emission reduction is a hypothesis, and it's, I mean, it
5 also has to be, you know, adequately demonstrated. But it's
6 an idea about if we did this, what would be the degree of
7 emission limitation achievable? EPA's studies have been
8 saying these things that people could do out there, that the
9 regulated sector could do. And then they say this is the
10 degree of emission limitation achievable through that. And
11 that is then given to the states, and the standard of
12 performance that the states come up with has to reflect that
13 but can be achieved through any number of other means. And
14 what's wrong with that picture?

15 MR. CARLISLE: Your Honor, I think that that
16 leaves out, and particularly as to biomass, leaves out the
17 idea that the standard is to reflect the degree of emission
18 limitation achievable through the application of the best
19 system. And so the --

20 JUDGE PILLARD: Right. It's the degree of
21 emissions achievable but not achieved. Right? It's
22 achievable --

23 MR. CARLISLE: Right.

24 JUDGE PILLARD: -- as a sort of metric. So
25 achievable through the application of the best system of

1 emission reduction, but I take it that that doesn't actually
2 have to be applied. And I thought that the EPA has a
3 position that, for example, partial carbon sequestration is
4 permissible if states want to go there, even though it's not
5 employed by EPA in coming up with the BSER for the ACE rule,
6 right?

7 MR. CARLISLE: Your Honor, that's right, but
8 carbon capture leads to emissions reductions at the stack.
9 That means you actually meet that standard. And I think an
10 example here may actually be illuminating. Which is if,
11 imagine that a state sets a, imagine that the degree of
12 emission limitation achievable through the application of
13 the BSER, whatever that may be, is one ton of carbon dioxide
14 per kilowatt hour. If a state then sets a standard of
15 performance that allows biomass co-firing, then the standard
16 will effectively allow for higher emissions than that one
17 ton per kilowatt hour that actually reflects the application
18 of the BSER. So while a state could --

19 JUDGE PILLARD: Well --

20 MR. CARLISLE: While other compliance measures
21 that --

22 JUDGE PILLARD: It depends if you accept Mr.
23 Williamson's view, which is actually wouldn't you, from the
24 perspective of encouraging plants that are burning various
25 kinds of fuels, you would, if your objective is to get rid

1 of CO2, you have them burn biofuels because you know for
2 every unit of biofuels that you use, it's like a scientific
3 fact that in order to bring that into existence, a certain
4 amount of CO2 was absorbed. And so better to do that than
5 to release it all from the ground.

6 MR. CARLISLE: So, Your Honor, just to reiterate,
7 the scientific and technical questions are more complex.

8 JUDGE PILLARD: Right, right, right. But that's
9 his theory, so.

10 MR. CARLISLE: But this is where we loop back to
11 application to the source. And this is where the argument
12 does, in fact, link to the argument related to the CPP.

13 JUDGE PILLARD: So EPA really has collapsed the
14 inquiry about what would be achievable through an
15 application of a best system of emission reduction, which
16 is, as like I said, I think a sort of a hypothetical
17 exercise, with then how the performance may actually achieve
18 that. I mean, it just, so you've taken away that
19 flexibility for the states in the way you interpret the
20 statute.

21 MR. CARLISLE: Not quite, Your Honor. I think
22 there is, the broad principle is that EPA views that
23 language in Section 7411(a) as both a constraint on EPA's
24 determination of the BSER and a positive restriction on the
25 kinds of standards that states can, in fact, impose. And any

1 compliance mechanism that does, in fact, get applied to the
2 source, including carbon capture to reduce emissions or coal
3 pretreatment which also, again, reduces emissions from the
4 source, would qualify. It's a specific problem, you know,
5 posed by biomass co-firing that it actually raises emissions
6 at the stack above the level that in fact the --

7 JUDGE MILLETT: That might be a reason, which this
8 isn't before us, but if EPA were to decide we don't like it.
9 We just don't think it's effective. That would be sort of a
10 Chevron step 2 type analysis. But we're dealing with
11 Chevron step 1. And so when you look at 7411(a), the word
12 achievable, what has to be achieved? A number. Am I right
13 in thinking that a number?

14 MR. CARLISLE: Right, the degree of emissions
15 limitation, et cetera.

16 JUDGE MILLETT: Well, it's a number, right. No,
17 no, no. I don't want to do et cetera. That's the point
18 because I'm not sure et ceteras end at the same place. The
19 degree of limitation achievable means that there's a
20 limitation, a number, whether it's, I guess, rate or mass,
21 I'm not sure. Let's just go with rate because that's
22 easier, right? This is what you're going to allow to emit
23 per day. That's what the state is putting in its
24 performance standard?

25 MR. CARLISLE: If it's a numeric standard, yes,

1 Your Honor. So, but our point --

2 JUDGE MILLETT: Okay, are they putting -- no, I'm
3 just asking. Does their standard of performance say, plant,
4 was it Amco plant? Amco Plant A will meet this emissions
5 standard. Is that what the plan says?

6 MR. CARLISLE: Yes, Your Honor.

7 JUDGE MILLETT: Does the plan say how they're
8 going to do it?

9 MR. CARLISLE: The plan doesn't necessarily say
10 that, but EPA is --

11 JUDGE MILLETT: Does EPA require them to say how
12 they're going to do it?

13 MR. CARLISLE: Generally no.

14 JUDGE MILLETT: Why is that?

15 MR. CARLISLE: No, Your Honor. EPA generally
16 doesn't require particular systems. But the conclusion it
17 drew here is that the statutory text does, in fact, prohibit
18 certain kinds of compliance measures, particularly those
19 that don't reflect the degree of emission limitation or
20 would lead, rather, to a standard that does not reflect the
21 degree of emission limitation achievable through application
22 of the BSER.

23 JUDGE MILLETT: I don't understand what that
24 means. Let's assume the BSER leads to a standard for
25 emissions of 100 tons a month, a week. I don't know how

1 much. Right, 100 tons a month at Plant A. And the state
2 says, you're good, 100 tons a month at Plant A. That's it.
3 They've met the standard of performance, have they not?

4 MR. CARLISLE: But biomass co-firing would not, in
5 fact, do that, Your Honor.

6 JUDGE MILLETT: I'm just asking a question about
7 what the statute means.

8 MR. CARLISLE: In that case, the state has imposed
9 a -- yes. The state has imposed a standard of performance
10 reflected in that numeric limit.

11 JUDGE MILLETT: Okay. So if it's -- like, I get
12 the, your contention that biomass exceeds coal. If biomass
13 burned exactly the same as coal but also had the solitary
14 benefit of absorbing carbon when it's made, but if it burned
15 the same as coal, and so the state plan came back and said
16 we got it, 100 tons a month, we're good to go, would that be
17 consistent with the statutory text?

18 MR. CARLISLE: Your Honor, if it burned the same
19 as coal, it would also not lead to any emissions reductions.

20 JUDGE MILLETT: No, that's, I'm sorry, the
21 standard that's produced from the BSER is 100 a month. And
22 so the state comes back and says, Plant A will do 100 tons a
23 month.

24 MR. CARLISLE: Plant A will do, so I just want to
25 make sure I understand the question.

1 JUDGE MILLETT: Yes.

2 MR. CARLISLE: The state comes back and says Plant
3 A will do 100 tons a month, and your question is if biomass
4 met that 100 tons a month standard at the stack, or only by
5 virtue of its --

6 JUDGE MILLETT: No, no, no. I'm just saying,
7 sorry, I'm not being clear. So the BSER computes through
8 all kinds of whatever complexities, that the degree of
9 emission limitation achievable is 100 tons a month. States,
10 go figure out how your plants are going to do it. State A
11 comes back and says Amco Plant will achieve 100, no more,
12 100 tons a month of emissions. Does that get, is that okay?
13 Is there anything in the statute that bars that?

14 MR. CARLISLE: There is nothing in the statute
15 that bars that, but --

16 JUDGE MILLETT: They don't have to explain how
17 they're going to get to that. I mean, they have to do it.
18 We're taking them at their word. They're honest people.
19 The state's honest. They're going to do it.

20 MR. CARLISLE: EPA has concluded in this statute
21 that they would not be allowed to do that by virtue of, for
22 example, biomass co-firing.

23 JUDGE MILLETT: Even if it got to that exact
24 number that you want?

25 MR. CARLISLE: If at the stack, they actually

1 emitted 100 tons of --

2 JUDGE MILLETT: You're not going to know. How are
3 you going to know? They just, you told me the plan just
4 says Plant Amco is going to get 100 tons a month, no more.
5 How are you going to even know how they do it?

6 MR. CARLISLE: Because either they will be
7 emitting that standard or above. And the use of biomass,
8 absent another compliance measure would not meet that
9 standard, Your Honor, because --

10 JUDGE MILLETT: I think you're making all kinds of
11 assumptions. I haven't told you how Amco Plant is doing.
12 I've just said, in my hypothetical, burning coal and burning
13 biomass is the same. I know that EPA's position is that
14 it's not true. But all I said is you have a state plan that
15 comes back and says 100 tons a month for Amco. And you said
16 that's all you require of plans. You don't require them to
17 say how they're going to get to it.

18 MR. CARLISLE: Your Honor, the --

19 JUDGE MILLETT: Right? So that would be okay.

20 MR. CARLISLE: I think there --

21 JUDGE MILLETT: (Indiscernible) bar to a state
22 plan that says we will meet your emission limit.

23 MR. CARLISLE: Except, Your Honor, that by virtue
24 of allowing biomass co-firing, they would not, in fact, meet
25 that limit --

1 JUDGE MILLETT: I am not telling you how they're
2 meeting. I'm going to say this one more time. You're
3 making assumptions about this. What the state has
4 represented is that they will meet, this plant will meet 100
5 tons a month. They will meet that, and you don't ask how
6 under the statutory scheme.

7 MR. CARLISLE: Your Honor --

8 JUDGE MILLETT: As long as they meet it. If they
9 don't meet it, they're in trouble. But we're not assuming,
10 that's not my hypothetical. They're going to meet it.

11 MR. CARLISLE: Your Honor, I think I see the
12 disconnect, and that is that by virtue of the ACE rule's
13 determination here, there is an inquiry into how they will
14 meet that standard in that the --

15 JUDGE MILLETT: Okay. I thought I had asked you
16 do they come in and say we're going to meet 100 tons a
17 month, and here's how we're going to do it, and you said no,
18 they just come back a standard of performance is that Plant
19 A will emit this much. So now that's not true. So under
20 the ACE rule, they're going to come back and say Plant Acme
21 will meet this much and here's how? That's what the rule
22 does?

23 MR. CARLISLE: I think the, by virtue of the ACE
24 rule having disqualified this particular compliance measure,
25 EPA would be reviewing the plans for whether that was how

1 they were, how they were proposing to meet the, how they
2 were proposing to meet --

3 JUDGE MILLETT: Well, you don't know what
4 anybody's doing, so every, single plant in this country for
5 every single facility has to tell you not just the standard
6 of performance on emissions but how they're doing it. Every
7 jot and tittle of how they're doing it, that's your
8 position?

9 MR. CARLISLE: It's not that they have to say
10 every jot and tittle, but they can't adopt compliance
11 measures that have been disqualified by the statute as part
12 of --

13 JUDGE PILLARD: But they've been disqualified to
14 play what function? If it disqualified by the statute, in
15 your theory, to be considered as, as the best system of
16 emission reduction, but they haven't been disqualified as
17 the standard of performance that reflects that degree of
18 emission limitation, and they certainly haven't been
19 statutorily disqualified from being the compliance measures
20 that a utility uses to meet a standard of performance that
21 in its turn reflects the best system.

22 MR. CARLISLE: Reflects --

23 JUDGE PILLARD: Nothing in the statute talks about
24 the compliance measure. In fact, it's all about
25 flexibility.

1 MR. CARLISLE: Respectfully, Your Honor, we
2 disagree, and we think the same language in Section
3 7411(a)(1) that we've been talking about in terms of
4 determining the BSER is also a positive constraint on what
5 states can do in terms of setting standards of performance,
6 and that it goes to --

7 JUDGE MILLETT: Where does it say in your rule or
8 (indiscernible) regulations that state plans must now not
9 only say Plant A will meet this emission limit, which
10 happens to be exactly the one that EPA set, and they either
11 will explain how they did it or they will take an oath at
12 the end that says we promise we're not doing this with
13 biofuels.

14 MR. CARLISLE: I'm sorry, can you repeat the
15 question, Your Honor?

16 JUDGE MILLETT: Where does it say in your rule
17 that the state's standard of performance has to say not only
18 that this plant will meet this emissions limit, which fully
19 complies with what EPA has set, applying the BSER, EPA apply
20 the BSER, and states must now, you can tell me either one,
21 1, option 1, tell us how the plant's doing it, or 2, promise
22 with an oath at the end that however they're doing it, it's
23 not with biomass? Is that in your rule?

24 MR. CARLISLE: Your Honor, it's in the
25 disqualification of biomass co-firing as a compliance

1 measure. And again, it flows, in EPA's view, from the
2 statutory text. So the discussion of that is at the same
3 page that Mr. Williams --

4 JUDGE MILLETT: On what statutory basis, if
5 they're meeting your limit, what do you care how they're
6 doing it? I know you say they won't meet it with biomass,
7 but my hypothetical is that they are. They are meeting your
8 number. What language in the statute says that's a bad
9 thing?

10 MR. CARLISLE: Your Honor, I think our point, of
11 course, is that it's impossible to meet that standard at the
12 stack using only biomass.

13 JUDGE PILLARD: Right. But the question is why
14 does the standard have to be met at the stack if the whole
15 question of compliance is not actually addressed by the
16 statute, and in fact, the way the statute is structured is
17 to give maximum flexibility to states. It would seem to me
18 at least that it would be a question of state choice how it
19 wanted to meet that because all the statute in 111(a)(10)
20 requires is a standard of performance, that is a standard of
21 emissions of air pollutions that reflects the degree of
22 emission set by EPA through the BSER exercise. So, that's
23 about the standard of performance, it's not about compliance
24 with that standard, right?

25 I mean, why couldn't the state just say, we're

1 going to pay, you know, for, I know that this is just
2 disallowed in the regulation, but this is part of what we're
3 probing, that you can't use trading, but if a state said we
4 want to use trading. We want to let our coal plants operate
5 exactly as they always have been. And we're just going to
6 buy a lot of credits, and we're going to, that's going to be
7 the compliance. And the statute speaks to that?

8 MR. CARLISLE: EPA's view is that yes, it does,
9 Your Honor. That bound up in the requirement that the
10 standard of performance reflects the degree of emission
11 limitation through application of the BSER, and again --

12 JUDGE PILLARD: Degree of emission limitation
13 achievable, not achieved. I mean, we're going around in
14 circles a little bit, but achievable through that
15 application because nobody ever says, and in fact, the rule
16 that I was just reading from acknowledges that it doesn't
17 have to use BSER actually to meet the standard. Right?
18 Free to meet the standard of performance using either BSER
19 technologies or non-BSER technologies. So you understand
20 that there's play in the joints between those two things,
21 but then somehow it comes back in compliance as if it has to
22 be BSER or have some close relationship to BSER.

23 MR. CARLISLE: Only insofar as it results in
24 emission reductions through its application at the source,
25 Your Honor. So the restriction here is not, I think, as

1 substantial as you may be suggesting. You know, the core
2 restriction here is that the standard can't, in fact,
3 authorize higher emissions than the BSER would entail. It
4 can't, you can't have a standard that says we're going to
5 turn a blind eye to the fact that this plant,
6 notwithstanding that the BSER would result in one ton per
7 kilowatt hour, is actually emitting 1.2 tons.

8 JUDGE PILLARD: Right.

9 MR. CARLISLE: And you're going to look offsite --

10 JUDGE MILLETT: But I think there your argument's
11 about what emission limitation means, not application. If
12 the emissions aren't limited?

13 JUDGE PILLARD: Standard for emissions of air
14 pollutants, yes.

15 JUDGE MILLETT: If the air pollutants are still
16 pouring out or even more is pouring out, I mean, that would
17 be, but that's not the argument you make. You're pointed
18 all on the word application, which seems --

19 MR. CARLISLE: Your Honor, it's in part because of
20 the species of argument that biogenic petitioners are
21 advancing, which is that really this is a reduction in
22 emissions. They're saying this offsite activity, which is
23 not occurring through firing of biomass, the actual
24 application of biomass at the source is itself a form of
25 emission limitation. And the EPA is saying no, what we look

1 to here is what happens when you essentially apply the
2 compliance measure to the source.

3 JUDGE MILLETT: Yes.

4 MR. CARLISLE: You don't look to the offsite
5 activity, and that's where the linkage to the application --

6 JUDGE PILLARD: That's really interesting because,
7 I mean you're, for example with carbon capture and
8 sequestration, there's emission going on, but something
9 further happens with it. It's caught, and it's piped away.
10 So, you know, it's coming out of the plant, but it's just
11 not going into the air. And I guess the question is, I
12 mean, there could be any number of lines that EPA could
13 draw, that a state could draw in approving compliance. And
14 the question is, what is non-arbitrary about this decision
15 that's pulling something out of the BSER definition and
16 importing it into the standard for emissions, and then
17 further transferring it into the permissible compliance
18 measures.

19 But I'm satisfied. I feel like we're just running
20 you ragged, and so I'm satisfied that we, it's been really
21 useful, anyway, to me, to hear your perspective and have you
22 focus us on this issue in the vigorous way that you have.

23 MR. CARLISLE: All right, thank you.

24 JUDGE MILLETT: Well, I just want to try one more
25 time. As long as the emission that comes out of the tower

1 is, meets the limit, what, then, is your textual basis for
2 objecting to whatever compliance measure it was. I'm not
3 telling you which one it was that caused them to meet that,
4 that enabled them to meet that limit.

5 MR. CARLISLE: Your Honor, I think the difficulty
6 there is that biomass cannot, as a --

7 JUDGE MILLETT: That's not, I'm just -- okay,
8 never mind. You just don't want to answer this question.
9 Okay.

10 MR. CARLISLE: Well, I apologize. I'm not trying
11 to be evasive. But it's just as a matter of fact that it
12 raises the --

13 JUDGE MILLETT: I said I'm not telling you how
14 they did it. Once they meet it, isn't that all, isn't that
15 the end of EPA's interest? Meet your number. That's the
16 end of -- at the smokestack, that's the end of EPA's
17 interest, is it not?

18 MR. CARLISLE: Your Honor, if the limit is being
19 met, then compliance has occurred. But it is a physical
20 impossibility for biomass to cause the limit to be met at
21 the stack because it raises the emission.

22 JUDGE MILLETT: I understand that point. I
23 understand your point on that front. Okay. Judge Walker,
24 do you have any questions? Okay. Thank you very much.
25 It's been very informative.

1 Okay, Mr. Duffy.

2 MR. DUFFY: Thank you. May it please the Court.

3 JUDGE MILLETT: Welcome back again.

4 ORAL ARGUMENT OF JAMES P. DUFFY, ESQ.

5 ON BEHALF OF THE RESPONDENT-INTERVENORS

6 MR. DUFFY: Yes. Well, our position is that EPA
7 made a mistake of law in believing that it's plainly
8 constrained in ways the statute doesn't support. Biogenic
9 petitioners go too far in their claims regarding biogenic
10 carbon dioxide. We agree with both parties here that EPA's
11 basis for forbidding biomass compliance is not based on the
12 science. But we'd like to just assert two points advanced
13 in petitioners' briefs. Respond to two points advanced in
14 petitioners' briefs.

15 First, there's no legal or scientific basis to
16 assume that energy from biomass is carbon neutral. The
17 impact of carbon dioxide on the atmosphere doesn't change
18 depending on what source it comes from. The carbon impact,
19 as we've discussed, depends on many factors, including its
20 source and in a full lifecycle analysis.

21 Second, EPA has ample authority to regulate
22 greenhouse gases from stationary sources that burn biomass.
23 The endangerment finding, as well as the 2015 new source
24 rule specifically cover carbon dioxide from steam generators
25 regardless of the fuel, so the pollutant is covered here as

1 well. The endangerment finding included that the harmful
2 air pollution is current levels of greenhouse gases which
3 are elevated levels as compared to preindustrial levels. So
4 that in the origin of the carbon dioxide is irrelevant.

5 Biomass emissions contribute to these elevated
6 levels and are properly regulated under the Clean Air Act.
7 So to the extent that biogenic petitioner seeks a
8 requirement that EPA allow the use of biomass to be treated
9 as carbon neutral compliance under ACE, we ask that you deny
10 their petition.

11 If the Court has no further questions, I'm all
12 set.

13 JUDGE PILLARD: The broader implications of any of
14 this issue for just sort of the analytic points that we've
15 been probing.

16 MR. DUFFY: I missed the first part of your
17 question.

18 JUDGE PILLARD: Just it's sort of, this is an
19 issue unto itself in terms of the biogenic petitioners
20 interests and the nature of their fuel. But I'm trying to
21 understand sort of more broadly what the implications are
22 and whether you have any insight about that.

23 MR. DUFFY: How --

24 JUDGE PILLARD: How we think about, I mean, we
25 just had I thought a very interesting conversation about it,

1 how we think about compliance methods and the flexibility
2 bears a relationship to both the state standards and the
3 BSER. Just any mapping that you would offer us to kind of,
4 as we think about how this issue gets decided?

5 MR. DUFFY: Sure. The only thing I can bring up
6 that might be relevant is, you know, we took a look back at
7 the Clean Power Plan and how they treated biomass. And in
8 the rule, it essentially said that if you want to use
9 biomass, you need to provide a lifecycle analysis, and that
10 that lifecycle analysis would be reviewed. So I think, you
11 know, this is a unique, a unique source and a unique fuel,
12 but it does require, you know, a full look at biomass type
13 and the effects on land use, harvesting and combustion,
14 soil, carbon loss rates, fate of the biomass had it not been
15 combusted, and time required for regrowth.

16 So I think, you know, this, we obviously think
17 that the rule should be remanded so that EPA can consider
18 these different systems free from their mistake of law,
19 thinking that they're restricted to systems that are applied
20 to or at the source.

21 JUDGE MILLETT: Remanded, or vacated and remanded?

22 MR. DUFFY: Pardon?

23 JUDGE MILLETT: Just remanded, or vacated and
24 remanded?

25 MR. DUFFY: ACE should be vacated. The repeal

1 should be remanded.

2 JUDGE MILLETT: That's why I thought you were just
3 arguing for remand right now, so I was --

4 MR. DUFFY: No. I am well in line with Mr.
5 Donahue's assertion of or request for remedy. So I think --

6 JUDGE MILLETT: The arguments you're making,
7 though, seem to pertain, like you said, more to an arbitrary
8 and capricious claim. But this, I had read EPA's decision
9 here as a Chevron step 1.

10 MR. DUFFY: Right. I think that's right. I
11 think, you know, EPA was mistaken, or our assertion --

12 JUDGE MILLETT: Okay, but then, so we don't have
13 the arbitrary and capricious, those issues. Or it sounded
14 to me, or maybe (indiscernible) it sounded to me like you're
15 making arguments about it wouldn't make sense to allow this
16 as a compliance measure, which would be a Chevron step 2
17 argument.

18 MR. DUFFY: That --

19 JUDGE MILLETT: But that's not in front of us.

20 MR. DUFFY: That is correct. That is entirely
21 correct. This would need to be remanded. As I said at the
22 end there, you know, to the extent that the petitioner is
23 seeking either an exemption from the Clean Air Act or an
24 assertion that biomass is carbon neutral, which I agree is
25 not before the Court. We would just ask that that section

1 of --

2 JUDGE MILLETT: Right. Well, then we would just
3 let EPA weigh in on this issue first if it were, I mean,
4 one, they might be right on their statutory interpretation
5 here. But if not, then I think we can all agree that the
6 first call on, on what to do about biomass is for the EPA to
7 make.

8 MR. DUFFY: Correct.

9 JUDGE MILLETT: I'm no expert on how much burns
10 more, or I don't know that there's universal agreement on
11 that, or, we don't have that record in front of us.

12 MR. DUFFY: I think that's right. And that was
13 all we wanted to remind the Court of as we reviewed biomass
14 petitioners' briefs.

15 JUDGE MILLETT: Okay. All right.

16 MR. DUFFY: Thank you.

17 JUDGE MILLETT: Anything from my colleagues? All
18 right.

19 Mr. Williamson, you get the last word. I think
20 you have, you used up all your time, but we'll give you two
21 minutes for rebuttal.

22 ORAL REBUTTAL OF DAVID M. WILLIAMSON, ESQ.

23 ON BEHALF OF THE BIOGENIC PETITIONERS

24 MR. WILLIAMSON: Thank you, Your Honor. I hope to
25 let us go even before then. I just want to emphasize that,

1 yes, this is a Chevron step 1. EPA takes the position
2 spelled out at the brief at 248 that Congress barred
3 consideration of the compliance measures. But I do want to
4 remind the Court that we do have that secondary arbitrary
5 and capricious argument that even if EPA's rule was valid,
6 it arbitrarily disqualifies biofuels based on the two
7 criteria that it identified. So I just wanted to remind the
8 Court of that.

9 JUDGE MILLETT: Right. We got that. I'm just
10 only talking about EPA's rationale here.

11 MR. WILLIAMSON: Yes.

12 JUDGE MILLETT: EPA's rationale was not the sort
13 of factual argument --

14 MR. WILLIAMSON: Yes, Your Honor.

15 JUDGE MILLETT: -- that we had from Mr. Duffy.
16 But your argument is arbitrary and capricious in a different
17 way, if I understand it --

18 MR. WILLIAMSON: Yes, Your Honor.

19 JUDGE MILLETT: And even applying your
20 (indiscernible) your application rule, applying the
21 application rule, we would need it.

22 MR. WILLIAMSON: And it's very hard to avoid the
23 term application (indiscernible) but yes, Your Honor,
24 exactly right. The only other thing I'd say and then let us
25 get some rest is to the extent that the Court is interested

1 on how this works, how the 111 program works, particularly
2 in terms of compliance, there's very good discussion of,
3 although 40 years old, but I think that's the point, in the
4 1979 Utility NSPS rule, that's at JA-1815, and the also as I
5 mentioned in Judge Wald's very extensive opinion in Sierra
6 Club v. Costle. I'm not saying that opinion has a legal
7 point that is dispositive, but I'm just saying there's a lot
8 of discussion about how EPA views the 111 standard-setting
9 process and compliance measures.

10 JUDGE MILLETT: Okay.

11 MR. WILLIAMSON: Thank you very much for your
12 time.

13 JUDGE MILLETT: Do my colleagues have any
14 questions? All right. Go ahead.

15 JUDGE PILLARD: I was just going to ask Mr. Duffy
16 whether he had two words to say about a deadline. I should
17 have asked you before. Wasn't that your clients who are
18 seeking a deadline on remand?

19 JUDGE MILLETT: Before we do that, do you have,
20 does anyone have any questions for Mr. Williamson? No?
21 Okay. Thank you very much, Mr. Williamson.

22 MR. WILLIAMSON: Thank you.

23 JUDGE MILLETT: Go ahead.

24 MR. DUFFY: I think, you know, we have obviously
25 been waiting 15 years in seeking this rulemaking, and we

1 would want it as expeditious as possible. I don't know that
2 we have a precise timeline in mind. We understand that
3 these rules need notice and comment and need to get public
4 input, but, you know, I think an expeditious timeline is
5 warranted here given the long delay.

6 JUDGE MILLETT: Well, we have to calculate out
7 some ratio. If it takes nine hours to argue it, it must
8 take at least a month or two per hour of argument, so. I
9 think we are now done, unless my colleagues have anything
10 more. I do want to again apologize for the length, but I
11 want to thank you all. You've been troopers, and I've found
12 your comments and arguments exceedingly helpful and
13 informative, as I've been thinking about this case.

14 And with that, the case is submitted.

15 (Whereupon, the proceedings were concluded.)
16
17
18
19
20
21
22
23
24
25

DIGITALLY SIGNED CERTIFICATE

I certify that the foregoing is a correct transcription of the electronic sound recording of the proceedings in the above-entitled matter.



Mary Rettig

DEPOSITION SERVICES, INC.

October 19, 2020

Date